

CERTIFIED COPY

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES OF AMERICA
NUCLEAR REGULATORY COMMISSION

---oooOooo---

In the Matter of :
: Docket No. 72-22
PRIVATE FUEL STORAGE : ASLBP No. 97-732-02-ISFSI
L.L.C. :
: Deposition Of:
(Private Fuel Storage : WILLIAM M. WALLNER
Facility) :
:

---oooOooo---

Deposition of WILLIAM M. WALLNER, taken at
the law offices of Parsons, Behle & Latimer, 201
South Main, Suite 1800, Salt Lake City, Utah, on the
11th day of May 1999, at the hour of 9:00 a.m.,
before David A. Thacker, a Certified Shorthand
Reporter, Registered Professional Reporter, Utah
License No. 22-105417-7801 and Notary Public in and
for the State of Utah.

---oooOooo---



ASSOCIATED PROFESSIONAL REPORTERS, L.C.

1 Q. Do you believe that a rocket motor
2 explosion at the Tekoi facility would pose a
3 significant hazard to the PFS facility?

4 A. I really have no way of saying yes or no to
5 that.

6 Q. I want to ask you about one of the requests
7 for admissions that was filed by PFS as a discovery
8 request. This is Request for Admission No. 2 in the
9 Utah K. And it said, "Do you admit that potential
10 explosions of the rocket engines tested at the Tekoi
11 Rocket Engine Test Facility, assuming that the
12 rocket engines did not escape their moorings while
13 being fired, would pose no significant hazard to the
14 PFS or the ITP?" And the state's response was,
15 notwithstanding their general objection, "Admission
16 No. 2 is denied on information and belief." Did you
17 supply any information that would be grounds for
18 denying that request?

19 A. It's more an issue of at this point about
20 actually running the numbers, you're not going
21 to--you're not going to know for certain whether or
22 not there is a risk. You're looking at a potential
23 detonation of--I believe that Tekoi can do test
24 firings of motors as large as the shuttle.

25 Q. How big would that be, do you know?

1 A. I think that's close to a million pounds of
2 propellant. The largest motor that they're
3 currently making is the Titan, and that is over
4 500,000 pounds.

5 Q. That's Alliant who is manufacturing the
6 Titan?

7 A. Right. And those are calculations that I
8 haven't made.

9 Q. Do you know of anyone who has?

10 A. I would guess that Alliant Tech Systems
11 has.

12 Q. Have you seen any--have you reviewed or
13 seen any such calculations?

14 A. No, I haven't. No, I haven't.

15 Q. So would you have any reason for saying
16 that the Tekoi, that the potential for explosion at
17 Tekoi, would pose a hazard to the PFS facility?

18 A. I would say that there is a potential that
19 that hazard exists.

20 Q. Based on--

21 A. Based on my knowledge of open burn, open
22 detonation operations from waste disposal.

23 Q. And how do those operations pose hazards to
24 structures or facilities that are some distance
25 away?

1 essentially the entire document to really know for
2 sure.

3 MR. GAUKLER: Give him the entire document
4 to read.

5 MR. BARNETT: This is it here. It's really
6 not that long.

7 (Whereupon, a short break was taken.)

8 Q. (BY MR. BARNETT) Do you have reason to
9 believe that the description in Exhibit 3, in the
10 May 1974 incident, was correct?

11 A. No. I don't have any other knowledge as
12 far as just personal conversations.

13 Q. And with anyone other than the person that
14 you mentioned?

15 A. There have probably been other individuals
16 at Alliant, but I don't recall who it was.

17 Q. Do you know of any studies or
18 investigations that have been done of the potential
19 for rocket motors to escape test stands at
20 facilities like Tekoi?

21 A. No, I don't.

22 Q. Have you done any calculations or
23 assessments?

24 A. No, I haven't.

25 Q. Do you know whether rocket motors have

1 escaped test stands at facilities similar to Tekoi?

2 A. I don't.

3 Q. Do you know if, hypothetically, if a rocket
4 motor were to escape a test stand at Tekoi, do you
5 know the likelihood that it would fly to and strike
6 the PFS facility?

7 A. A number, no.

8 Q. Do you have, based on your professional
9 knowledge, do you have an idea?

10 A. I would say that the potential exists.

11 Q. But would you know whether the potential
12 was high or low?

13 A. I would say that the potential wouldn't be
14 something that you would commonly expect to occur.
15 It would be an unlikely or--well, I guess I would
16 say it would be an unlikely event. It would be
17 something that would disrupt the operation and shut
18 it down while the industry would do an investigation
19 of why it happened.

20 Q. The operation would shut down. It would
21 shut down--

22 A. It would shut down the testing operation.

23 Q. I was referring to the likelihood of
24 whether or not the motor would strike, any motor
25 that escaped, would strike the PFS facility.

1 goes with it, I can't say that I've ever really seen
2 a motor that's been strapped down to it. I've seen
3 it.

4 Q. Do you know anything about the design of
5 the carriage and how that would be done to reduce
6 the likelihood of a motor escaping?

7 A. There's a lot of reinforced concrete there
8 to use as a thrust block.

9 Q. And where is that located relative to the
10 motor?

11 A. That would be located in front of the
12 motor. The motor would be pointed into that thrust
13 block.

14 Q. And are you aware of anything else?

15 A. Not really.

16 Q. Are you aware of any procedures, test
17 procedures, that are used to prevent a motor from
18 escaping or to reduce the likelihood that a motor
19 would escape?

20 A. No.

21 Q. You mentioned the thrust block. What's the
22 purpose of that?

23 A. The thrust block is primarily what they use
24 to measure the thrust, the stress and strains that
25 they're collecting during the test firing.

1 Q. And how does that work?

2 A. It's a lot of concrete, some of it sticks
3 above ground, a large portion of it sticking below
4 ground, in an effort to secure, to have a fixed
5 point that they can measure the force on that point.

6 Q. Is that point on the block--is that a point
7 on the block?

8 A. I believe so. I'm not real sure.

9 Q. And the rocket, which way is the rocket
10 oriented, the rocket motor, when they fire it?

11 A. They can be oriented either horizontally or
12 vertically.

13 Q. When they're oriented vertically, are they
14 pointed nozzle up or nozzle down?

15 A. I would say that the nozzle would be up.

16 Q. So that the rocket motor would tend to go
17 down, the thrust from the nozzle would tend to drive
18 the motor down?

19 A. That would be my guess.

20 Q. Going back to the question of rocket motor
21 explosions at the facility. I'd like to introduce
22 another exhibit. This is Exhibit No. 4, and it's a
23 letter dated 26 June 1997 from Alliant Tech Systems,
24 Bacchus Works, Magna, Utah, to Stone Webster
25 Engineering Corporation.

1 (Exhibit No. 4 marked.)

2 Q. (BY MR. BARNETT) This document was
3 produced by PFS during discovery. It's Bates No.
4 03122. Have you seen this before?

5 A. No, I haven't.

6 Q. Could you look at the last page of the
7 letter, table two. Table two is entitled Buffer
8 Zone Distances. And it has an explosive quantity in
9 pounds on the left-hand column, and then distances
10 with pressures in terms of p.s.i. across the top of
11 the chart. Do you see one of the entries in
12 explosive quantity is 1.2 million pounds, and the
13 chart indicates a buffer zone distance of 7,970 feet
14 for 0.5 p.s.i. overpressure. Does that sound like a
15 reasonable estimate, based on your knowledge of
16 relationship between explosive quantity and
17 distance? Does that sound like a reasonable
18 distance?

19 A. Yeah, I have no reason to believe it
20 wouldn't be.

21 Q. And if the explosive quantity used at Tekoi
22 were limited to 1.2 million pounds or less, do you
23 believe that 7,970 feet would provide a safe offset
24 distance?

25 MR. NELSON: I'm going to object, lack of

1 foundation.

2 Q. (BY MR. BARNETT) Could you answer that?

3 MR. NELSON: You can go ahead and answer.

4 THE WITNESS: Okay. I would say that from
5 the standpoint of overpressure that may be the case.
6 From going back to this document, one of the things
7 that they talked about also was material being
8 kicked out in a detonation. That would have a much
9 wider range than actual overpressure in some
10 instances.

11 Q. (BY MR. BARNETT) So do you believe that
12 materials being kicked out from an explosion would
13 pose a greater hazard, a hazard at a greater
14 distance, than overpressure?

15 A. That I don't know.

16 Q. Looking at the other quantities of
17 explosives on the table and the other distances
18 given for offset. Do you believe, based on your
19 experience, that those distances are reasonable? Do
20 you have reason to believe that those distances are
21 wrong?

22 A. I wouldn't expect that Alliant would supply
23 false information.

24 Q. But based on your professional knowledge of
25 open burn and open detonation?

1 A. Without going back and looking at the
2 equations used to calculate that number, I really
3 have no way of answering that question.

4 Q. And have you looked at those equations that
5 you mentioned regarding the relationship between
6 safe offset distance and explosive quantities, have
7 you looked at that for--

8 A. I have looked at that from the standpoint
9 of open detonation for waste disposal operations.

10 Q. Have you looked at that from a standpoint
11 of Tekoi from a rocket motor explosion?

12 A. No.

13 Q. Setting aside for the moment rocket motor
14 explosions and rocket motors escaping the carriage
15 at Tekoi.

16 A. Uh huh (affirmative).

17 Q. Are there any other activities at Tekoi
18 that you believe would pose a significant hazard to
19 the PFS facility?

20 A. I don't think so. The only other activity
21 out there is they do do some detonation testing of
22 explosives.

23 Q. And what do they do?

24 A. They will do quantities of up to 50 pounds
25 I think is what Alliant said years ago.

1 transported from?

2 A. Most of these would be transported from the
3 Bacchus Works.

4 Q. And what route would they take to Tekoi, do
5 you know?

6 A. My guess is Highway 111, and then from
7 there probably jogging by Kennecott and out to I-80.
8 But that's just guessing.

9 Q. Is there any other route that you know of
10 that they would take?

11 A. They could also ship things by rail.

12 Q. And how would they get to Tekoi ultimately
13 by rail?

14 A. They would have to offload somewhere along
15 I-80 and then transport by truck to Tekoi.

16 Q. Is it possible that rocket motors could be
17 transported through Johnson Pass from Bacchus Works
18 to Tekoi?

19 A. I would say it's possible.

20 Q. Other than the transportation of rocket
21 motors, do you see any other activities that take
22 place at the Tekoi site as posing a significant
23 hazard to the PFS facility?

24 A. Not other than already was mentioned.

25 Q. Other than the potential for explosions and

1 other than the potential for a rocket motor to
2 escape its test stand.

3 A. Right.

4 Q. Going back to what you did to provide
5 information for the discovery responses. You said
6 that Brad Maulding also provided information for
7 those responses. Is that correct?

8 A. No. Brad reviewed what we had drafted up
9 and then sent it on to Connie.

10 Q. You say we. Is that you?

11 A. Myself for Tekoi, and Bronson Hawley is
12 also in Brad's section.

13 Q. So you drafted material, and who else,
14 Bronson Hawley?

15 A. Those probably would have been the only two
16 that Brad looked at, to my knowledge.

17 Q. Did he do any independent analysis or
18 calculation or assessment of hazards, do you know?

19 A. I wouldn't expect him to.

20 MR. NELSON: When you say he, you're
21 referring to Brad Maulding?

22 MR. BARNETT: Yes. Yes. Yes.

23 MR. NELSON: Thank you.

24 Q. (BY MR. BARNETT) The First Request for
25 Admission regarding Contention Utah K filed by PFS

1 read, "Do you admit that activities or materials at
2 or emanating from the Tekoi Rocket Engine Test
3 Facility, other than potential rocket engine
4 explosions or rocket engines potentially escaping
5 their moorings while being fired, would pose no
6 significant hazard to the PSF, ISFSI and the ITP,"
7 and the ITP is the Intermodal Transfer Point at
8 Rowley Junction. And the state replied,
9 "Notwithstanding the general objections stated on
10 page 19 and 20," they objected to the phrase
11 activity and materials emanating from, and then
12 notwithstanding these objections and the
13 qualification described in the introduction,
14 "Admission No. 1 is denied based on information and
15 belief."

16 Do you agree with that denial on the basis
17 of information and belief, of the request for
18 Admission No. 1?

19 A. I don't follow you.

20 Q. The request for admission number one read
21 that, "Do you admit that activities or materials
22 emanating from Tekoi, other than potential rocket
23 engine explosions or rocket engines potentially
24 escaping their moorings while being fired, would
25 pose no significant hazard to the PFS facility."

1 A. Yeah, I think there is a potential hazard
2 here. Those instances, and quite possibly
3 transporting the motors in and out of the facility.

4 Q. So when the request asks for hazards other
5 than those posed by rocket engine explosions or
6 rocket engines potentially escaping their moorings,
7 that would leave what, in your--

8 A. That would leave transporting motors to and
9 from the facility. Or transferring motors to the
10 facility and from the facility they shouldn't have
11 any propellant in them.

12 Q. Request for admission No. 2 on the same
13 page read that, "Do you admit that potential
14 explosions of the rocket engines tested at Tekoi
15 Rocket Engine Test Facility, assuming that the
16 rocket engines did not escape their moorings while
17 being fired, would pose no significant hazard to the
18 PFS, ISFSI or the ITP." And the answer read that,
19 "Admission No. 2 is denied on information and
20 belief." Do you agree with that?

21 A. Yes.

22 Q. Do you have any information regarding
23 rocket motor explosions that you--in addition to
24 what you discussed today?

25 A. No.

1 Q. Request for admission No. 2 beginning on
2 the same page and continuing on to the next page
3 read, "Do you admit that activities or materials at
4 or emanating from the Tekoi Rocket Engine Test
5 Facility would pose no significant hazard to the
6 PFS, ISFSI or the ITP? " And the answer read, "Not
7 withstanding the objections, the State denies the
8 request on information and belief." Do you agree
9 with that denial?

10 A. Yes, I do.

11 Q. Do you have any information other than what
12 you discussed today, regarding the hazards
13 potentially posed by the Tekoi facility to the PFS
14 facility?

15 A. No, I don't.

16 MR. BARNETT: I don't have anything else.

17 MR. GAUKLER: Take a break.

18 MR. BARNETT: Why don't we take a break.

19 MR. NELSON: Yes.

20 (Discussion held off the record.)

21 (Whereupon, a 5 minute break was taken.)

22 Q. (BY MR. BARNETT) Back on.

23 What investigation or inquiry or assessment
24 did you perform in response to the discovery
25 requests to provide information to answer the

1 discovery requests?

2 A. Just my personal knowledge of the
3 operation.

4 Q. So you did not do any separate calculations
5 or assessments or research?

6 A. No, I did not.

7 Q. You mentioned that calculations or the
8 relationship between quantity of explosives and safe
9 offset distance.

10 A. Uh huh (affirmative).

11 Q. Would you consider that, based on your
12 experience and knowledge, to be a standard
13 calculation that's used in the industry?

14 A. Yes.

15 Q. That's a standard relationship that the
16 industry practice would rely upon?

17 A. Yes. It's either DOD--DOD has
18 requirements, ATF has requirements.

19 Q. ATF being--

20 A. Alcohol, Tobacco and Firearms. And then
21 the explosive manufacturing industry has their own.

22 Q. And do you think--are they generally the
23 same or are they different?

24 A. I'd say they're similar.

25 Q. They would produce similar offset, safe

1 offset distances, for a similar quantity of
2 explosives?

3 A. Right.

4 Q. Turning to one of the State's answers to
5 interrogatories. Do you have a copy of that? This
6 would be--this is interrogatory No. 1 on Utah K.

7 MR. NELSON: What page are you at?

8 MR. BARNETT: Page numbered 34 at the
9 bottom.

10 Q. (BY MR. BARNETT) The interrogatory reads,
11 "To the extent the State does not admit admissions
12 3, 18, 24 and 28 above, identify the specific
13 activities or materials (specify type and quantity)
14 at or emanating from the Tekoi Rocket Engine Test
15 Facility," and then it lists other facilities as
16 well.

17 On page 35, and this is letter E, the
18 answer reads, "Incidents related to the testing of
19 military weapons or rocket motors at or emanating
20 from the Dugway Proving Ground, Utah Test and
21 Training Range, or the Alliant Systems Tekoi Rocket
22 Test Facility, such as accidental explosions or
23 detonations of propellant, explosives, or rocket
24 motors, a misfire weapon hitting the ISFSI of," I
25 think that should be or, "ITP, or potential

1 electrical problems caused by smoke and particulate
2 from a rocket motor test fire."

3 Do you believe that potential problems
4 could be caused from particulates from smoke from a
5 rocket motor firing?

6 A. I don't know.

7 Q. From PFS to the rocket motor facility?

8 A. I don't know.

9 Q. Do you know who suggested that that might
10 be a problem?

11 A. I don't know that answer either.

12 MR. BARNETT: That's all I have. Paul?

13 MR. GAUKLER: Look at his documents.

14 MR. BARNETT: We'd like to look at the
15 documents you brought.

16 MR. NELSON: Let me see those.

17 THE WITNESS: Okay.

18 (Discussion held off the record.)

19 MR. GAUKLER: You're free to go, Bill.

20 (Whereupon, at 10:35 a.m., the deposition
21 was concluded.)

22 ---oooOooo---

23

24

25