

COPY OF TRANSCRIPT

DOCKETED
USNRC

2003 JAN 24 AM 11:05

OFFICE OF THE SECRETARY
RULEMAKINGS AND
ADJUDICATIONS STAFF

UNITED STATES OF AMERICA
NUCLEAR REGULATORY COMMISSION

Before the Atomic Safety and Licensing Board

In the Matter of:)	Docket No. 72-22-ISFSI
)	ASLBP No. 97-732-02-ISFSI
PRIVATE FUEL STORAGE, LLC)	Deposition of:
(Independent Spent Fuel Storage Installation))	<u>DR. STEVEN F. BARTLETT</u> and
)	<u>DR. FARHANG OSTADAN</u>
)	Vol. II

Friday, November 17, 2000 - 8:40 a.m.

Location: Offices of
Parsons, Behle & Latimer
201 S. Main, #1800
Salt Lake City, Utah

Reporter: Vicky McDaniel, RMR
Notary Public in and for the State of Utah



50 South Main, Suite 920
Salt Lake City, Utah 84144

801.532.3441

TOLL FREE 877.532.3441

FAX 801.532.3414

Template - Secy - 028

Secy-02

NUCLEAR REGULATORY COMMISSION

Docket No. 7222 Official Ex. No. 236
In the matter of PPS
Staff _____ IDENTIFIED
Applicant RECEIVED
Intervenor _____ REJECTED _____
Cont'g Off'r _____ DATE 6/20/02
Contractor _____ Witness D. Hueglin
Other _____
Reporter G. P. ...

1 Q. And he has now left the deposition and
2 you're testifying by yourself; is that correct?

3 A. Yes, that's correct.

4 Q. Again, when we broke for the night last
5 night, you were in the middle or started to disclose
6 what has been identified as Exhibit 59; is that correct?

7 A. Correct.

8 Q. Now, I know we went through this to some
9 degree last night, but just to provide continuity, will
10 you briefly describe for the record again how Exhibit 59
11 was prepared and what the purpose of the exhibit is?

12 A. I'll explain the preparation first and then
13 what I was trying to accomplish through the preparation.

14 The applicant has done a grid of cone
15 penetrometer testing throughout the pad emplacement
16 area, and also not a grid but, however, some cone
17 penetrometer testing in the area of the canister
18 transfer building.

19 One of the issues that we're trying to --
20 let me stop before I get into -- one of the issues that
21 we're trying to understand is what is the potential for
22 variation of undrained shear strength across this site
23 to see if the applicants used reasonable and
24 representative values for that -- for the analysis that
25 pertains to dynamic sliding and dynamic bearing

1 capacity.

2 The cone penetrometer I think when we looked
3 at that data did reveal to us a layering system that was
4 slightly more -- well, more complex than we saw from the
5 first phases of investigation, and revealed that the
6 shallow surface soils have an Eolian silt approximately
7 three feet thick underlain by approximately seven feet
8 of upper Bonneville predominantly clays that are
9 probably best characterized as silty clays/clayey silts
10 with low to some high plasticity to them. We understand
11 that the applicant plans to treat the Eolian silts, and
12 so we also then wanted to look to see what would be the
13 resistance that the underlying clayey silts/silty clays
14 could provide to these dynamic analyses.

15 The laboratory data really did not help us
16 greatly in understanding the variability, because based
17 on our understanding of the way the data were used for
18 the dynamic -- the dynamic sliding and bearing capacity
19 analyses, that they were based on laboratory testing,
20 and the number of data for the laboratory testing are
21 probably not large enough to really make a statistical
22 sampling of the area.

23 For example, it's our understanding that the
24 canister transfer building relied for the dynamic
25 bearing capacity analyses on UU testing. I believe the

1 value that was used in the design is approximately 2.2
2 kips per square foot. And as I recall, those were
3 coming from I believe Borehole C-2 and No. 4, but I
4 could be wrong. And we had concerns about the proximity
5 of some of those boreholes to the canister transfer
6 building.

7 Also, for dynamic sliding, my recollection
8 is that the results were coming from the direct shear
9 test. For the pad emplacement area, I believe the
10 borehole was C-2. The design value was approximately
11 2.1 kips per square foot. And for the canister transfer
12 building, I can't exactly recall where the direct shear
13 test came from, probably a couple borings within that
14 area. And the design value, best I can recall for the
15 loads due to the mat foundation was about 1.75, 1.8 ksf.

16 So we wanted to know whether those values
17 represented low-bound values, mean values, upper-bound
18 strengths for the design; and I believe in our
19 discussions Wednesday when we discussed these, they were
20 characterized as mean, though we may be using "mean"
21 maybe not in a statistical sense. I'm not sure what the
22 mean meant in that context.

23 We realize then because of that sparse
24 sampling that it may not give us an idea of variation
25 across the site. However, the cone penetrometer data

1 were pushed in I would say a nonbiased fashion. It was
2 pushed as a grid, so it did not appear to be any bias in
3 where those cone penetrometer data were pushed. Also,
4 because the cone penetrometer gives you a continuous
5 vertical profile, it allows you to understand the
6 variation in the vertical direction quite well so one
7 can see subtle but insignificant changes in the tip
8 resistance.

9 Likewise, if one would compare cone to cone
10 to cone laterally across the site, one could begin to
11 understand if there were significant variations in the
12 strength of the soils by just doing comparisons from one
13 cone to another for the particular layer of interest.
14 And again, most of our interest has been focused really
15 on layer -- what we've been terming layer 2.

16 When I went to try to do that analysis, I
17 couldn't really find any composite plots to try to
18 understand that. I was in haste to prepare for this
19 deposition, did not have the digital data available to
20 me to plot it up. So I got some overhead transparencies
21 and began with my pen to just trace down the traces of
22 the tip resistances from the cone penetrometer. In
23 preparing to do that I enlarged them on the photocopy
24 machine and then traced them in different colors
25 according to groups of five. I did not really bias the

1 way I was grouping them. I just went through them
2 numerically 1 through 5 and then 6 through 10. I didn't
3 want to get too many traces on one plot, or I couldn't
4 really make any sense of it.

5 Also, if you notice on the sheets that have
6 been provided in Exhibit 59, there's the -- one of the
7 heads, for example, the first one says CPT 1 through 5,
8 and the dash by it which is brown just means that I used
9 brown for that. And as I went through the zone of
10 interest, which would be layer 2, I tried to make a
11 mental note when I went through a group of five which
12 one out of the group of five potentially had the lowest
13 tip resistances in layer 2. So that's what it means by
14 "(CPT lowest)" underneath it.

15 The reason for doing this is, I think that I
16 had in my mind that undrained shear strength is
17 correlated with tip resistance. We discussed it being
18 linearly correlated. There are published correlations
19 that establish that there's a linear correlation between
20 tip resistance and undrained shear strength.

21 So maybe we cannot really use these in
22 quantitative manner to do design, and the I think
23 applicant has also said they have not used the cone
24 penetrometer in a quantitative way to do design;
25 however, they have used it in a qualitative way to

1 adjust their undrained shear strengths according to
2 corresponding and relative changes in the tip stress.

3 So I was hoping to just discover by the
4 variation in tip stress the potential range of undrained
5 shear strength. For example, a variation in tip stress
6 by a factor of 2 would suggest that the undrained shear
7 strength in this layer could also vary by approximately
8 a factor of 2.

9 And I think we were starting to look at the
10 variation on some of these. I believe we started on the
11 first plot, CPT 1 through 5, and saw something. We were
12 discussing values and ranges as we last left. And I
13 don't know if you want to continue doing that, or --

14 Q. Well, I do, but before we look at results,
15 let me try to get some better understanding of the
16 record as to what the parameters of interest are here.
17 I take it that you are using the cone tip resistance as
18 a proxy to try to estimate the changes in the parameter
19 that you really care about, which is undrained shear
20 strengths.

21 A. That's correct. They are correlated.

22 Q. And your belief is that there's a
23 correlation that's linear, so it's that. If for a given
24 value of cone penetration resistance there is a
25 corresponding value of unstrained shear strength --

1 A. Correct.

2 Q. -- and if we move, say, horizontally,
3 laterally across a layer of soil --

4 A. Right.

5 Q. -- that parameter that relates to the two
6 of them remains a constant.

7 A. If the soil was homogeneous.

8 Q. Exactly. Well, try this again. In order to
9 determine, assuming that in fact that correlation factor
10 is a constant, if you move from point A to point B
11 horizontally --

12 A. Correct.

13 Q. -- you get the same tip resistance or a
14 small variation in it --

15 A. Correct.

16 Q. -- you are entitled to assume that the
17 corresponding undrained shear strength is essentially
18 the same according to points. Is that the reasoning?

19 A. Correct.

20 Q. In order to do that, it requires for you
21 that the correlation be indeed linear, that the constant
22 be -- that the number that relates to two, whatever that
23 may be, be a constant.

24 A. Be nonchanging, yes. And the assumption
25 then would be, the soils are relatively homogeneous.

1 Q. Now, with that background, let's go back to
2 your previous, the calculation that you gave me, the
3 Exhibit 49. Remember it was --

4 A. Oh, yes, I remember that.

5 Q. I believe that one identified the
6 parameters.

7 A. It does. It's one of the correlations, yes.

8 Q. That's what I'm trying to use. Identified
9 the parameters of interest.

10 A. It's entitled N sub K.

11 Q. Now -- I'm looking now at Exhibit 49, and
12 I'm looking at the -- there are a number of equations on
13 the exhibit, but the one that I'll ask you to look at is
14 the last one that reads as follows. And I don't know if
15 this may be captured by our able reporter, but I'll try.
16 $S_u = (Q_c - \sigma_v) / N_k$. Did I read that right?

17 A. Uh-huh.

18 Q. Now, please identify for the record what
19 each of these four parameters are.

20 A. Okay. S_u is the undrained shear strength.
21 Let's see if it mentions what units it's in. I think
22 for this example we haven't probably plugged any values
23 of N_k in, but it looks like it would give you values in
24 ksf.

25 Q. And that would be?

1 A. Kips per square foot.

2 Q. Thousand pounds per square foot?

3 A. Thousand pounds per square foot. Q_c would
4 be the tip resistance or tip stress that would have to
5 be in the same units which the undrained shear strength
6 was in.

7 Minus σ_v would be the overburden
8 stress. It doesn't say whether it's effective or total.
9 However, that would be fairly minor for this --
10 differences would be fairly minor for this case.

11 And then divided by a coefficient that
12 relates the two determined by regression analysis or
13 some other method is called N_k .

14 Q. All right. So that again the record is very
15 clear: in this equation what we want to do is get a
16 better understanding of S_u , and the variable, if you
17 will, that we're using to understand S_u is Q_c ?

18 A. Q_c .

19 Q. Now, tell me a little bit more about
20 σ_v , since that's another factor that is involved.

21 A. σ_v is essentially the weight of the
22 materials overlying where the particular reading was
23 taken. The readings are taken somewhat continuously as
24 you push down through the profile, so as you get deeper
25 and deeper in the subsurface, σ_v is changing. It's

1 a function of the unit weight of the soil above and the
2 thickness of the material above.

3 Q. Right. Now, based on the results of the
4 tests the applicant has conducted and samples taken from
5 the site, is the unit weight for a given layer of soil a
6 constant, or does it exhibit variability?

7 A. The unit weight for a given layer?

8 Q. Yes.

9 A. I'm not sure I've seen a breakdown according
10 to layer by layer in the system. That was one of things
11 that --

12 Q. Let me show you, and we can make this an
13 exhibit --

14 A. Sure.

15 Q. But the SAR has a Figure 2.6-31, which I
16 will turn into an exhibit, which I'd like to show you.
17 Do you have a copy of the SAR?

18 A. I do.

19 Q. Can you take a second and find your copy,
20 and we can look at it at the same time?

21 A. Sure. The figure was --

22 Q. I'm sorry. Figure 2.6-31. It's entitled
23 "Dry Densities of Subsurface Soils at the Site."

24 MR. TRAVIESO-DIAZ: We have -- we can turn
25 this into an exhibit now because we have a sufficient

1 number of copies, I believe. This will be Exhibit 71,
2 and I will provide more copies tomorrow, but we have at
3 least one for the court reporter. And the witness has
4 his own copy.

5 A. I do. I do remember seeing this.

6 Q. This plot appears to be one of dry unit
7 weight in pounds per square foot versus depth for
8 locations both of the pad emplacement area --

9 A. Yes.

10 Q. -- and the canister transfer building.

11 A. Yes, it does.

12 Q. And they're identified differently. The
13 ones in the pad emplacement area are circles, and the
14 ones in the canister transfer building are little
15 diamonds; is that correct?

16 A. That's correct.

17 Q. Now, will you take a look across -- let's
18 take a look at the layers that we're interested in that
19 we believe is from three to ten feet. Take a look for
20 me at the data points that are shown in this figure for
21 the pad emplacement area, which I believe are the
22 circles.

23 A. Yes.

24 Q. Go across for me -- the values are recorded,
25 and if I read this graph properly, you are recording dry

1 weights anywhere between 40 and 70?

2 A. Correct. But I would wonder if the two data
3 points between 60 and 70 may be of a higher unit there.
4 They're quite a bit out of range from the other data.
5 So I think one would have to verify that those are
6 actually part of the data set.

7 Q. All right. Well, how about in that same
8 layer, the value for the canister transfer building? I
9 see that those vary in terms of unit weights anywhere
10 between like 45 and as high as almost 80.

11 A. Yes, but I see again two points that are
12 quite out of the data set. There may be something
13 abnormal about those two data points. They may be in
14 the right zone. Maybe there's something different about
15 them.

16 Q. But would you agree with me that aside from
17 the potential fact that some of this may be outliers,
18 that you believe the data as plotted could be almost a
19 factor of 2 variation in the unit weights?

20 A. That I can't testify to.

21 Q. Well, okay. What it would be -- take just
22 the values across on the -- for the pad emplacement area
23 going --

24 A. Sure.

25 Q. Do they go anywhere from 40 to 70, assuming

1 that you give credit to all the data points?

2 A. For the pad emplacement area?

3 Q. Yes. The circles, don't they go from 40 to
4 68 or something?

5 A. They do, but the depth to the Eolian silt
6 which may be denser is not always exact, and I still
7 don't know if 60 and 70 are in or out of that data set.
8 But for the moment for the argument, let's go ahead and
9 discuss what they are.

10 Q. But as an engineer, would you tend to at
11 least have a question in your mind as to whether you can
12 assume -- based on the data as presented in this
13 exhibit, that it is correct to assume that the sigma sub
14 V, the dry unit weight, is uniform across the layer?

15 A. I'm sorry. I missed question.

16 Q. I don't know if I said this right. Let me
17 try again. Without trying to reach a conclusion, as an
18 engineer wouldn't at least you have a question raised in
19 your mind by looking at this plot --

20 A. Correct.

21 Q. -- as to whether it is a correct assumption
22 to assume that the dry unit weights are uniform across a
23 layer of soil?

24 A. What I would do as an engineer with this
25 data set is I would come in and say I have potentially

1 two ranges now, particularly where I see a couple data
2 points that are somewhat out of the rest of the data
3 range, and first try to verify where those particular
4 samples were stratigraphically. Then if I can determine
5 that, then I would feel more comfortable where I place
6 them. So I guess I could be looking now with potential
7 two ranges, something between 40 and roughly 55, or
8 something between 40 and possibly as high as 70.

9 Q. All right. But let me put the question this
10 other way. Without further investigation, would you
11 feel that it would be prudent to assume looking just at
12 this data as you have it and looking further into it
13 that the dry unit weights are constant across a layer
14 horizontally?

15 A. Well, "constant" defines one point or line.
16 There's variability to any data.

17 Q. Right. Would it have low variability across
18 a given layer of soil without reaching conclusions
19 without -- I'm sorry. Strike that question.

20 My question is, can you assume based on this
21 data as reported without looking more into it that in
22 fact sigma sub V --

23 A. Correct.

24 Q. -- is a constant across a given layer?

25 A. We talked about differences in approach and

1 philosophies of how investigations are done, and if I
2 were going to use data that have been attained to
3 determine unit weights -- that's why I preferred when we
4 talked about these to have paired CPT and boring data
5 together. Then there would be no question to where
6 potential outliers fall. I would be hesitant to assign
7 a range -- I think the data tend to support more between
8 the 40 and 60 range than the 40 to 70 range.

9 I would also then get all the unit weights
10 of the overlying Eolian soil, which are not plotted
11 here, and see where they lie in relation to the data
12 that I'm looking at. I'm only looking at the -- from
13 five feet down. And I assume this was done on five-foot
14 sampling, so it's kind of a hit-and-miss proposition
15 what layer we're in.

16 Q. Dr. Bartlett, I guess it should be no secret
17 to you where I'm going with this question.

18 A. I know where you're going.

19 Q. And my question is only -- and I'm going to
20 ask you the question just based only for the moment on
21 this particular parameter, sigma sub V. I understand
22 what you have done with Exhibit 59 --

23 A. Correct.

24 Q. -- and I understand that you're trying to
25 derive some feel for whether you have variation or not.

1 A. Right.

2 Q. But before you could print an opinion or
3 have a conclusion based on Exhibit 59, wouldn't you with
4 respect to unit weights have to look more into it?

5 A. Well, I think before we worry about whether
6 we have a unit weight that could be somewhat variable, I
7 guess the next approach would be is to go back in and
8 look at the effect of sigma-V on the parameter that
9 we're trying to calculate. Even if we looked at
10 variations between 40 and 70, if the component of
11 sigma-V which is contributing to the shear strength is
12 not large, then this may be somewhat of a trivial thing
13 we're doing here.

14 Q. Are you saying that perhaps if Q_c is so much
15 larger, that --

16 A. Yes, that it may override the variation that
17 we see in sigma-V.

18 Q. Okay. That's a fair consideration. But
19 then you'd have to do that analysis?

20 A. I would just have to plug in the values.
21 It's not a very difficult thing to do if we know the
22 weights of the materials.

23 Q. Before we do that, let's talk now on N_k . I
24 take it that you are assuming, as you said, N_k is a
25 constant?

1 A. Well, N_k would vary according to different
2 types of soils. That's reasonably known. In fact, it's
3 unfortunately a very variable parameter, and that's what
4 makes this correlation hard to apply to soils without
5 some prior experience of calculating it. What I'm
6 saying is N_k should be locally correlated and used not
7 trying to apply an N_k for soils here versus soils
8 somewhat quite a distance away.

9 Q. Let me ask the question this other way. In
10 order for the relationship between the cone penetration
11 tip resistance --

12 A. Correct.

13 Q. -- and the undrained shear strength to be
14 able to draw up the conclusions, you testified earlier
15 that you would need to have N_k be a constant across a
16 layer?

17 A. It would have to be assumed if you're going
18 to use it to predict a certain layer that it is
19 constant, yes.

20 Q. All right. And what is your basis for
21 assuming that N_k is constant across a layer of soil?

22 A. Just from the cone penetrometer data, it
23 seems to be that the upper Bonneville clay seems to be
24 relatively homogeneous, at least in the interval from
25 about somewhere around five feet. It's hard, you know,

1 that five-foot boundary gets a little blurred.
2 Sometimes it's as high as three feet. Down to about
3 eight to ten feet we seem to get a monotonous, if you
4 will, tip stress signature, and my prior experience with
5 the upper Bonneville clay is it's somewhat of a
6 monotonous clay. It can vary from a silty clay to
7 clayey silt.

8 Q. Even within a monotonous layer, as you
9 describe it, would N_k be a function of factors such as
10 plasticity?

11 A. It could, yes.

12 Q. Let me show you -- let's mark this as an
13 exhibit. Let's call that Exhibit 72.

14 (Exhibit-72 marked.)

15 Q. (By Mr. Travieso-Diaz) Now, what I have
16 provided you as Exhibit 72 I believe is a portion of a
17 treatise called -- or it's not a treatise, at least -- a
18 monograph perhaps is the word, called "Cone Penetration
19 Testing in Geotechnical Practice" by Tom Lunne,
20 L-u-n-n-e, Peter Robertson, and John Powell.

21 A. Yes, I see.

22 Q. Are you familiar with this treatise or this
23 monograph?

24 A. I haven't seen it in this form, but I may
25 have seen parts of the equation. So it's -- there's

1 been several people investigating N_k .

2 Q. I know. Let's look at page 64, the bottom
3 of the page in an equation that is identified as 5.16.
4 Is that equation the same as the one that you have at
5 the bottom of the front page of Exhibit 49?

6 A. Yes.

7 Q. So the parameters are the same?

8 A. Yes.

9 Q. Let's turn, then, to the next page, which is
10 page 65. And I'm not going to, but I invite you if you
11 wish to, review the discussion on that page, which I
12 believe talks about how you go about predicting or
13 estimating N_k .

14 MS. CHANCELLOR: Do we know the date of this
15 document? Do you know if it's recent or if it's --

16 MR. TRUDEAU: It's in the SAR reference
17 list. I can find that for you.

18 MR. TRAVIESO-DIAZ: I can tell you there is
19 a list -- more -- no older than 1985, because if you
20 look at the last page, 106, it references a 1985 study
21 by Greig, and he says "recent data." So without
22 attempting to testify, I will guess that this document
23 is of approximately 1985 vintage.

24 THE WITNESS: In fact, I could probably find
25 it. If I may pull out the EPRI manual, it may be

1 referenced in here. The EPRI manual does reference
2 these authors. We could get the exact date.

3 MS. CHANCELLOR: I was just trying to
4 establish whether this was recent.

5 THE WITNESS: It may not be. Well, it has a
6 1986 reference, so it's -- wait. Excuse me. There is a
7 1996 here, so it's within the last four years.

8 Q. (By Mr. Travieso-Diaz) Well, I am shown a
9 page 2.8-6 of the SAR that has a list of references.

10 A. Okay.

11 Q. And it lists this work as being a 1997 date.

12 A. Okay, fair enough. That's fine. So it's
13 relatively recent.

14 Q. Again, I'm not requiring or asking you to
15 read it in detail, but I'm inviting you to look at how
16 they go about estimating N_k . And what I want to draw
17 your attention is to two figures. First figure is on
18 top of the page on the left, in which there is a plot of
19 N_k versus something known as the rigidity index, and it
20 is described in -- within a rectangle by what appears to
21 be a logarithmic relationship.

22 A. That's correct.

23 Q. And I'm not technically competent to render
24 a judgment, but that would tend to indicate to me --

25 A. Tremendous scattering.

1 Q. -- that this is a logarithmic scale that is
2 not a straight line.

3 A. No. And there could be potential large
4 scattering of these data, too.

5 Q. And now I invite your attention to look at
6 two plots of N_k again versus plasticity index.

7 A. I see it.

8 Q. And they are in fact I believe identified
9 for particular types of soil. They have a series of
10 plots for onshore Norwegian clays and a series of plots
11 for North Sea clays.

12 A. Yes, I see that.

13 Q. Without attempting to imply that these are
14 the same soils as at the PFS site --

15 A. I understand that.

16 Q. -- the published data appears to indicate
17 that there is a wide range of values for N_k depending on
18 what plasticity index you have.

19 A. I disagree.

20 Q. Oh. Well, help me here. Maybe I'm
21 misreading it.

22 A. The lines that are plotted on this figure,
23 you can see they plotted an upper and lower bound based
24 on it looks like ranges of data that they plotted to
25 either horizontal or vertical bars with the two lines on

1 the end.

2 Q. Right.

3 A. And the authors here have chosen to draw a
4 relationship based on no statistical analysis. When you
5 do statistical analysis, you should show all data points
6 and fit with regression line. And you'll notice there
7 on the plasticity index between about 5 and 10 several
8 significant points of their data above their upper bound
9 line. I might argue that if one would use the
10 correlation of the whole data, there might be
11 essentially a flat line across there.

12 Q. If you circumscribe yourself just to looking
13 at what you describe as being the extreme bounds of the
14 set of data points.

15 A. Sure.

16 Q. For example, in the first of the two
17 figures, I see that for a variational plasticity index
18 going from, say, what is shown here as 5 percent to
19 maybe 45 percent, you have values of N_k that go anywhere
20 between 15 and -- I don't know. Can you read the graph?

21 A. I can't.

22 Q. Well, let me just ask you a question.
23 Again, presented with data of this sort, and without
24 asking you to draw any conclusions, would it be prudent
25 to look more into the extent to which it is fair to

1 assume that N_k is a variable or is a constant?

2 A. That's a fair question because, you know,
3 when we look at the borehole data we do see CLML
4 materials in this range, and we also see indications of
5 some CH and MH materials. And given all things
6 constant, I still think there is a relationship between
7 plasticity index and N_k . I'm not sure if this document
8 doesn't slightly overstate it in its presentation.

9 So yes, the assumption that N_k is constant
10 through this layer may not be valid because we do see
11 indications from for the borehole data that the
12 plasticity is changing, and my experience with the
13 Bonneville clays elsewhere have suggested there's some
14 high plastic zones in it. For whatever reason, they
15 show up.

16 Now, I think again all I was doing, this was
17 in a relative sense, trying to understand, and yes, the
18 assumption was that N_k in this monotonous zone was
19 somewhat constant.

20 Q. I'm not trying to denigrate what you did,
21 I'm just trying to explore the bounds of what you can --

22 A. Explored the assumptions that we're going
23 through, yes.

24 Q. And would it be fair to say that, while
25 useful to try to figure out the extent to which you may

1 have a variation on undrained shear strength across a
2 layer using cone penetration tip resistance, you
3 couldn't just do a single plot by this and draw a
4 definitive conclusion. Is that correct?

5 A. No, I think it's just a possible approach.
6 And that's all it was for me was a possible approach to
7 try to understand potential variability. Because we
8 have discussed differences in plasticity, void ratio,
9 water content, and potential -- now slight cementation
10 in this zone, it is reasonable to assume that the
11 undrained shear strengths in this layer is variable.

12 Q. On the other hand, you could also assume
13 that the undrained shear strength is fairly constant and
14 the other things have changed. Is that right?

15 A. I have a hard time assuming that.

16 Q. Well, you cannot rule it out. You cannot
17 rule it out because you --

18 A. Well, I can say definitively if the void
19 ratios are changing, if the moisture contents are
20 changing, and if the plasticity is changing, the
21 undrained shear strength is changing. And I'll say that
22 definitively.

23 Q. All right.

24 A. If those are true.

25 Q. Right. And you can do that even without

1 referring to --

2 A. I can pull you a standard textbook and show
3 you those statements.

4 Q. And you can do that even without doing --

5 A. I do not have to do analyses to make those
6 assumptions.

7 Q. One more question that I have on this
8 Exhibit 59. And just keep looking just between five and
9 ten feet, if you will, which is I think the layer that
10 you are interested in.

11 A. Yeah.

12 Q. Even though they are in your plots, and
13 assuming for purposes of this discussion that in fact
14 there's a linear relationship in these two parameters,
15 that there is some variability. To my very untrained
16 eye, they appear fairly homogeneous throughout all
17 layers in terms of you're going to assume that there is
18 a band, the band as such is pretty uniform throughout.

19 A. Out of all that we see in this system, it is
20 the most monotonous, yes.

21 Q. In fact, the layer that relates most
22 uniformly as compared to --

23 A. Is layer 2. That's fine. That's why we can
24 pinpoint it so well from these data.

25 Q. All right. Do you think there's anything

1 else that we can draw from this Exhibit 59?

2 A. No, other than it's just a potential
3 approach to look at the variability. Do with it what
4 you may. It was just something I was trying to
5 understand.

6 MR. TRAVIESO-DIAZ: All right. Off the
7 record for a second.

8 (Discussion off the record.)

9 MR. TRAVIESO-DIAZ: Let's go back on the
10 record.

11 Q. (By Mr. Travieso-Diaz) And before we talked
12 about Exhibit 59, we were beginning to go over Basis 3b
13 as it is described on Exhibit 3, page 85.

14 A. Exhibit 3?

15 Q. Exhibit 3 is the statement of the
16 contention.

17 A. Got it.

18 Q. If I recall, yesterday we did go through
19 Basis 3.

20 A. Yes. This is the copy I had yesterday,
21 because I recall my markings on it.

22 Q. Right. And we were on b on page 85.

23 A. Okay.

24 Q. And I am embarrassed to tell you that I
25 don't recall exactly where on page 85 we were, but at