

CONDENSED TRANSCRIPT

DOCKETED
USNRC

UNITED STATES OF AMERICA 2003 JAN 29 PM 3: 14
NUCLEAR REGULATORY COMMISSION OFFICE OF THE SECRETARY
RULEMAKINGS AND
ADJUDICATIONS STAFF

Before the Atomic Safety and Licensing Board

In the Matter of) Docket No. 72-22
PRIVATE FUEL STORAGE) ASLPB No. 97-732-02-ISFSI
L.L.C.) TELEPHONE DEPOSITION OF:
(Private Fuel Storage) KRISHNA P. SINGH and
Facility) ALAN I. SOLER
)
) (Utah Contention L/QQ)

Wednesay, March 6, 2002 - 9:12 a.m.

Location: Office of the Attorney General
160 East 300 South, 5th Floor
Salt Lake City, Utah

Reporter: Vicky McDaniel
Notary Public in and for the State of Utah

State's
Exhibit 120



50 South Main, Suite 920
Salt Lake City, Utah 84144

801.532.3441

TOLL FREE 877.532.3441

FAX 801.532.3414

CLEAR REGULATORY COMMISSION

Docket No. Official Est. No. 120
In the matter of HS

Staff	_____	IDENTIFIED	_____
Applicant	_____	RECEIVED	<input checked="" type="checkbox"/>
Intervenor	<input checked="" type="checkbox"/>	REJECTED	_____
Other	_____	WITHDRAWN	_____
DATE	<u>3/7/02</u>	Witness	_____
Clerk	<u>V. McDavid</u>		

Private Fuel Storage
Krishna P. Singh & Alan I. Soler * March 6, 2002

PAGE 13

Singh/Soler deposition, 3/6/02 13

1 cohesiveness, and also checking that the input data was
2 correct, that the model followed accepted engineering
3 principles and that the results made sense.

4 Q. And Dr. Soler, what was your role with
5 respect to this document? I'm sorry, Dr. Singh.

6 A. (DR. SINGH) My role is the same in every
7 work that's done in the company in the areas where I
8 have direct expertise. I review the work, and the
9 engineers who do the work, they can consult with me on
10 different aspects of the solution. And I'm generally
11 familiar with the work done because of the interaction,
12 but I don't do the work myself. And I very seldom
13 serve as a direct reviewer of the document.

14 Q. And the third document, Dr. Soler, do you
15 have in front of you a Holtec document entitled
16 "Dynamic Response of Freestanding HI-STORM 100 Excited
17 by 10,000-Year Return Earthquake at PFS"?

18 A. (DR. SOLER) Yes.

19 Q. And are you the principal author for this
20 document?

21 A. (DR. SOLER) Yes, I am.

22 Q. And Dr. Singh, what was your involvement
23 with this document?

24 A. (DR. SINGH) My involvement, Ms. Nakahara --
25 do you like to be called Ms. or Mrs.? How do you like

CitiCourt, LLC
(801) 532-3441

PAGE 14

Singh/Soler deposition, 3/6/02 14

1 to be addressed?

2 MS. NAKAHARA: It doesn't matter -- Ms.

3 A. (DR. SINGH) My involvement in all these
4 documents has been uniform in respect of providing
5 consulting assistance, discussing the theoretical and
6 methodological aspects of the solution, and providing
7 general guidance to the others.

8 Q. And I forgot to make a copy of a fourth
9 document entitled "Evaluation of the Confinement
10 Integrity of a Loaded Holtec MPC under a Postulated
11 Drop Event."

12 A. (DR. SINGH) Oh, you do have it.

13 A. (DR. SOLER) You already mentioned that or
14 asked that.

15 A. (DR. SINGH) I don't think so.

16 Q. And that document -- okay. What about the
17 "PFSF Site Specific HI-STORM Drop Tipover Analysis"? I
18 don't have that document, correct?

19 A. (DR. SINGH) Correct, you don't.

20 A. (DR. SOLER) Yes.

21 Q. Are you familiar -- Dr. Soler, are you
22 familiar with that document?

23 A. (DR. SOLER) I'm familiar with that
24 document, yes.

25 Q. Did you have any role with that document?

CitiCourt, LLC
(801) 532-3441

PAGE 15

Singh/Soler deposition, 3/6/02 15

1 A. (DR. SOLER) I believe my role was as a
2 reviewer of the document, and of course I had some
3 discussions early on as to the procedure that we would
4 follow.

5 Q. And Dr. Singh, was your role with respect to
6 the drop tipover analysis the same as your role in the
7 other PFS Holtec documents?

8 A. (DR. SINGH) That is correct.

9 Q. Dr. Singh, you stated that you very seldom
10 do the direct analysis any more. Have you ever been
11 the principal analyst for a HI-STORM cask to analyze
12 the response of a HI-STORM cask to a seismic event?

13 A. (DR. SINGH) No. I have not been the
14 principal analyst for seismic analysis of Holtec's cask
15 systems. In recent years I have not done direct
16 analysis myself.

17 Q. When was, approximately, the last time you
18 have performed direct seismic analysis or been the
19 principal analyst?

20 A. (DR. SINGH) Probably about ten years ago.

21 Q. And Dr. Soler, do you recall in your
22 deposition in November I asked you questions about
23 other cask stability analyses performed by Holtec for
24 other sites?

25 A. (DR. SOLER) Yes.

CitiCourt, LLC
(801) 532-3441

PAGE 16

Singh/Soler deposition, 3/6/02 16

1 Q. I have a few more questions in that area.
2 Is it correct that Holtec evaluated the cask stability
3 at Diablo Canyon?

4 A. (DR. SOLER) We have evaluated our -- of
5 course still in the process, it's an ongoing project.
6 Currently under review by the NRC.

7 Q. Have you performed multiple analyses for
8 Diablo Canyon -- what I'm trying to ask is, you have a
9 current analysis. Did you have -- did you perform an
10 analysis previously in --

11 A. (DR. SOLER) Well, if your question deals
12 with casks on a pad, there has really been one analysis
13 which has been independently reviewed and modified over
14 the time period of this project.

15 Q. Okay. And that was for the HI-STORM 100,
16 and now it's the HI-STORM 100F; is that correct?

17 A. (DR. SOLER) That is correct.

18 Q. And did you use the same mathematical --
19 strike that. Is it correct for the PFS cask analysis
20 you used a lump mass mathematical model developed by
21 Holtec?

22 A. (DR. SOLER) Correct.

23 Q. And did you in your analysis -- strike that.
24 Were you principally involved in the Diablo Canyon cask
25 stability analysis?

CitiCourt, LLC
(801) 532-3441

Private Fuel Storage
Krishna P. Singh & Alan I. Soler * March 6, 2002

SHEET 3 PAGE 17
Singh/Soler deposition, 3/6/02 17

1 A. (DR. SOLER) Yes.
2 Q. Did you use the same lump mass mathematical
3 model used for the PFS site in the Diablo Canyon
4 analysis?
5 A. (DR. SOLER) No. Let me qualify that to
6 some extent. The report on the 10,000-year return
7 earthquake at PFS uses the same computer code that
8 we've used for Diablo Canyon.
9 Q. Is it correct that Holtec conducted a cask
10 stability analysis for Entergy Northwest?
11 A. (DR. SOLER) Energy.
12 Q. Oh, Energy. Sorry.
13 A. (DR. SOLER) Yes.
14 Q. Were you involved in the principal analysis?
15 A. (DR. SOLER) I believe that I acted as a
16 reviewer for that analysis.
17 Q. And did that analysis use the same lump mass
18 mathematical model used in the PFS 2,000-year return?
19 A. (DR. SOLER) The same mathematical model was
20 used. There were obviously a different number of casks
21 involved. Dimensions of the pad were different.
22 Q. When did you perform this analysis, or when
23 was this analysis performed?
24 A. (DR. SOLER) I can only hazard a guess here,
25 that roughly a year ago, maybe year and a half.

CitiCourt, LLC
(801) 532-3441

PAGE 18
Singh/Soler deposition, 3/6/02 18

1 Q. Do you recall what the zero period
2 acceleration for Energy Northwest was?
3 A. (DR. SOLER) Again, I'm making a guess here
4 without a report in front of me, but I suspect on the
5 pad it was on the order of a half a g horizontal.
6 Q. Do you recall what the maximum horizontal
7 displacement was at Energy Northwest?
8 A. (DR. SOLER) No, I don't, but it was on the
9 same order as PFS, meaning that it wasn't ten inches or
10 quarter of an inch.
11 Q. And do you recall what the maximum vertical
12 uplift, if any, was?
13 A. (DR. SOLER) I can't recall that, no.
14 Q. And is it correct that Holtec provides a
15 portion of the cask system, will provide a portion of
16 the cask system at Trojan?
17 A. (DR. SOLER) That is correct.
18 Q. Did you do -- did Holtec do a cask stability
19 analysis for the Trojan facility?
20 A. (DR. SOLER) I do not believe so.
21 Q. And other than -- is it correct that Holtec
22 performed a cask stability analysis for the Tennessee
23 Valley facility?
24 A. (DR. SOLER) That's correct.
25 Q. And when did you conduct this -- strike

CitiCourt, LLC
(801) 532-3441

PAGE 19
Singh/Soler deposition, 3/6/02 19

1 that. Were you principally involved in the analysis,
2 cask stability analysis?
3 A. (DR. SOLER) I was involved as a reviewer
4 and giving some guidance as to the methodology.
5 Q. And approximately when was this analysis
6 conducted?
7 A. (DR. SOLER) This analysis is still ongoing.
8 Q. And do you recall what the zero period
9 acceleration for Tennessee Valley is?
10 A. (DR. SOLER) I don't recall exactly.
11 Q. And Dr. Singh, your involvement with -- is
12 it accurate to characterize your involvement with the
13 Diablo Canyon, the Energy Northwest, the Tennessee
14 Valley cask stability analysis the same as your
15 involvement with PFS as a reviewer and consultant
16 available for technical consulting with your staff?
17 A. (DR. SINGH) That is correct.
18 Q. Dr. Soler, have you conducted other cask
19 stability analysis for the HI-STORM 100 at other
20 locations than we've discussed that have a peak ground
21 acceleration of above 0.4 g's?
22 A. (DR. SOLER) Performed some scoping analysis
23 for Humboldt Bay, but that does not involve HI-STORM.
24 Let me correct that. Early in the game before there
25 was a choice by the utility, we did subject the

CitiCourt, LLC
(801) 532-3441

PAGE 20
Singh/Soler deposition, 3/6/02 20

1 HI-STORM to the same kind of scoping analysis.
2 A. (DR. SINGH) Can I supplement that answer?
3 Q. Yes.
4 A. (DR. SINGH) We had made generic submittals
5 to the NRC back in 1994-95 time frame where we
6 submitted a complete treatment of seismic loads,
7 generic seismic loads, and that would be defined
8 seismic loads well in excess of .5 g's, actually in
9 excess of .6 g's.
10 Q. And is that with the Safety Analysis Report?
11 A. (DR. SINGH) Yes.
12 Q. Was that part of the Safety Analysis Report?
13 A. (DR. SINGH) Yes.
14 Q. Topical Safety Analysis Report?
15 A. (DR. SINGH) That would be a correct
16 characterization also.
17 Q. The scoping for Humboldt Bay was originally
18 for the HI-STORM and then for what cask system?
19 A. (DR. SOLER) It's for the HI-STAR.
20 Q. And was the seismic analysis for a
21 freestanding cask system or an anchored cask system?
22 A. (DR. SOLER) Humboldt Bay, it was for a
23 freestanding cask system. Some of the generic work
24 that we did used a bounding earthquake that in effect
25 bounded both Humboldt Bay and Diablo Canyon. So we

CitiCourt, LLC
(801) 532-3441

can't necessarily characterize it as one or the other.

Q. And the bounding, when you --

A. (DR. SOLER) An earthquake larger than would be expected at either of the sites.

Q. But the bounding work, was that in the Diablo Canyon or Humboldt Bay cask stability analysis or in the topical Safety Analysis Report?

A. (DR. SOLER) We did bounding work specifically for the utility, and some of the work found its way into the submittal to the NRC.

Q. Submittal -- I'm sorry. The submittal to the NRC for Diablo Canyon or for the TSAR, or both?

A. (DR. SINGH) Both.

A. (DR. SOLER) Yeah, although the submittal to the -- official submittal to the NRC on Diablo Canyon deals only with anchored casks.

Q. (BY MS. NAKAHARA) Dr. Singh, are you familiar with the document that's been marked as L/QQ Exhibit 1 entitled "Joint Submittal of Unified Geotechnical Contention, Utah L and Utah QQ"?

A. (DR. SINGH) Yes, I am.

Q. Dr. Singh, is it correct that you've been named as a witness by PFS with respect to this contention, consolidated contention?

A. (DR. SINGH) Yes, I believe I have.

CitiCourt, LLC
(801) 532-3441

1 dynamic system. That's as brief as I can get.

2 Modify me if --

3 DR. SOLER: I think that's fine.

4 Q. And Dr. Soler, you --

5 A. (DR. SOLER) I would say -- I would answer
6 in the same manner.

7 Q. Dr. Soler, if you can, can you describe how
8 your testimony will differ or supplement Dr. Singh's
9 with respect to these areas?

10 A. (DR. SOLER) I would suspect that my
11 testimony might be more direct to specific points of
12 the report, because I was actively involved in the
13 guidance and review of most of them in addition to
14 being a consultant. So I would have more direct
15 knowledge of the details.

16 Q. And Dr. Singh, do you agree or do you want
17 to supplement how you believe your testimony will
18 coordinate or supplement Dr. Soler's testimony?

19 A. (DR. SINGH) I think Dr. Soler described it
20 quite succinctly.

21 Q. Dr. Soler, what expertise do you bring to
22 this testimony that Dr. Singh does not have?

23 A. (DR. SOLER) For this specific project?

24 Q. Yes.

25 A. (DR. SOLER) I have a direct knowledge of

CitiCourt, LLC
(801) 532-3441

1 Q. Will you please review the contention -- and
2 as a preface to Dr. Soler, I'll be asking you the same
3 thing -- and identify which specific areas you expect
4 to testify about.

5 MR. GAUKLER: Limit the response to Section
6 C and D for the scope of this deposition. Look at
7 Section C and D and answer counsel's question.

8 A. (DR. SINGH) I've marked the ones that I
9 believe I will testify or provide information either
10 completely or in part.

11 Q. Will you identify those sections and briefly
12 describe what portions, if -- strike that. Will you
13 identify the sections, and you mentioned that you may
14 testify in part, describe what parts of those sections
15 you plan to testify with respect to.

16 A. (DR. SINGH) Okay, you've set me up for a
17 speech here. All right, I'll try to be brief.

18 Under "Characterization of Subsurface
19 Soils," broad category upper case C, I will
20 specifically address item 3.e without limitation.

21 Under category D, upper case D, "Seismic
22 Design and Foundation Stability," I will specifically
23 address 1.b, c, e, f, g, h, and i, with the limitation
24 that under h.(ii) my opinion with respect to fault
25 fling would be only from the perspective of a cask

CitiCourt, LLC
(801) 532-3441

1 some of the applications of the computer codes
2 involved, hands-on experience, if you will.

3 Q. And Dr. Singh, do you have any expertise
4 with respect to your planned testimony that Dr. Soler
5 does not possess?

6 A. (DR. SINGH) Well, he's quite
7 self-sufficient. He can deal with the issue entirely
8 on his own. But I have the advantage of having some
9 intellectual remove from the problem, and therefore I
10 can provide perhaps perspectives that one would have
11 from not being in the trenches and doing the analysis
12 day in, day out.

13 Q. The next area I'd like to ask you about is
14 the lump mass mathematical model. Dr. Soler, who
15 developed the lump mass mathematical model code that
16 you used for the PFS site?

17 A. (DR. SOLER) It was modified from an
18 existing code in a textbook that was published in 1976,
19 and I adapted it for use in both wet storage and dry
20 storage.

21 Q. And I'm sorry; you did this in 1966 or the
22 original --

23 A. (DR. SOLER) The book -- the original code,
24 which was a general lumped mass analysis code, was
25 published in a book that was published in 1976 that

CitiCourt, LLC
(801) 532-3441

Private Fuel Storage
Krishna P. Singh & Alan I. Soler * March 6, 2002

SHEET 4 PAGE 25

Singh/Soler deposition, 3/6/02 25

1 had -- it was a general dynamic analysis textbook. I
2 took that code over the years and adapted it first for
3 use in wet storage seismic analysis, and later on used
4 it for dry storage seismic analysis.

5 Q. And when did you adapt it for --
6 approximately when did you adapt it for wet storage?

7 A. (DR. SOLER) I believe, but this is not --
8 let me see if my resume will give me a clue, but I
9 believe we're talking about 1979, '80, '81 time frame.

10 Q. And when did you adapt it for dry storage?

11 A. (DR. SOLER) Probably in early 1992.

12 Q. In general, what type of adaptations did you
13 need to make so the code would work for dry storage?

14 A. (DR. SOLER) Dry storage, actually we made
15 no direct adaptations or modifications to the code. We
16 developed some what I'll call preprocessors that
17 enabled us to automatically generate the spring
18 constants that are used to simulate the contact
19 phenomena around the periphery of the cask.

20 I'll qualify that a little bit. We did add
21 some output statements to the code, enabling us to get
22 some information that was directly usable for reports,
23 for instance, to be able to generate information to
24 predict the maximum displacements of one or more casks.

25 Q. Is there a limit to the number of casks you
CitiCourt, LLC
(801) 532-3441

PAGE 27

Singh/Soler deposition, 3/6/02 27

1 same; the algorithm, the engine by which the program
2 solves the problem is different.

3 Q. And why did you use a different algorithm?

4 A. (DR. SOLER) The 10,000-year earthquake was
5 a beyond design basis earthquake. We fully expected
6 from our previous results at other plants that the
7 2,000-year earthquake would give us what I will loosely
8 call small deformation results, in other words, that we
9 would not show extremely large rotations of the cask
10 during the motion.

11 The code which you have labeled as a lumped
12 mass model is a small deformation code in that it does
13 not -- it's not capable without modification of
14 modeling the potential for a cask to execute a large
15 rotation.

16 The 10,000-year earthquake, being beyond the
17 design basis, was a scoping analysis, and therefore it
18 was quite likely to expect that we would experience
19 large rotations of the cask, and therefore we used a
20 program that was capable of managing that kind of a
21 motion.

22 Q. And what program did you use?

23 A. (DR. SOLER) It's called Visual NASTRAN
24 Desktop. It used to be called Working Model, but there
25 was a corporate takeover.

CitiCourt, LLC
(801) 532-3441

PAGE 26

Singh/Soler deposition, 3/6/02 26

1 can evaluate in your model?

2 A. (DR. SOLER) There is a current limit set by
3 the dimension statements in the FORTRAN code, but that
4 limit could be changed simply by modifying the
5 dimension statements. With the size capability of
6 computers now, your only restriction is the time it
7 would take to do a much larger system.

8 Q. The preprocessor that automatically
9 calculates spring constants, has it been verified with
10 another mathematical model?

11 A. (DR. SOLER) That particular code was simply
12 a tool and was verified by actually doing a sample
13 calculation and checking it manually to see that it
14 gave you the same results that you got from a hand
15 calculation. It was simply a program enabling you to
16 avoid doing a lot of things manually.

17 Q. Is it correct that you used a different
18 model for the 2000-year analysis at PFS versus the
19 10,000-year analysis?

20 A. (DR. SOLER) A different computer code, but
21 essentially the same model. In other words, if your
22 definition of model is that I have an MPC that is
23 inside an overpack and that overpack is sitting on a
24 pad or portion of the pad and that pad or the portion
25 of the pad is being excited, then the models are the

CitiCourt, LLC
(801) 532-3441

PAGE 28

Singh/Soler deposition, 3/6/02 28

1 Q. And do you have a name for Holtec's code
2 that you developed?

3 A. (DR. SOLER) It has over the years gone by
4 various names depending upon whether it was in the wet
5 storage arena. In wet storage it was known as
6 DynaRack. In early dry storage work it was sometimes
7 called DynaCask. Lately, to avoid confusion, we have
8 taken to calling it Dynamo.

9 Q. If I can remember to call it Dynamo, you'll
10 know that I'm talking about your -

11 A. (DR. SOLER) I'll know what you're talking
12 about.

13 Q. Okay. And I just have to remember that.

14 A. (DR. SOLER) Let me add a little bit more
15 just to avoid confusion. Internally and in some
16 references it was also known as MR-2, the MR standing
17 for "multi-rack" at the time.

18 Q. So is it correct that Dynamo without
19 modification cannot be used to evaluate the 10,000-year
20 ground motions at PFS?

21 A. (DR. SOLER) I believe that it would give
22 erroneous results.

23 Q. Is it correct that you did not use Dynamo
24 for the Diablo Canyon cask stability analysis?

25 A. (DR. SOLER) That is correct.

CitiCourt, LLC
(801) 532-3441

Private Fuel Storage
Krishna P. Singh & Alan I. Soler * March 6, 2002

PAGE 29

Singh/Soler deposition, 3/6/02 29

1 Q. And was that for all revisions of the cask
2 stability analysis?
3 A. (DR. SOLER) Yes. We did not use Dynamo for
4 anything at Diablo Canyon that has been submitted to
5 the NRC for their site license.
6 Q. And did you use Visual MASTRAN?
7 A. (DR. SOLER) Yes.
8 A. (DR. SINGE) NASTRAN.
9 A. (DR. SOLER) Yes. That's N, with an N,
10 NASTRAN, not MASTRAN.
11 Q. I'm sorry. Thank you. And what model did
12 you use in the Energy Northwest cask stability
13 analysis?
14 A. (DR. SOLER) Dynamo.
15 Q. And for Tennessee Valley?
16 A. (DR. SOLER) Dynamo.
17 Q. Approximately what range of zero period
18 accelerations do you believe Dynamo is capable of
19 processing?
20 A. (DR. SOLER) I would say, without having
21 pushed it, but I -- to the extent that zero period
22 accelerations imply a certain cask motion, I would not
23 hazard a guess as to the upper limit on Dynamo. I
24 would have to run it and check on the results. And if
25 those results remained in what I would call the small

CitiCourt, LLC
(801) 532-3441

PAGE 31

Singh/Soler deposition, 3/6/02 31

1 Off the record.
2 (Discussion off the record.)
3 A. (DR. SOLER) Okay, now ask your question
4 again.
5 Q. Is it fair to characterize your response in
6 the last deposition, or I guess in general that NRC had
7 compared portions of what I now know as Dynamo for wet
8 storage to other nonlinear codes?
9 A. (DR. SOLER) Well, I'm not sure what the NRC
10 has done independently, but as part of a number of
11 submissions for particular utilities in wet storage
12 applications, we were of course asked questions by the
13 NRC staff reviewer, and previous to the submittal we
14 were also sometimes asked questions by the utility
15 reviewers before submittal. And if you take all of the
16 submittals that we've made since when we started and
17 through the wet storage period, there have been a whole
18 range of problems considered. And there of course is a
19 validation report that's been issued with different
20 classical problems, both linear and nonlinear. Their
21 "exact" solutions or their numerical solutions from
22 other sources were compared with the results that we
23 would get for the same problem.

24 So while you could not say that a particular
25 wet storage submittal was completely modeled by another

CitiCourt, LLC
(801) 532-3441

PAGE 30

Singh/Soler deposition, 3/6/02 30

1 rotation range, then I would accept the results from
2 Dynamo. However, the reason for not using Dynamo for
3 the 10,000-year earthquake was simply at the outset I
4 expected large rotations to validate the results from
5 Dynamo.
6 Q. And when you say "large rotations" --
7 A. (DR. SOLER) I'm talking qualitatively in
8 the range of, say, 20 degrees, 15 degrees or higher.
9 Q. In your last deposition I asked whether
10 Holtec's computer code, which I didn't ask it as
11 Dynamo, but --
12 A. (DR. SOLER) A lumped mass model.
13 Q. Yes.
14 A. (DR. SOLER) Okay.
15 Q. Had been compared to other nonlinear codes.
16 And is it correct to characterize your answer that
17 portions of the NRC had compared it to portions with
18 respect to wet storage?
19 MR. GAUKLER: Objection. I'd like to have
20 you show the witness the question and answer before you
21 ask whether that is the correct characterization.
22 A. (DR. SOLER) Do you want me to look at the
23 stuff in yellow?
24 Q. No. Starting right there and through there
25 (indicating).

CitiCourt, LLC
(801) 532-3441

PAGE 32

Singh/Soler deposition, 3/6/02 32

1 program and compared with the results that we got,
2 portions of the program were compared by testing the
3 problem that had been done in the literature, or, in
4 one case, a finite element model using ANSYS that was
5 made up by a utility to characterize all of the
6 features like nonlinear springs and gap elements that
7 was in their model.
8 Q. You mentioned a validation report. Is this
9 a formal document that's submitted to NRC?
10 A. (DR. SOLER) Yes, I believe it's in the
11 public document now.
12 Q. And approximately how large is that
13 validation?
14 A. (DR. SOLER) Like that (indicating). I
15 believe, maybe I'm wrong, but we submitted at one time
16 a table of contents to that report.
17 Q. Did you submit a validation report with the
18 TSAR?
19 A. (DR. SOLER) No.
20 Q. Dr. Singh, do you want to add?
21 A. (DR. SINGE) May I supplement the response?
22 Q. Yes.
23 A. (DR. SINGE) One of the essential
24 undertakings we have in nuclear plant design and
25 analysis activities is to ensure that the computer

CitiCourt, LLC
(801) 532-3441

1 predicted displacements in the order of inches.
2 And to confirm that ANSYS was giving us
3 reasonable results, we modeled the same thing on our
4 Dynamo program and got the same order of magnitude of
5 displacements. We were not at that time trying to
6 check the specific details that we had to put on the
7 client's racks against Dynamo. But we left those
8 details off and just made a general check that our
9 program was not predicting a quarter of an inch when
10 ANSYS was predicting five inches. We got a general
11 level of agreement there, and beyond that we used
12 ANSYS.

13 Q. You mentioned with respect to this project
14 that you used a large earthquake. Do you recall
15 approximately what the zero period acceleration --

16 A. (DR. SOLER) No.

17 MR. GAUKLER: Objection. What project? The
18 one you're talking about that uses ANSYS?

19 MS. NAKAHARA: Yes.

20 A. (DR. SOLER) I do not recall what we used to
21 make that check. It was an informal check, what any
22 competent engineer would do when he's developing a new
23 model with a program that he's not used before on that
24 particular application. So we just picked a time
25 history that we had.

CitiCourt, LLC
(801) 532-3441

1 NASTRAN that allowed Visual NASTRAN to accommodate
2 potentially large rotations?

3 A. (DR. SOLER) Okay. Well, if you write the
4 equations of motion of a system and restrict it to
5 small rotations, you can simplify the equations.

6 In a nutshell, the Dynamo code does not
7 alter the equilibrium equations step by step. It
8 assumes to always satisfy equilibrium based on the
9 original configuration.

10 The Visual NASTRAN code was written from the
11 outset to accommodate large motions, falling objects
12 that could tumble, turn over, bounce. Therefore, it
13 did not make internally any simplifications to the
14 equations that are presumably programmed at the site.
15 So if you attempt to take a code that is written for
16 small deflections and blindly just apply it and get a
17 result that would indicate large deflections, either
18 your program will blow up on you or it will just give
19 you ridiculously large results that have no physical
20 meaning, or it will simply give you wrong results that
21 you may think there's a physical meaning to it. So you
22 have to be careful to make sure that you don't pose to
23 a code a problem that has a chance of going outside the
24 range of validity of the code.

25 Q. And how can you ensure that the results for
CitiCourt, LLC
(801) 532-3441

1 Q. With respect to Dynamo, do you directly
2 apply ground acceleration time histories, or do you
3 have to make some modifications to the time histories?

4 A. (DR. SOLER) We directly apply the
5 acceleration time history with a change of variables.

6 Q. And what do you mean "with a change of
7 variables"?

8 A. (DR. SOLER) Well, you can either treat a
9 seismic problem by assuming that the ground is moving
10 with some displacement time history and forcing through
11 the connection to the ground or friction and gap
12 elements, forcing the racks to move, or you can make a
13 change of variables and assume -- not assume, and make
14 everything relative to a fixed ground. And in that
15 case the forces are applied to the rack or to the cask
16 in the form of acceleration time histories, the ones
17 you have, times the mass of the particular component.
18 Based on the questions yesterday, I believe that that's
19 what was done in the Altran report, too.

20 MS. NAKAHARA: How about if we take a
21 ten-minute break.

22 (Recess from 10:39 to 10:56 a.m.)

23 Q. (BY MS. NAKAHARA) Dr. Soler, I have a few
24 more questions to ask about the mathematical codes.
25 What is the difference between Dynamo and Visual

CitiCourt, LLC
(801) 532-3441

1 the PFS 2,000-year return period using Dynamo are
2 accurate results?

3 A. (DR. SOLER) If I take, say, the peak
4 displacements that are predicted from any of the runs,
5 we're talking about numbers on the order of three
6 inches, say; and if I take three inches, which is a
7 maximum excursion laterally and assume the worst, that
8 the bottom of the cask was somehow pinned and it was
9 rotating, which is usually the case with a .8
10 coefficient of friction, if you take three inches and
11 divide by the height of the cask, which is 231 inches,
12 and calculate that angle, that angle is very small and
13 it's a commonly accepted number that would tell you
14 you're still in a small deflection range.

15 Q. Jumping to the cask stability analysis for
16 Diablo Canyon, were all cask stability analyses for
17 unanchored casks conducted with Visual NASTRAN?

18 A. (DR. SOLER) Well, all analyses that were
19 submitted to the NRC were for anchored casks and were
20 conducted with Visual NASTRAN.

21 Q. What about the scoping analysis that you
22 conducted for unanchored casks?

23 A. (DR. SOLER) The scoping analyses which were
24 most likely a few years ago we're talking were analyzed
25 with Dynamo, perhaps internally Visual NASTRAN, but I

CitiCourt, LLC
(801) 532-3441

1 Q. (BY MS. NAKAHARA) Let's go back on the
2 record. Dr. Soler, what total vertical contact
3 stiffness value did you use in the cask stability
4 analysis?
5 A. (DR. SOLER) Total number, I think was 468
6 times 108. That's the pounds per inch. That's the sum
7 of all the individual springs.

8 MR. GAUKLER: That was the total from all
9 the --

10 MS. NAKAHARA: 468? Off the record.
11 (Discussion off the record.)

12 A. (DR. SOLER) It was a little more than that
13 if you take numbers from the actual math book. That's
14 the range, 450 plus times 106.

15 Q. Will you briefly explain how you calculated
16 that?

17 A. (DR. SOLER) Okay, that number was
18 calculated -- and this goes back to almost the
19 beginning of our analysis. It had nothing to do with
20 the earthquake. That number was calculated by taking
21 the semi-infinite solution I referred to earlier this
22 morning and looking at the problem of if I just simply
23 took the cask, which has an 11-foot diameter, placed it
24 down on a pad of concrete, knowing the properties of
25 concrete in terms of the Young's modulus and the

CitiCourt, LLC
(801) 532-3441

1 really need to look at to give you that number is --

2 Q. Is that the '97 report?

3 A. (DR. SOLER) Yeah, probably 97-1631.

4 MS. NAKAHARA: I need to do a better search,
5 but I don't believe we ever got the '97 report.

6 MR. GAUKLER: Okay.

7 MS. NAKAHARA: We have two other earlier
8 versions of this report, none of the '97 that I could
9 find. That was one of my problems looking at some of
10 the references.

11 DR. SOLER: So I can't really give you that
12 answer.

13 MS. NAKAHARA: So to the extent we don't
14 have that report, we would like a copy of it.

15 MR. GAUKLER: Dr. Khan had a reference to
16 it.

17 Q. (BY MS. NAKAHARA) Will you explain how you
18 calculated, in general, the horizontal stiffness value?

19 A. (DR. SOLER) Generally speaking, what we
20 usually do is -- again, the phenomena we're trying to
21 simulate is what's called in the literature a
22 stick-slip phenomena, meaning that nothing happens
23 until you slip, and therefore something suddenly
24 happens after that and you jump up to the value U times
25 whatever the download is. So our simulation of that is

CitiCourt, LLC
(801) 532-3441

1 radius, you can calculate a spring constant for that
2 section of concrete. Then, since I was modeling 36
3 springs around the periphery, which I felt was a
4 reasonable number, I simply divided that spring
5 constant by 36 and imposed the result at each one of
6 the vertical springs.

7 There are, of course, other ways to compute
8 that spring constant, but the underlying rationale
9 would be when you're finished and you can imagine that
10 cask resting on whatever it's resting, that the
11 deflection to predict should be small.

12 Q. And you calculate the deflection according
13 to Exhibit 7; is that correct?

14 A. (DR. SOLER) That would be the formula I
15 would use to determine whether the number that I came
16 up with was a rational number to use. But I would have
17 to determine the spring constant from the method I just
18 described.

19 Q. And what total horizontal stiffness value
20 did you use in your analysis?

21 A. (DR. SOLER) I'd really have to consult one
22 of my outputs to refresh that number. I've got it
23 here, so let's see if it's -- oh. In Section 5.3 of
24 this report it says refer to an earlier report to get
25 that spring constant. So I guess the report that I

CitiCourt, LLC
(801) 532-3441

1 with a spring that has a very stiff spring constant so
2 that it behaves like a linear spring up until the force
3 predicted in that spring is greater than the
4 coefficient of friction times the normal force in the
5 contact spring that goes along with it.

6 Now, the number you choose is again based on
7 physical principles. Again, if I take the object,
8 whatever it is, and I assume a spring constant that is
9 trying to simulate really something very stiff so that
10 I don't get any deflection without sliding, I want a
11 number that's big enough so that if I put on a load
12 that's less than μ times W, I don't predict some
13 unreasonably large number like quarter of an inch, half
14 an inch. I want to predict something like .00
15 something, just for this simple problem. That way I
16 know when I do the dynamics problem that what I'm going
17 to see is the slipping behavior and not some elastic
18 motion. So again, it's chosen mainly to get a result
19 that you would expect from your physical intuition and
20 physical observing the problem.

21 Q. Do you believe that the total contact
22 stiffness for an unanchored cask is constant or varies
23 during the entire duration of the earthquake motion?

24 A. (DR. SOLER) First let me ask, is that --
25 the term "total contact stiffness" means what to you?

CitiCourt, LLC
(801) 532-3441