

Distribution:

Paton Shapar
Ketchen Engelhardt
Bordenick Gallo
Wilchins E.Goulbourne-L
Massar Davis-L
Elizabeth S. Bowers, Esq., Chairman
Atomic Safety and Licensing Board
U. S. Atomic Energy Commission
Washington, D. C. 20545

JUL 16 1974

OGC Files S.Reed-EP
OGC FF (2) GDittman-EP
REG Central
PDR
LPDR
Mr. Glenn O. Bright
Atomic Safety and Licensing Board
U. S. Atomic Energy Commission
Washington, D. C. 20545

Dr. E. Leonard Cheatum, Director
Institute of National Resources
University of Georgia
Athens, Georgia 30601

In the Matter of Tennessee Valley Authority
(Bellefonte Nuclear Plant, Units 1 and 2)
Docket Nos 50-438 and 50-439

Dear Members of the Board:

In the course of the proceedings last week, the staff offered in evidence the deposition of Dr. Clyde W. Voigtlander. We had only one copy at that time but advised the Board that we would supply sufficient copies on our return to the office. I enclose a copy for each member of the Board.

Sincerely,

William D. Paton
Counsel for AEC Regulatory Staff

Enclosure: As stated

cc w/enclosure:

Hugh K. Clark, Esq.
Dr. John H. Manley
Robert H. Marquis, Esq.
David G. Powell, Esq.
Aubrey V. Godwin
William E. Garner, Esq.

Elisha C. Poole, Esq.
Atomic Safety and Licensing
Board Panel
Atomic Safety and Licensing
Appeal Board
Mr. Frank W. Karas

OFFICE >	OGC <i>WDP</i>				
SURNAME >	Paton: <i>WP</i> Massar: <i>W</i>				
DATE >	7/15/74				

PAT

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

UNITED STATES OF AMERICA
ATOMIC ENERGY COMMISSION

-----X
:
In the matter of: :
:
TENNESSEE VALLEY AUTHORITY :
Bellefonte Nuclear Plant, : Docket Nos. 50-438
Units 1 and 2(: 50-439
:
-----X

DEPOSITION OF CLYDE VOIGTLANDER

The deposition of Clyde Voigtlander was taken before Patricia McEvers, Court Reporter and Notary Public at Large for the State of Tennessee, at 8:30 a.m., in Conference Room A, New Sprankle Building, 508 Union Avenue, Knoxville, Tennessee, on the 14th day of June, 1974, when there were present on behalf of the parties:

WILLIAM D. PATON, Esquire, and HOWARD WILCHINS, Esquire, Office of the General Counsel, U. S. Atomic Energy Commission, Bethesda, Maryland, on behalf of the AEC Regulatory Staff;

DAVID POWELL, Esquire, New Sprankle Building, 508 Union Avenue, Knoxville, Tennessee, on behalf of the Tennessee Valley Authority.

EAK

C O N T E N T S

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23
- 24

WITNESS:

DIRECT

CROSS

Clyde Voigtlander

3

KERNS

P R O C E E D I N G S

1
2 Whereupon,

3 CLYDE VOIGTLANDER

4 was called as a witness and, having been previously sworn,
5 was examined and testified as follows:

DIRECT EXAMINATION

7 BY MR. PATON:

8 Q Would you state your name for the record, sir?

9 A Clyde William Voigtlander, V-o-i-g-t-l-a-n-d-e-r.

10 Q Dr. Voigtlander, you are employed by TVA?

11 A That is correct.

12 Q In what capacity?

13 A My title is Senior Aquatic Ecologist for the
14 Fisheries and Water Fowl Resources Branch, Division of Forestry,
15 Fisheries and Wildlife Development of TVA, stationed in
16 Norris, Tennessee.

17 I am charged with the overall technical but not
18 administrative supervision of all branch investigative programs
19 in aquatic ecology, this to include all site assessment,
20 nuclear plant monitoring, et cetera.

21 Q What is your education, sir?

22 A I received a Bachelor Of Science degree with
23 majors in biology and chemistry from Wisconsin State University
24 at Eau Claire, E-a-u-C-l-a-i-r-e. I received a Master of
25 Science and a Ph.D. degree in zoology with emphasis in aquatic

1 -ecology from the University of Wisconsin at Madison.

2 MR. PATON: Off the record.

3 (Discussion off the record.)

4 BY MR. PATON:

5 Q What is your responsibility, sir, with regard to
6 the Bellefonte Nuclear Plant?

7 A I was the initial person in our branch to begin the
8 data analysis, the preparation and review of the Draft
9 Environment Impact Statement. I have had the responsibility
10 with respect to designing or providing our branch input into the
11 integrated monitoring program for Bellefonte Nuclear Plant and
12 I also acted as branch representative in the preparation and
13 interdisciplinary review of the Environmental Impact Statement.
14 I have also provided responses to other agencies, comments
15 and questions, during the review period of the Draft
16 Environmental Statement.

17 Q Have you been involved in studies that relate
18 to the design of the intake structure?

19 A Yes, sir.

20 Q What has been your involvement in that matter?

21 A The involvement began with providing estimates
22 of possible ecological impact for all of the alternative
23 cooling system designs considered for the Bellefonte Plant.
24 With regard to the intake design specifically, I provided
25 data and conducted discussions with engineers in TVA regarding

1 the design of the proposed intake and the alternate designs.

2 More recently, I have been the principal
3 branch liaison in the cooperative investigation -- strike that
4 "cooperative" please -- can we go off the record, please?

5 MR. PATON: Off the record.

6 (Discussion off the record.)

7 THE WITNESS: More recently, I have been TVA's
8 representative, working out with the AEC Regulatory Staff the
9 design and analysis ~~[of data]~~ of sampling and data regarding
10 larval fish and their possible entrainment by the proposed
11 Bellefonte intake.

12 BY MR. PATON:

13 Q Would you tell us, for the purposes of that study,
14 what you mean by larval fish?

15 A Larval fish are commonly defined as fish from the
16 time they first hatch, until the time that they are completely
17 free swimming, and are no longer at the mercy of low velocity
18 water currents. For all intents and purposes, we are talking
19 about fish not exceeding a length of 25 millimeters, total
20 length.

21 Q Have you been involved in a number of meetings with
22 AEC representatives regarding this particular subject?

23 A That is correct.

24 Q And was there an agreement involving a sampling
25 program that you have recently undertaken?

1 A Yes. There was substantial agreement between AEC
2 Regulatory Staff and TVA, regarding the sampling design. The
3 discussions that were held produced a general sampling outline
4 which we then agreed might be modified somewhat, based on what
5 we originally find, what we would originally find down at the
6 site.

7 Q Have you proceeded with some work under that
8 sampling program?

9 A Yes. We initiated sampling on the 26 of March 1974,
10 and have continued sampling each week up until the present time.

11 Q Now, would you describe the purpose of the sampling?
12 What is it you expect to learn?

13 A The purpose of the sampling is to estimate the
14 percentage of fish, of larval fish, which would be entrained,
15 the percentage being a comparison of what is entrained versus
16 that part of the total larval fish population that is being
17 transported past the plant.

18 Q Do you assume for the purposes of your study that
19 all of the larval fish that will be entrained, will be killed?

20 A Yes.

21 Q Do you have an opinion as to whether or not that is,
22 in fact, the case?

23 A Inasmuch as Bellefonte is designed to operate with
24 cooling towers, I believe that to be true, that all larval fish
25 entering the plant will be killed. They will represent a loss

1 to the system.

2 Q Can you tell us the results of your sampling to date?

3 A Yes. The results to date can best be characterized
4 as being inconclusive with respect to the impact of the proposed
5 intake. We are in the process or have been in the process this
6 year, of exploring the area and trying out several types
7 of sampling gear. We have gained experience. The results
8 we have right now are highly variable and it is not possible
9 at this time to make any conclusion as to what the percentage
10 of entrainment is likely to be over a long period.

11 The only conclusion we can make at this time, is
12 that the sampling design must be changed slightly.

13 MR. PATON: Let's go off the record.

14 (Discussion off the record.)

15 MR. PATON: Back on the record.

16 BY MR. PATON:

17 Q Dr. Voigtlander, in your study, am I correct that
18 you used some figures you obtained from Dr. Stolzenbach
19 concerning river flow?

20 A That is correct.

21 Q Would you state for the record the essential numbers
22 that you used that you obtained from him?

23 A The results of the study performed under Dr.
24 Stolzenbach's direction indicate that the flow in the overbank
25 area is of the order of 100 to 150 cubic feet per second and that

1 the flow in the channel, taken at the same time or measured
2 at the same time, is approximately 40,000 cubic feet per
3 second, and that these two flows, for the purposes of the
4 studies that are being done under my direction, these flows
5 are essentially independent. They do not mix. They can be
6 treated as independent streams.

7 Q From his study, did you assume that the water
8 recruited by the intake structure came entirely from the over-
9 bank area?

10 A That is correct.

11 Q Do you have an opinion as to the quantity of the flow
12 in mid-channel during the spawning season?

13 A Yes.

14 Q What is your opinion?

15 A According to the data that were presented in the
16 EPA Final Environmental Statement on Field Canal, the average
17 flow in the channel

18

19

20

21

22

23

24

25

END EAK
Pat fls.

T-2-P-1

1 A According to the data that were presented in the
2 TVA Final Environmental Statement on Bellefonte, the average
3 flow in the channel during the period November through April
4 was 27,100 cubic feet per second. The average flow from May
5 through October was 44,200 cubic feet per second.

6 Q Let's go off the record. I'm sorry.

7 A I'm developing -- there's a reason for doing this,
8 if I may. If you want to go off the record, fine.

9 Q Let's go off the record and I'll ask you a question.

10 (Discussion off the record.)

11 MR. PATON: On the record.

12 Q Go ahead.

13 A Inasmuch as the spawning season approximately spans
14 the period from mid March through mid July, in main stream
15 reservoirs in the Tennessee Valley, the average flow would be
16 approximately the average between the two numbers, and this
17 would be approximately 35,000 cubic feet per second.

18 Q Now, Dr. Voigtlander, would you explain in very
19 general terms how you used the information you obtained from
20 Dr. Stolzenbach, in combination with the information you ob-
21 tained, in arriving at your conclusion as to the percentage of
22 larval fish that would be entrained, just in general terms.

23 A If one knows the flows in the two streams that are
24 being considered, and if one has good bathymetric data, it is
25 possible to establish factors for given entrainment percentages.

P-2

1 These factors are simple ratios of the density of entrainable
2 organisms in the overbank, divided by the density of entrainable
3 organisms in the channel passing the plant. These factors can
4 be calculated for any set of flow data, and for any percentage
5 or range of percentages that one wants to deal with, and the
6 data to date have been calculated on this basis.

7 Q Is it correct that as a result of your computations
8 you calculated that if the population density for larval fish
9 was approximately fourteen times higher in the overbank area,
10 that you estimate that five percent of the total larval fish
11 population passing the plant would be entrained?

12 A Yes. To restate, if the ratio between overbank and
13 channel densities of larval fish is fourteen or less, then en-
14 trainment is five percent or less.

15 Q And what was your comparative population density for
16 a result of twenty-five percent entrainment?

17 A If the factor -- strike that. If the ratio of over-
18 bank to channel concentrations exceeds 89, then entrainment is
19 estimated to exceed twenty-five percent.

20 Q Did you obtain as a result of your sampling program
21 a number of comparative population density figures?

22 A Yes, we obtained comparisons between overbank and
23 channel concentrations for six dates. That was six dates to date
24 beginning with the 30th of April and extending through the 4th
25 of June.

P-3

1 Q Would you give us for each of those dates what your
2 figure was?

3 A Yes; for April 30th the ratio was 1.1; for May the
4 6th, the ratio was 0.5; for May the 14th, the ratio was 9.5;
5 for May 21st, the ratio was 49.6; for May 30th, the ratio was
6 180.1, and for June the 4th, the ratio was 10.0.

7 Q Are you able to draw any conclusions from those
8 figures, sir?

9 A Not with respect to the impact of the proposed in-
10 take.

11 Q The sampling that you have just discussed, was that
12 taken during the day?

13 A Yes.

14 Q Did you also do some night sampling?

15 A Yes, we instituted night sampling on the last date
16 which I have given you, that is June 4th. This was done to
17 allow us to compare densities of larval fish that we observed
18 during the day time with those that would be present in the
19 same habitat at night. However, the data that I have read into
20 the record represent only daytime samples.

21 Q From your night sampling were you able to arrive at
22 any conclusions that you could not obtain from your day sampling?

23 A In answering that it is important to recognize that
24 we are sampling at least three very distinct habitat types out
25 in the channel. We are sampling an in-shore area, that is an

P-4

1 area that is shallow and which is bordered on one side by either
2 the bank of an island or by the far shore. We are sampling an
3 open water area and sampling near the surface, which represents
4 a totally different set of conditions for fish; and we are also
5 sampling at a deep stratum, that is at a depth of approximately
6 five meters in the water column.

7 We find on the basis of the one set of comparative
8 samples that we have analyzed at this time that densities prob-
9 ably are not appreciably different in the shallow in-shore line
10 habitat during the day versus the night. We find that there
11 are differences in the channel, the open water areas in the
12 channel and at the deep areas, differences which may be appre-
13 ciable and which will require us at this point to do day and
14 night sampling for the remainder of this season and for whatever
15 is done next year.

16 Q Can you state in your professional opinion what you
17 would expect to find by way of relative productivity and popu-
18 lation between the overbank area and mid channel?

19 A In general the productivity, if one defines it as the
20 density of larval fish, is in most cases likely to be higher in
21 overbank areas than it is out in the channel.

22 Q Can you quantify that in any way?

23 A We have stated in the TVA draft and Final Environ-
24 mental Statement -- (pause) Well, I can't find it now. We
25 have stated with respect to the Bellefonte Environmental State-

P-5

1 ment that our experience on Wheeler Reservoir in northern Ala-
2 bama indicates that shore line concentrations exceed channel
3 concentrations and deep concentrations by a factor of ten. That
4 needs to be qualified in that those data are from some fairly
5 specific habitat types. The sampling for the most part in Wheel-
6 er was also done in what is probably a more productive area
7 with respect to the production of fish, and that Wheeler is
8 also considered to be a more productive reservoir generally in
9 terms of its over all biology.

10 Q There has been no previous sampling for this type
11 of information in the Guntersville Reservoir to your knowledge?

12 A There were one set of samples taken which represent
13 one date in June of 1972.

14 Q Did you take those samples or --

15 A Yes, I was present and assisted in taking them.

16 Q Do you recall what that result was?

17 A The samples in question were taken on June 21, 1972
18 at night. They represented five samples taken in Bellefonte
19 Reservoir proper, and one set of samples taken in the Mud Creek
20 embayment whose mouth is approximately 2.7 river miles above
21 the proposed plant site. The results of the one day, or the
22 one night's samples indicated that densities were on the order of
23 400 in the shore line habitat and ranged from ten to 74 in the
24 channel habitat.

Ace-Federal Reporters, Inc.

25

Q Are you now following the same sampling methods that

1 were used at that time, generally?

2 A Generally speaking, yes, although we have since that
3 time gained in experience with the particular sampling methods
4 we used, and we have also instituted the use of two additional
5 sampling methods.

6 Q Sir, you have discussed with representatives of the
7 AEC that the sampling methods that you had previously agreed on
8 should be changed, is that correct?

9 A The sampling methods, if you are speaking of the
10 types of gear we employ to do the actual physical sampling will
11 not change. The way in which we use them, that is the areas
12 in the reservoir near the plant which we sample, will change.

13 Q The location of the sampling?

14 A Yes, the location of the sampling effort will change,
15 the magnitude of the sampling effort will change with respect
16 to the area near the intake.

17 Q What will you be doing differently now than what you
18 had originally agreed on with the AEC?

19 A We will be reducing the number of sampling locations
20 from three to one. That is, we have been sampling at three
21 transects of the river, at approximately river mile 394, river
22 mile 392, and river mile 391. In discussions with our biolo-
23 gists, and in discussions with Dr. Stolzenbach, the conclusion
24 that we have reached is that in order to truly characterize the
25 possible impact of the intake we should concentrate our efforts

1 in the transect at Tennessee river mile 392, which is the trans-
2 ect at the proposed intake.

3 Q Do you have an opinion as to how much time it will
4 take for you to obtain sufficient sampling and any other needed
5 information to arrive at a conclusion as to the percentage of
6 larval fish that will be entrained by the intake structure?

7 A My opinion is that it will be necessary to continue
8 sampling this year for the remainder of the season of the
9 availability of larval fish, and that it will be necessary to
10 sample an additional year.

11 Q Do you have an opinion based on your expertise as to
12 what percent of entrainment is environmentally significant?

13 A By that do you mean at what point it represents a
14 significant adverse impact?

15 Q Yes.

16 A The state of knowledge to date in the ecology of
17 larval fish is such that no particular figure can be defended
18 from a biological standpoint. The body of knowledge regarding
19 the effects of additional mortality on the larval fish popula-
20 tion in a large body of water, or a large aquatic system, just
21 are not amenable to this sort of process, that is to the process
22 of setting a number and attaching immediate significance or non
23 significance to that number.

24 Q Based on the answer that you have just given, would
25 I be correct in saying that the state of the art is such that no

1 one could conclude that even a one hundred percent entrainment
2 would produce a significant adverse environmental impact?

3 A. I would not agree with that. However, in most
4 of the discussions that I have had with AEC Regulatory Staff,
5 and with other professional biologists both inside TVA and
6 others that I have consulted with from time to time, the
7 ordinary range of percentages that we are talking about
8 usually ranges from zero to twenty-five or thirty percent,
9 and in that range I believe my statement holds, that there is no
10 way to defend any particular figure.

11
12
13 END PAT
14 ANN FOLS
15
16
17
18
19
20
21
22
23
24
25

1 Q If a qualified aquatic biologist stated that a
2 25 percent entrainment was a significant adverse environmental
3 impact, would he, in your opinion, be wrong?

4 A I don't know that he would be wrong. I do believe
5 that he could not cite any data or at this time, any body
6 of theory that would support his number.

7 The problem is, as I have already stated, that this
8 is a new biological problem. The biological community in
9 general has only become aware of it in the last few years.
10 The theory has not been developed and in speaking of any
11 given percentage, one must consider the species that are
12 likely to be involved. One must also consider the
13 location where this entrainment will occur.

14 Twenty-five percent entrainment by a facility in
15 one reservoir could have significantly different effects than
16 25 percent at another facility at another reservoir or for that
17 matter, another facility in the same reservoir.

18 It depends really, I would say, on location and the
19 species involved, if you are going to talk about significance.

20 Q You do expect that you would be able to obtain
21 that type of information by the end of the next spawning season,
22 however, to arrive at a conclusion for the Bellefonte site?

23 A With respect to true ecological significance, no,
24 not unless somewhere a significant breakthrough occurs in the
25 theory of population dynamics which would allow us to apply that

1 theory and supporting data.

2 Q Well, however, by the end of the next spawning
3 season, you will be able to make a better assessment of the
4 environmental impact?

5 A We will be able to make a better assessment of the
6 estimated percentage of entrainment of larval fish by the
7 proposed Bellefonte intake and the species' composition
8 of that part of the larval fish population which is entrained.

9 Q Will you obtain your sampling information
10 concerning various species of fish?

11 A Yes.

12 Q Larval fish?

13 A Yes.

14 Q Your information concerning that right now is quite
15 limited?

16 A It is limited to the weekly samples taken from
17 March 26 through June 4, the present time. That is with
18 respect to the Bellefonte site.

19 Q Yes. Those samples do not show -- strike that.
20 Do those samples give you any indication of the percentage
21 of shad?

22 A I would like you to rephrase that question. I don't
23 understand.

24 Q Do you know from those samples what percentage of
25 the larval fish are shad?

1 A The most complete data we have at the present
2 time represents the samples out in the channel and the data
3 indicate that the family, Clupeidae, C-l-u-p-e-i-d-a-e,
4 which in our reservoirs includes two species of shad and
5 one species of river herring, comprise approximately 90 percent
6 or slightly more, of the total population.

7 Q Was that, sir, for one day sampling or more than
8 one?

9 A This represents generally the total picture from
10 late March through approximately the end of May.

11 Q You limited, if I am correct, a statement you
12 made concerning the environmental impact to up to 30 percent of
13 entrainment. Can you conclude that entrainment in excess of
14 30 percent would have a significant adverse environmental
15 impact?

16 A No. The limitation I placed on my earlier response
17 was merely to get away from citing 100 percent which will
18 represent an almost impossible situation, both from the engineering
19 standpoint as well as the biological standpoint.

20 The whole point is that there is just no way of
21 knowing. The obvious strategy, I think, that must be considered,
22 is that entrainment of larval fish should be kept as low as
23 possible coincident with good engineering techniques and including
24 all economic considerations. We, I think, have a responsibility
25 to be conservative. But it is very difficult, in fact at this

1 point it is impossible, to set numerical limits, really, which
2 represent threshold of significant adverse impact.

3 Q When you consider environmental impact, as, for
4 example, in this case, do you consider the impact on
5 ~~Gunnsville~~ ^{Guntersville} Lake or an area smaller than that?

6 A We consider the impact on the reservoir. In most
7 of the environmental statements and reports, we have considered
8 both the limited area around a given facility and the
9 entire reservoir. With respect to environmental protection
10 and protection of fisheries' resources in the Tennessee
11 Valley, the unit of concern is the reservoir.

12 MR. PATON: Let's go off the record.

13 (Discussion off the record.)

14 MR. PATON: On the record.

15 I have no further questions. Mr. Powell, do you have
16 any questions?

17 MR. POWELL: I have no questions of Dr. Voigtlander.

18 MR. PATON: Let's go off the record.


19 (Discussion off the record.)

20 MR. POWELL: On the record. Due to the unavailability
21 of TVA witnesses to sign the depositions that have been
22 taken yesterday and today, TVA will waive the signature
23 requirement on the depositions, provided that to the extent
24 that any of the depositions are used in the evidentiary hearing,
25 the witness will have an opportunity to make a correction on the

1 record at that time.

2 MR. PATON: The Staff agrees.


3 (Whereupon, at 10:23, the deposition was concluded.)

4
5 
6 Clyde W. Voigtlander

7
8 STATE OF TENNESSEE)

9 COUNTY OF KNOX)

10 Sworn to and subscribed to by me this 14th day of June, 1974.

11
12 
13 Patricia McEvers
14 Notary Public At Large
15 My Commission expires 1-23-78.
16
17
18
19
20
21
22
23
24