

UNITED STATES OF AMERICA
NUCLEAR REGULATORY COMMISSION

BEFORE THE ATOMIC SAFETY AND LICENSING BOARD

In the Matter of	§	
	§	
HOUSTON LIGHTING & POWER	§	
COMPANY	§	Docket No. 50-466
	§	
(Allens Creek Nuclear	§	
Generating Station, Unit	§	
No. 1)	§	

Material Facts As To Which There Is
No Genuine Issue To Be Heard

1. Fuel hydriding is caused by hydrogenous contamination (primarily moisture) introduced inside the Zircaloy fuel rod during manufacture. (Affidavit, pp. 1-2)

2. Hot vacuum outgassing (drying) techniques used during manufacture, just before and during plug welding, and the presence of a hydrogen getter inside the fuel rod, have proven effective in eliminating hydriding as a fuel failure mechanism. There have been no hydride-induced failures in fuel manufacturing using the outgassing techniques and the hydrogen getter. (Affidavit, pp. 2-3)

3. Fuel densification has been studied since 1972. Quality control tests during manufacture will assure that the fuel is of such an initial density that further densification during irradiation does not adversely affect fuel performance. Conservative limits on Linear Heat Generation Rate (LHGR)

assures that actual LHGR remains within design limits if maximum theoretical densification occurs. (Affidavit, pp. 3-4).

4. No fuel cladding collapses or failures that can be attributed to densification have been experienced in any BWR fuel. (Affidavit, p. 5).

5. In the unlikely event that hydriding or densification-induced fuel failures occur, no safety concern exists since (1) operation of reactor coolant and the off-gas system can be controlled by regulating the power level of the reactor and (2) the failed fuel can be replaced if necessary. (Affidavit, p. 5).

UNITED STATES OF AMERICA NUCLEAR REGULATORY COMMISSION
BEFORE THE ATOMIC SAFETY & LICENSING BOARD

IN THE MATTER OF:)

HOUSTON LIGHTING & POWER COMPANY)
(ALLENS CREEK NUCLEAR GENERATING)
STATION, UNIT 1))

DOCKET NO. 50-466

DEPOSITION OF:

BRENDA McCORKLE



International 496
Court Reporters, Inc.

1 BRENDA McCORKLE,

2 called as a witness by Houston Lighting & Power
3 Company under the adverse party rule, having been
4 first duly sworn, testified as follows:

5
6 CROSS EXAMINATION

7 QUESTIONS BY MR. BIDDLE:

8 Q Would you state your name and address for the
9 record?

10 A My name is Brenda McCorkle, and my address is
11 6140 Darnell, Houston, Texas 77074.

12 Q We have asked you here today to complete
13 discovery on the subject matter covered in
14 applicant's second interrogatories, specifically
15 dealing with fuel hydriding densification,
16 and leakage bypassing filtration systems, is
17 that your understanding as well?

18 A Yes, but I have a problem with it.

19 Q All right.

20 A The problem I have with it is that I thought
21 the hydriding contention had been dropped out.

22 Q You say now you want to reform it --

23 A No, I'm saying that in the Board order of April
24 12th, '79, in Paragraph 8, they said my
25 contentions 914 and 17 were admitted.

1 Now, according to my calculations
2 on the contentions I submitted, the one on
3 hydriding is No. 16.

4 Q Well, we have your Contention No. 14 which
5 reads as follows. And I quote here and you can
6 correct any portion you want. The way we have it
7 reading now is that, "The fuel rods to be used
8 are not safe because of clad failures in off
9 gas activities caused by hydriding and the effect
10 of fuel densifications which increases the power
11 spikes and heat generation."

12 A I have that listed as No. 16 under mine.

13 Q Which contention do you have listed under 14?

14 A Under 14 I have a dry well and containment
15 issue contention.

16 MR. BIDDLE: Go off the record
17 for a moment.

18

19 (Discussion off the record.)

20

21 Q Now, the Board renumbered contentions, did
22 they not? In other words, your No. 14 may
23 not be the No. 14 that the Board assigned
24 that number.

25 A Okay. What I did was I paragrahed these, and

1 it started out this was -- has a one on it.
2 This would have been Contention No. 1.
3 Contention 2 is actually No. 3 on mine,
4 Contention 3, and the reason I saw this,
5 back on the Board order of April 12th, '79,
6 it said with regard to her contention 3 which
7 contends that the construction of the plant
8 may be excessive.

9 That corresponds with what I put
10 as No. 3. By their number, then I just went
11 on down. And I ended up with -- and I only did
12 this today, because I was just going along
13 with whatever you said. And I ended up with
14 the one on the fuel rods being No. 16. And
15 then I couldn't understand --

16 Q Let's see if this will correct it. We asked
17 a set of interrogatories on fuel hydriding,
18 densification and leakage, bypassing filtration
19 systems.

20 A Right.

21 Q And in a Board order you were asked to more
22 fully respoond to those.

23 A Right.

24 Q And then the Board allowed us until a later
25 date to follow up discovery. That was a Board's

_____ 1 order of --

_____ 2 A Right, I remember.

_____ 3 Q -- January 8th. So this deposition is in
_____ 4 accordance with the January 8th order saying
_____ 5 that we can follow up on your further responses.

_____ 6 A Okay. Well, my only question on that was
_____ 7 if I did not -- if they just summarily dropped
_____ 8 the -- see, I got real confusion on this because
_____ 9 I don't know what's in and what's out. I
_____ 10 am perfectly willing to respond as best I can.

_____ 11 Q I understand.

_____ 12 A But when I did this this morning and I came out
_____ 13 with No. 16 on that and nowhere in the Board
_____ 14 order does it say that 16 ends. I don't know
_____ 15 what you're pursuing.

_____ 16 Q Well, I don't know where the confusion lies, but
_____ 17 I guess the best course for us is to go ahead
_____ 18 and complete our questions and answers on
_____ 19 these three subject areas regardless of what
_____ 20 contention is attached to them, and then
_____ 21 afterwards we'll try --

_____ 22 A We need to clarify something for the Board.

_____ 23 Q -- to ascertain ourselves which are in and
_____ 24 which are out and make use of the information
_____ 25 in this deposition accordingly without making

1 any reference to whether it's admitted or
2 what number pertains to to the admitted
3 contentions. Okay? If that's acceptable
4 with you.

5 A That is fine.

6 Q All right. Let me ask you first if you are
7 an expert either in fuel hydriding, densification
8 or in the subject area concerning leakage
9 bypassing filtration systems?

10 A No.

11 Q Have you retained an expert witness for any
12 of the contentions dealing with this subject
13 matter?

14 A No, I havent.

15 Q Did you then formulate the answers that you
16 submitted on February 1st, which was your last
17 set of responses, by yourself without assistance?

18 A Yes.

19 Q In response to the Board's order of December
20 5th of 1979, you stated that you had delayed
21 in answering a set of interrogatories because
22 you lacked the technical knowledge to answer
23 many of these questions and were searching
24 for an expert witness to answer them. You
25 then stated, and I quote, "If I cannot locate

_____ 1 an expert witness, then I will withdraw these
_____ 2 latest contentions."

_____ 3 Is that representation you made to
_____ 4 the Board no longer valid?

_____ 5 A I don't understand what you are asking.

_____ 6 Q All right. In a Board order of December the
_____ 7 5th, they asked you to answer four questions,
_____ 8 I am sure you remember those.

_____ 9 A Right.

_____ 10 Q One of those was what were your reasons for
_____ 11 not complying with the Board's order to you
_____ 12 compelling you to respond to discovery, and
_____ 13 you responded that, and I quote, "I complied
_____ 14 with the Board's August 27th order to compel.
_____ 15 I have not complied with the October 5th order
_____ 16 because at that time I was working on the third
_____ 17 set of interrogatories from applicant. I
_____ 18 received this order (October 5th) two days
_____ 19 before the due date for answers. I also lack
_____ 20 the technical knowledge to answer many of these
_____ 21 questions, and am searching for an expert
_____ 22 witness to answer them. If I cannot locate
_____ 23 an expert witness, then I will withdraw
_____ 24 these later contentions."

_____ 25 Those answers are exactly the

1 subject matter that we are here to discuss
2 today. And I am asking whether or not this
3 representation you made to the Board that
4 you would withdraw these contentions if you did
5 not locate an expert witness is still valid,
6 or do you intend to testify at the hearing
7 yourself on these matters?

8 A I don't know right now. I am still looking for
9 an expert.

10 Q And is it still true that if you do not find an
11 expert that you will withdraw those conten-
12 tions?

13 A I don't know.

14 Q Well, do you intend to advise the Board if you
15 change your representation you made in this
16 submission of --

17 A Oh, yes, sure.

18 Q -- 31 December?

19 A Right.

20 Q So right now --

21 A I'll put it this way: Most likely I will
22 withdraw them if I can't find someone that
23 knows a lot more than I do. I am not real big
24 in making a public fool of myself.

25 Q We be forthright in the problem we are

_____ 1 having, these contentions are what we call
_____ 2 the oldest contentions or the ones
_____ 3 originally admitted. You were in that group
_____ 4 of original intervenor .

_____ 5 A These are the ones admitted when we appealed.

_____ 6 Q We lumped those all together. In any event,
_____ 7 the discovery on these contentions as
_____ 8 ended December the 5th, so if things were neatly
_____ 9 organized, there would be no further discovery
_____ 10 on this. We are right now in a position of
_____ 11 not knowing where your case is, that you
_____ 12 intended to withdraw if you don't find an expert
_____ 13 witness. And you haven't identified an expert
_____ 14 witness, and we have no one to depose to close
_____ 15 discovery.

_____ 16 A We are also in a double bind, not just because
_____ 17 of my doing but also on what is in and what is
_____ 18 out.

_____ 19 Q Well, but for present purposes --

_____ 20 A If I do not find an expert witness, I will
_____ 21 withdraw these contentions.

_____ 22 Q And you will let us know as soon as you identify
_____ 23 him so that we can request discovery, permission
_____ 24 to depose him because right now the discovery
_____ 25 on this portion of the case is indeterminate?

1 A The day I get him wired in.

2 Q Do you have any prospects right now or is that

3 just an --

4 A No.

5 Q Until that time, we will ask you for your

6 best knowledge of the contentions, even though you

7 state that it's unlikely and extreme that you

8 will testify, I think for efficiency purposes

9 we'll work on the supposition that you might

10 testify or at least you might cross-examine

11 and we'd like to know what you know about the

12 contentions, if that is all right?

13 A That is fine.

14 Q It is true that you do not intend to testify

15 yourself?

16 A As an expert in this area?

17 Q Yes.

18 A Absolutely not.

19 Q Maybe the best way to approach this now is to

20 ask you how did you formulate the contentions

21 on these subject matters originally? Did

22 someone or something identify the concern to you

23 that we can look at and better understand the

24 basis of your concern?

25 A Well, I read the material.

_____ 1 Q Which material?

_____ 2 A My contentions were formulated from reading

_____ 3 the -- I don't know whether --

_____ 4 Q The Safety Evaluation Report?

_____ 5 A -- SAR or SER.

_____ 6 Q SER.

_____ 7 So all these subjects matters were

_____ 8 gleaned from the SER?

_____ 9 A I don't know whether they came all from that.

_____ 10 I read so much of this material, I can't tell

_____ 11 you where anything came from.

_____ 12 Q So, you cannot point out to us -- to anything,

_____ 13 particular reference?

_____ 14 A No, not to any particular reference, but it

_____ 15 did come from the material that has been

_____ 16 published, this. I have read the final

_____ 17 environmental report and this material and we

_____ 18 came up here one day and Mr. Copeland let us

_____ 19 go through some of the material that was available

_____ 20 here.

_____ 21 Q But you have no specific quotation to a page

_____ 22 or chapter or number or anything like that?

_____ 23 A No.

_____ 24 Q And have no recollection of where you originally

_____ 25 viewed the concern?

1 A Let me look at this.

2 P41 to 49. Is that the safety
3 report or is that the condensed safety report?

4 Q This book here is the original Safety Evaluation
5 Report. There were two supplements. Page 45
6 discusses hydriding protection, is that the
7 source of your concern on the first subject
8 matter?

9 A That was probably --

10 Q Have you identified any concern other than
11 that identified in those sections of the SER?

12 A Well, I have one -- I didn't bring it with me
13 but I have another one that came from the PSAR.
14 It was just a small paragraph on the -- what
15 is it called, on the --

16 Q Could you -- if you find that piece or
17 reference, would you let me know which --

18 A I have it clipped to a stack of materials.

19 Q If you would just call me and let me know what
20 section of the PSAR you were referring to.

21 Now, outside of that section of
22 the PSAR and these sections of the SER dealing
23 with hydriding, you know of no other source
24 of your concern presently?

25 A No.

1 Q Would you describe for me what you believe
2 is the problem with hydriding, briefly?

3 A Hydriding is when the hydrogen gets into the
4 fuel and can cause, I guess, cladding breakdown.
5 This has been a long time since I've looked
6 at this.

7 Q So, cladding breakdown is the source of your
8 concern in hydriding?

9 A Yes.

10 Q Do you know when this problem was originally
11 discovered?

12 A No.

13 Q Do you know how it was originally discovered?

14 A No.

15 Q Do you know of any steps that have been imple-
16 mented to alleviate this problem, whatever
17 it's nature?

18 A I -- are you saying do I know of any remedial
19 measures?

20 Q Yes.

21 A Yes. I don't know, it's not this one, it's
22 the PSAR, and I don't know whether that's
23 been abated or not, but it talks about they
24 have developed a zirconium alloy pack, or it's
25 made of zirconium. They were packed loosely

1 around the fuel rods sort of as a hydrogen
2 getter to keep the hydrogen away from the
3 cladding fuel rods.

4 Q Is it your contention that that remedial measure
5 is inadequate?

6 A I have no notion whether that is adequate or
7 not.

8 Q You have no opinion as to its adequacy?

9 A No.

10 Q Can you tell me of every instance that you are
11 aware of where fuel manufactured by General
12 Electric for BWR failed due to hydriding or
13 suffered hydriding even since November, 1974,
14 which was the issue date of the SRR?

15 A I don't know.

16 Q Do you know of any instance where it was?

17 A I think I have read something about it somewhere,
18 but I don't know where it was.

19 Q But you have no knowledge of any instance of
20 fuel failing after November, 1974?

21 A No.

22 Q Some of these questions are premised on answers
23 you gave in previous interrogatories, so if
24 you don't understand the question, just say
25 so and I will identify for you the premise.

_____ 1 A All right.

_____ 2 Q I would say in general if I ask any question
_____ 3 that you don't understand because of my
_____ 4 structure or whatever, just please interrupt
_____ 5 and I'll try to restate it for you, rather
_____ 6 than have you try to answer something you
_____ 7 are not fully aware of what the question is.

_____ 8 Let me ask you how long the hydrogen
_____ 9 getter material must be used to get a
_____ 10 satisfactory history as to its effectiveness?
_____ 11 In a previous interrogatory answer you indicated
_____ 12 that there wasn't adequate history.

_____ 13 A I don't know.

_____ 14 Q You don't have any opinion about how long we
_____ 15 have to use it before you can say whether
_____ 16 it's working correctly?

_____ 17 A No.

_____ 18 Q Do you know of any instances where hydrogen
_____ 19 getter material, this remedial measure, has been
_____ 20 depleted before the end of the life of the
_____ 21 fuel?

_____ 22 A No.

_____ 23 Q All right.

_____ 24 A Now, I did read in the last, I think it was
_____ 25 the supplement to this, it came out I believe

1 in March of '70.

2 Q '78.

3 A No, not that.

4 Q This is the last supplement.

5 Oh, I'm sorry, this is the one
6 that has the wrong date on it. March '70
7 was the issue date. This one has the wrong
8 date.

9 A It does talk about the cladding in there and
10 it talks about the current cladding structure
11 is supposed to before it begins to give way,
12 it has something like a five, I think it's
13 a five-year and I did not mark -- I have one
14 of these but I did not mark the place where it
15 is.

16 It indicated to me that the
17 cladding structure or the hydrogen -- I don't
18 know whether it was hydrogen getter -- is
19 supposed to last longer than the fuel rods
20 would usually be in use, which, if that is true,
21 there is no problem.

22 Q If that is true, then there is -- then you have
23 no further concern?

24 A If the hydrogen getter or the cladding structure
25 is as this indicated, and I do not remember

_____ 1 exactly if that did include the hydrogen
_____ 2 getter material, but if the cladding structure
_____ 3 is designed to last longer than the fuel,
_____ 4 how could you object?

_____ 5 Q Or if the getter material is designed to last
_____ 6 longer?

_____ 7 A Yes, if its projected life is longer than
_____ 8 the fuel.

_____ 9 Q Do you agree it is possible to calculate and
_____ 10 to provide an amount of getter sufficient to
_____ 11 capture all of the hydrogen expected over the
_____ 12 life of the fuel? In other words, it is
_____ 13 possible to supply enough zircoloid chips
_____ 14 to service the fuel over the life of the
_____ 15 fuel?

_____ 16 A It should be.

_____ 17 Q You made some reference in your interrogatory
_____ 18 answers about a concern that the getter
_____ 19 material might become contaminated. Could you
_____ 20 identify for me the source of your concern
_____ 21 and how the getter material might become
_____ 22 contaminated?

_____ 23 A I don't know, I suppose it's theoretically
_____ 24 possible that the getter material could
_____ 25 become saturated and lose its usefulness, but

1 I don't know enough about that to comment
2 on it and I do not know what else is in there,
3 in the fuel racks and rods and cladding
4 material that could contaminate it.

5 Q You have in mind no specific instance of
6 getter contamination?

7 A No.

8 Q This is more of a theoretical argument?

9 A Yes.

10 Q Let's turn our attention now to the densification
11 of that same general contention.

12 Again, I'll just start by asking
13 you to describe briefly what you visualize
14 as the problem with fuel densification.

15 A Well, the fuel becomes compacted and I don't
16 know how it affects it to make it more or less
17 deficient than it was before or because the
18 power spikes -- I do not remember. I was
19 reading this this morning in the same book and
20 they were talking about the fuel pellet
21 arrangement now is such that it's minimized
22 fuel densification problems.

23 Q If the information contained in this SER
24 supplement is correct, the NRC staff's evaluation
25 of fuel densification problems principally, if

1 that is correct, does that remove the source
2 of your concern?

3 A Probably. I have to get a lot more education
4 on this before I can give you an educated
5 answer.

6 Q But you understand our problem is trying to
7 rebut your questions?

8 A What you are trying to do is blow me out of the
9 water and you and I both know it.

10 Q We are trying to understand the basis of your
11 concern, what we have now is some generalized
12 statements like densification is a problem and
13 the starting places --

14 A I will say that the March '79 supplement to
15 the Safety and Evaluation report says that
16 they have taken care of the fuel densification
17 problems by creating a new pellet structure
18 or they are using smaller sized pellets.

19 Q I understand. The General Electric proposed
20 and implemented a remedial measure for the
21 fuel densification phenomenon and the NRC
22 staff found that remedial action acceptable
23 and now I am prompted to ask you whether or
24 not you also find it acceptable or if you have
25 a continuing objection, and if you do have

1 a continuing objection, can you identify it
2 for me specific enough so we can attempt
3 to rebut it?

4 A No, I can't identify specific objection. This
5 material on the new pellet shape and cladding
6 is on Page 43.

7 Q 4-3 of the SER Supplement?

8 A Yes.

9 Q But you have no particular opinion or reason
10 to object or agree with the staff's evaluation
11 here?

12 A No. But the staff says it's going to work,
13 it's an exercise in futility for me to
14 object to it anyway. If it's acceptable to
15 NRC.

16 Q Well, the way I read this section of the SER,
17 that is what they say.

18 A That's the way I read it, too.

19 Q They said it's acceptable, so presently the
20 only person objecting to it, to our knowledge,
21 is yourself, and we need to know what your
22 objections are.

23 A If it's going to be acceptable to them, I have
24 no objection, I don't care.

25 Q So --

1 A I just read this this morning, just sat down.

2 Q So you have no continuing objection concerning
3 the problem of fuel densification?

4 A Say that again.

5 Q Well -- I don't want to put words in your mouth.

6 A If this is correct, how unusual for you and
7 Mr. Copeland to try to constantly put words
8 in my mouth.

9 If this is correct, I have no
10 further objection.

11 Q Okay. I have remaining a series of questions
12 based on prior interrogatory answers that
13 you gave us dealing with fission rates and
14 the size or the substantiality of power spikes
15 and so forth and so on. I assume that these
16 answers were written before you reviewed this
17 material in the SER. I am wondering if I need
18 to ask you these questions based on those
19 prior answers or is your position now centered
20 solely around the adequacy of the evaluation
21 of the SER supplement, in that you don't have --
22 necessarily take any exception to that?

23 A I don't take any exception to the material
24 in that.

25 Q I don't see any reason to ask any more on

1 that, then.

2 Okay. Turning to the last area
3 that has to do with excessive leakage bypassing
4 filtration systems, and I have again an
5 introductory very broad question that I am
6 forced to ask really. What leakage bypassing
7 filtration system are you talking about?

8 We can't pinpoint the structure or systems that
9 you have reference to.

10 A Which interrogatory are you talking about?

11 Q That would be your interrogatory, the way
12 I have it numbered, 17. I will read it the
13 way I have it recorded. It says the containment
14 as designed will allow excessive leakage to
15 bypass the filtration system, power company
16 admits that 20 percent of the leakage would
17 not even be filtered and also the filter
18 absorber, I think meant adsorber, may start
19 a fire by auto ignition, if there is no
20 water supplied by such auto ignition as required
21 by the NRC regulation guide 1.52. That is the
22 contention.

23 A I have that one down as my number 19.

24 Q Well, we'll straighten the numbering out later.
25 But could you describe for me now what leakage