

1 UNITED STATES DISTRICT COURT
2 SOUTHERN DISTRICT OF NEW YORK

-----x

3 GENERAL PUBLIC UTILITIES CORPORATION,
4 JERSEY CENTRAL POWER & LIGHT COMPANY,
5 METROPOLITAN EDISON COMPANY and
6 PENNSYLVANIA ELECTRIC COMPANY,

Plaintiffs,

7 v.

80 Civil 1683 (RO)

8 THE BABCOCK & WILCOX COMPANY and
9 J. RAY McDERMOTT & CO., INC.,

Defendants.

-----x

11 November 22, 1982

12 10:15 a.m.

13 - - -

14 (Trial resumed.)

15 W I L L I A M H. Z E W E, resumed.

16 THE CLERK: You are still under oath, Mr. Zewe.

17 DIRECT EXAMINATION (CONTINUED)

18 BY MR. KLINGSBERG:

19 Q. Mr. Zewe, I want to pick up, more or less, where
20 we left off in the last session. You testified about --
21 transcript page 2116 -- about cycling the PORV block valve
22 in order to feed and bleed the primer.
23

24 Would you explain for the Court, please, what
25 that process is?

1 A. At the time the reactor coolant system
2 temperatures were high and natural circulation didn't seem
3 to be taking place. We had tried to start the reactor
4 coolant pump at that point and were not able to do so. So
5 we were concerned about the high temperatures.

6 We weren't concerned whether the core was
7 covered or not because we had a full pressurizer level at
8 that point or a high pressurizer level at that point, but
9 we were concerned that we may not be cooling sufficiently
10 because of our high temperatures that we had seen at the
11 hot legs.

12 So we decided to do the feed and bleed operation
13 and put on, as I remember, around 200 gallons per minute of
14 makeup water from the makeup system for the BWST, through
15 the high pressure injection legs, into the RCS, and then to
16 open up the PORV block valve when the pressure rose to
17 around 2,200 pounds and then to reclose the block valve
18 again when the pressure went down under 2,100 pounds, then
19 allow the pressure to build up again to 2,200, open it up
20 again and reclose it at 2,100 pounds.

21 We did this because we wanted to insure that we
22 had some flow that was going into the reactor coolant
23 system and then bleeding it out of the pressurizer to be
24 sure in our mind that we were having some cooling flow
25 through this system to try to cool down because of the high

1 temperatures that we had.

2 THE COURT: This is after 6:20?

3 THE WITNESS: Yes, it is.

4 Q. Can you place, as best you recall, the
5 approximate time period during which the feed and bleed
6 operation was taking place?

7 A. I don't recall the exact time period, except
8 that it was some time after we had declared the general
9 emergency, which would put it somewhere after 7:30.

10 Q. For about how long did that process take place?

11 A. As I recall, about a couple of hours.

12 Q. Who was in the control room during the
13 discussions initiating the feed and bleed operation?

14 A. Myself, Mr. Scheimann, Mr. Faust, Mr. Frederick,
15 Mr. Ross, Mr. Logan, Mr. Mehler, Mr. Kunder, Mr. Miller.

16 At some point in time during that Mr. Seelinger, Mr. Rogers -

17 Q. Rogers from Babcock & Wilcox, the site
18 representative?

19 A. Yes. There were several other people from the
20 emergency planning group now because we were into a general
21 emergency, so there were more people that arrived in order
22 to help with the communications. Mr. Crawford was there
23 and there were several others, though I don't recall
24 exactly when they came in.

25 Q. Did you have any conversations or were you

1 present at any conversations in which Mr. Rogers
2 participated during this period of time?

3 MR. FISKE: Your Honor, I object to this. I
4 don't see what the relevance is to conversations with Mr.
5 Rogers some time after 7:30.

6 THE COURT: What is the relevance of this?

7 MR. KLINGSBERG: The relevance, your Honor, is
8 that they would not have initiated this feed and bleed
9 operation if they thought that there was any danger that
10 the core was uncovered or that the pressurizer level was
11 not the accurate indicator of water level.

12 Mr. Rogers was present and participated in and
13 agreed with these discussions to feed and bleed and agreed
14 with the conclusion that the pressurizer level was the
15 appropriate indication of level in the system.

16 MR. FISKE: Your Honor, this is long after the
17 core damage had occurred.

18 THE COURT: Is there any contention that that is
19 not so?

20 MR. KLINGSBERG: There is going to be testimony,
21 your Honor, as to when the final core damage occurred, and
22 it was not an immediate thing; it was a progressive thing.
23 The experts will testify based on a lot of data as to the
24 progression of core damage. It wasn't an instantaneous
25 thing.

1 THE COURT: Mr. Fiske, your objection really is
2 one to relevance, is it not?

3 MR. FISKE: Exactly.

4 THE COURT: Then I will take it and I will hear
5 hereafter whether it has any relevance or not. Go ahead.

6 Q. Did you have any conversations with Mr. Rogers
7 during the course of the morning?

8 A. I did.

9 Q. Was Mr. Rogers present during the discussion of
10 and the decision to feed and bleed?

11 A. Yes, he was.

12 Q. Can you tell us the substance of that discussion
13 concerning the feed and bleed?

14 A. Throughout the morning after Mr. Miller arrived
15 and relieved me as the emergency director, he formed a
16 group of individuals whereby that group would relay to him
17 information that he requested, and based on the group
18 discussions, then, he would make a decision on what the
19 next course of action was. In that group was myself, Mr.
20 Ross and Mr. Kunder, Mr. Seelinger, Mr. Rogers, Mr. Mehler.

21 In the process of thinking out loud, making
22 suggestions, having input from everybody, Mr. Rogers was
23 aware and participated in conversations and discussions,
24 and, as I remember, he was in complete agreement with the
25 decision to start the feed and bleed operation as Mr.

1 Miller had directed.

2 Q. Did Mr. Rogers say anything else about the
3 status or condition of Three Mile Island Number 2 nuclear
4 system during the course of the morning, that you recall?

5 A. Throughout the morning and the day he had a lot
6 of inputs and suggestions to me as an individual and to
7 others. I do recall one comment that he made to me about --
8 that the damage that had occurred wasn't that serious, that
9 he thought that the plant would be able to restart within a
10 couple of weeks.

11 THE COURT: Rogers was who, again?

12 THE WITNESS: He was the site B & W
13 representative.

14 Q. To your recollection, did anyone in the control
15 room in the discussions of the feed and bleed situation
16 suggest any alternative means of core cooling?

17 A. Yes. Prior to the feed and bleed operation it
18 was recommended that we try to start the reactor coolant
19 pump, which we were unable to do so, and the feed and bleed
20 was the next operation that we tried.

21 Then after performing that for a couple of hours
22 it was decided to depressurize the plant, have the coolant
23 flood tanks dump in and to try to get down on to decay heat
24 removal flow at a lower pressure.

25 Q. During the discussion of the feed and bleed, did

1 anyone suggest that you should leave the HPI on full blast
2 and close the block valve and have the PORV closed so as to
3 fill up the system?

4 A. No. The direction was to cycle the PORV block
5 valve and to have a flow rate in the neighborhood of 200
6 gallons per minute during that period of feed and bleed.

7 Q. Could you explain for the Court the
8 depressurization core flood process that you mentioned in
9 your previous answer?

10 A. We were trying to get down to a lower pressure
11 at which we could use decay heat removal, and we needed to
12 get down less than 400 pounds in order to satisfy the
13 interlocks on the decay heat system to draw from the
14 reactor coolant system or to try to cool down itself with
15 the decay heat removal.

16 So in order to do that we needed to depressurize
17 the primary plant, so we opened up the PORV block valve and
18 left the block valve open, which enabled the plant then to
19 depressurize down to below 600 pounds, at which point the
20 core flood tanks would start to transfer their water under
21 the 600 pounds pressure into the RCS, and then we continued
22 to try to depressurize down as low as 420 or 430 pounds.
23 That is as low as we were able to depressurize to. The
24 plant stabilized pretty much around 420 or 430 pounds.

25 MR. KLINGSBERG: I'd like to mark several

1 exhibits at this point. Exhibit 2084, which contains the
2 control room computer alarm output.

3 Exhibit 2082, which is a segment of the report
4 of the Nuclear Regulatory Commissions special inquiry group
5 containing a sequence of events.

6 Exhibit 2080, which is an investigative report
7 of the United States Nuclear Regulatory Commission,
8 investigation into the March 28, 1979 Three Mile Island
9 accident by the Office of Inspection and Enforcement,
10 sometimes referred to as NUREG 0600; again, the portion
11 containing a sequence of events.

12 Exhibit 2081, analysis of Three Mile Island
13 accident, Unit Number 2, by the Nuclear Safety Analysis
14 Center, sometimes known as NSAC, again the portion
15 containing a sequence of events.

16 Finally, Exhibit 2079, which is a GPU nuclear
17 technical data report pertaining to the Three Mile Island
18 accident, again the portion containing the sequence of
19 events.

20 MR. FISKE: Your Honor, I have no objection to
21 these documents being marked for identification and I may
22 not have objection to certain of them being offered in
23 evidence, but I may have objection to others if they are
24 going to be offered in evidence.

25 Q. Mr. Zewe, I'd like to direct your attention

1 first to Exhibit 2084. Can you identify that document,
2 please?

3 A. Yes, it is a copy of the alarm printouts from
4 the plant computer on 3/28/79.

5 Q. Did you look at the alarm computer output at any
6 time during the course of the accident on March 28?

7 A. Yes, I did. I looked at it on a number of
8 occasions to try to ascertain what the status was of the
9 various alarms, but for the most part I did not use it
10 because of the great number of alarms that it had and the
11 fact that it was so far behind actual time.

12 (Continued on next page.)

13

14

15

16

17

18

19

20

21

22

23

24

25

1 Q. Is the alarm printer output commonly used by
2 nuclear plant licensees and operators to reconstruct what
3 occurred?

4 MR. FISKE: You mean during the accident?

5 MR. KLINGSBERG: No, after the accident or a
6 transient or the event.

7 MR. FISKE: I will object to the question unless
8 it is limited to what they did at GPU.

9 THE COURT: That's sustained.

10 Q. What did you mean when you said it was so far
11 behind the actual time?

12 A. There were a very large number of alarms that
13 the computer was printing out and the computer typewriter
14 is only capable of printing so many alarms per second. And
15 the number of alarms occurring at the same time was so
16 large that the computer started to get backlogged.

17 It was printing alarms at any particular time
18 that where alarms that had occurred 20 minutes before or a
19 half hour before or even an hour before that.

20 That is the reason why I did not use it very
21 much because I was more interested in what the current data
22 was and not what it was an hour ago.

23 Q. Would you explain, in general, what is reflected
24 in Exhibit 2084?

25 A. Well, the computer has many thousands of inputs

1 and in the course of monitoring these inputs it would then
2 print up certain status conditions of the components that
3 it monitors, whether it was in high alarm, in low alarm,
4 whether the component status was running, whether it had
5 tripped. It just gave an alarm status of what the
6 component was and what the computer monitors for each piece
7 of equipment varied on what the piece of equipment did.

8 If it was a level alarm, it would indicate if it
9 was a high level or if it was a low level alarm or a normal
10 condition once the high or low cleared.

11 If it was a pump, for instance, or a motor, it
12 would indicate whether the pump was on or whether it was
13 off. And if it had started automatically or if it hadn't
14 started automatically or if it had tripped manually or had
15 tripped automatically. So it really varied depending on
16 its input for that parameter in that component.

17 Q. Now, you mentioned -- let me direct your
18 attention to page 71 of Exhibit 2084.

19 THE COURT: Let me ask you a threshold question.

20 Apparently, we have established that Exhibit
21 2068 is way off in terms of its times in certain
22 particulars.

23 Now, is 2084 accurate in terms of its times or
24 inaccurate in terms of its time?

25 THE WITNESS: It would be accurate for the time.

1 As the computer monitored that paramater, it would be
2 accurate, just that in printing information out, it was
3 very backlogged.

4 THE COURT: In other words, when it says six
5 o'clock, it means six o'clock?

6 THE WITNESS: Yes, actual clock time. But it
7 may not have printed that out until a quarter after seven
8 or so.

9 THE COURT: You would be making four-hour
10 adjustments in terms of pressurizer level on this disk
11 chart on 2068, as you understand it?

12 THE WITNESS: Correct.

13 THE COURT: Go ahead.

14 Q. Would you turn to page 71, please.

15 A. Are the pages marked in the lower right-hand
16 corner?

17 Q. Marked in the lower right, yes. There is a
18 stamp.

19 Let me give you another copy.

20 A. Okay.

21 THE COURT: Page 71, we're at it.

22 THE WITNESS: I have that page.

23 Q. Now, what time would 13:50 be?

24 A. Ten minutes to two in the afternoon.

25 Q. Now, you testified from your recollection at

1 page 2116 on Friday that -- 2115 to 16 -- there was an
2 automatic initiation of the high pressure injection on
3 reactor building pressure when you were cycling the block
4 valve and then the hydrogen burn at ten minutes to two or
5 so in the afternoon was another time.

6 Could you tell us whether or not there is at ten
7 minutes to two any indication on the computer run, Exhibit
8 2084, regarding this bit of testimony?

9 A. It shows that at 13:50:21 contact 3278, 79 and
10 80 show that the ES building isolation switch actuation B
11 channel one, two and three had tripped. That would
12 indicate that the reactor building had gone above the trip
13 set point for the reactor building pressure switches which
14 are set nominally at four pounds.

15 That would indicate to me that at that point the
16 reactor building went above four pounds.

17 Q. What does this indicate concerning the actuation
18 of high pressure injection at full flow?

19 A. Well, what I just described shows actuation
20 channel B. Underneath that, contact 2833, 2834 and 2835
21 show that actuation A went.

22 So you have the A and the B RB isolation and
23 cooling at four pounds. That automatically actuates high
24 pressure injection as part of that logic. It shows that
25 contacts 2218, 19 and 20, show that the emergency injection

1 groups, one, two and three went on the A side and contact
2 monitor 2852, 53 and 54 show that the -- I'm sorry -- 2843,
3 44 and 45 show that the B actuation logic for emergency
4 injection actuated.

5 So that you had both A and B actuations of RB
6 isolation and cooling and also A and B high pressure
7 injection at that point.

8 Q. And when A and B pumps go on, what, if anything,
9 happens to C pump, make-up pump?

10 A. The logic is such that when you have the ES
11 actuation, the A and C pumps should automatically start and
12 the B pump, if it is running, will stop.

13 If the A or the C pump did not start, B would
14 start in its place. But normally you would have just the A
15 and C running after full actuation, just like had occurred
16 at 4:02 or 4:03 in the morning.

17 Q. This was at ten of two then an automatic
18 actuation of high pressure injection at full flow?

19 A. Yes, it was.

20 Q. Was this one of the three full flow automatic
21 HPI actuations that you mentioned in your testimony last
22 week?

23 A. It was.

24 Q. Now, you testified at page 2123 about an
25 actuation which you said was about eight o'clock, around

1 eight o'clock.

2 Would you turn to page 39 of Exhibit 2084?

3 A. I have that page.

4 Q. Could you explain entries at about 7:56 insofar
5 as they relate to that event which you testified last week?

6 A. Yes.

7 It is much like the previous example here at
8 07:56:16 you have the three channels of B actuation for
9 high pressure injection and then you have the three
10 channels of B reactor building cooling and isolation.

11 Then further on down the page at 07:56:23 you
12 have the A channel logic for the emergency high pressure
13 injection followed by the A channel of RB isolation and
14 cooling.

15 So, again, you have building isolation and
16 cooling and you have full high pressure injection actuation.

17 Q. And that came on automatically?

18 A. Yes, it did.

19 Q. Now, that was the second of the three automatic
20 actuations about which you testified?

21 A. Yes. That was two of the three, yes.

22 Q. And would you turn to page three.

23 Are there entries on that page which reflect the
24 automatic actuation of high pressure injection at full flow
25 about which you testified at some length right after the

1 accident started?

2 A. Yes, there is.

3 At 04:02:39 it shows that there was three of the
4 A channel followed by three of the B channel emergency high
5 pressure injection.

6 Q. Now, on the page where it says 04:02:41 2991 MUP
7 PSI motor status. What does that refer to?

8 A. 2991, okay.

9 Q. At 04:02:41?

10 A. That's a contact that monitors the C make-up
11 pump to determine whether the pump was tripped, running or
12 at normal. And here it printed out normal.

13 Q. Now, I would like to direct your attention to
14 page 31.

15 Now, you testified last week, did you not, about
16 three automatic full flow actuations and one manual full
17 flow actuation of HPI; is that correct?

18 A. Yes, that's right.

19 Q. Do you remember that there was only one full
20 flow manual actuation?

21 A. That is all that I recall, yes.

22 Q. Now, is there anything on page 31 which refers
23 to a full flow manual actuation of high pressure injection?

24 A. Yes.

25 At 07:20:22 it shows there the ES actuation, and

1 it goes through three of the A actuation groups and three
2 of the B actuation groups and it is characterized by tests
3 in the far right column. That signifies that the manual
4 push buttons were initiated.

5 On the other ones it had actuation indicating
6 that it had happened automatically.

7 Q. Now, I direct your attention to about 7:25, does
8 that indicate anything relating to the high pressure
9 injection?

10 A. Yes. It shows at 7:25:37 that the operator
11 there and a couple of other lines down that the operator
12 went to bypass on the ES actuation.

13 Q. As you have explained, bypass does not do
14 anything to the flow, it just enables you to shift from the
15 automatic to the manual; is that correct?

16 A. Right. It doesn't change any status of the
17 components. It allows operator action to then take manual
18 control.

19 Q. Now, would you turn, please, to 7:37.

20 Is there anything there in regard to the
21 operation of the high pressure injection system that's on
22 page 34.

23 A. I don't have page 34.

24 THE COURT: I don't have it either.

25 MR. KLINGSBERG: Is it missing from your Honor's

1 copy?

2 THE COURT: Yes.

3 Q. Why don't you just say what it says and then
4 we'll show the court the entry in your book.

5 A. You are asking if at 7:37 it shows any ES AS
6 input?

7 Q. Yes.

8 A. At 07:37:37 it shows the make-up pump 1-C motor
9 status as tripped, indicating that the pump at that point
10 was off.

11 Q. Would you show that to his Honor?

12 THE COURT: All right.

13 Q. Does that refer to the process which you have
14 referred to as throttling?

15 A. Yes, it is.

16 THE COURT: It is included?

17 THE WITNESS: It is included in that, yes, sir.

18 Q. It doesn't mean that the whole system was shut
19 off?

20 A. No, it doesn't.

21 The operator would first take control of the
22 valves and throttle them back and then when he had a flow
23 within the capability within one operating pump, then he
24 would secure the other pump and then throttle as he needed
25 to.

1 Q. Now, we've seen three automatic actuations of
2 HPI at full flow and one manual initiation of HPI at full
3 flow. Do you have any recollection of any additional
4 number of HPI actuations at full flow?

5 A. Not that I remember.

6 Q. Now, would you look, please, at page 29 of
7 Exhibit 2084?

8 A. I have that page.

9 Q. Is there an entry at 7:13 pertaining to the
10 pumps or a pump?

11 A. Yes, there is.

12 It shows that at 07:13:30 contact 2965 RCP 2-B
13 pump tripped, status trip.

14 Q. And what does that mean?

15 A. That means that at that time the 2-B pump was
16 tripped.

17 Q. Does that mean that you manually turned it off?

18 A. Yes. That day there were no automatic trips of
19 the reactor coolant pump so this was a manual trip.

20 Q. Now, just going back to page 25, 6:54, is there
21 an entry there pertaining to pumps, 6:54:38?

22 MR. FISKE: What's the time, I'm sorry?

23 MR. KLINGSBERG: 6:54:38.

24 A. Yes, it says that the contact 2965 RCP 2-B pump
25 tripped.

1 Q. Tripped normal?

2 A. Yes.

3 Q. What does that mean?

4 A. In relationship to the reactor coolant pump, I
5 don't remember exactly now when it says tripped and then
6 tripped or in this case if it says tripped and then normal,
7 I don't remember the differentiation between the two, as
8 far as the contact status monitor goes. I don't remember
9 when you get normal and when you get tripped in the N
10 status column. I don't remember.

11 Q. Do you remember you testified on Friday at 2132
12 of the transcript that you tried to start a reactor coolant
13 pump; do you remember that?

14 A. Yes.

15 Q. Did that occur at about this time, 6:54?

16 A. I don't remember the exact time but it was very
17 close to the declaration of the site emergency which
18 occurred about five minutes to seven. That would put this
19 timeframe pretty close, yes.

20 (Continued on next page)

21

22

23

24

25

1 Q. Would you turn to page 17.

2 A. I have that page.

3 Q. There are some entries there at 5:14:06, 5:14:19
4 and then again at 5:41 pertaining to RC pumps. Would you
5 explain those entries?

6 A. These are a sequence of event review from the
7 utility typer that the operators requested, and it shows
8 the status of the coolant pumps at 05:14:06 and 19, that
9 the 2-B and the 1-B pump were turned off, and it also shows
10 the status of the 2-A pump being off at that time.

11 Q. Was that the first time that you shut off the
12 pumps?

13 A. That would be around the time that I came back
14 to the control room and then secured the first group of
15 pumps, though the 2-A pump was not one that was secured.
16 The 1-B and the 2-B were. The status here of the 2-A at
17 this point I believe to be an error.

18 Then at 05:41:14 it shows that the 2-A and then
19 the 1-A pump were secured.

20 Q. Would you look, please, at Exhibit 2082, the
21 so-called Rogovin report, page 672.

22 A. I have that page.

23 Q. It refers there to 3 hours. Three hours would
24 be 7 o'clock?

25 A. Yes.

1 Q. Three hours into the accident --

2 MR. FISKE: Excuse me, your Honor. I think I am
3 going to object to the Rogovin sequence of events. GPU has
4 their own which has already been marked --

5 MR. KLINGSBERG: I am going to cover that too,
6 your Honor.

7 MR. FISKE: This is a government report, your
8 Honor. We don't know exactly what this is based on or what
9 information he had. There is a lot of information in here --
10 there is a heading that says "information available to
11 operators." There is another thing called "remarks."
12 There is another thing called "post-accident calculations" --

13 THE COURT: Why isn't this just a determination
14 of another tribunal that is based on the same evidence that
15 I am going to be required to assess? Why don't we go back
16 to basic evidence?

17 MR. KLINGSBERG: I am not referring to it for
18 that purpose, if your Honor please. Mr. Fiske has, for the
19 purpose of refreshing recollection and as a basis for
20 discussion, previously marked from this very report a
21 document or pages, and your Honor will recall I rose at the
22 time and pointed out whether that was appropriate.

23 THE COURT: Keep in mind that the two of you are
24 in different postures here. He is cross examining and can
25 use all kinds of things to cross examine, whereas you are

1 rather substantially limited on your direct examination.
2 So just because he has used something on cross examination
3 doesn't mean that it is available to you on direct.

4 MR. KLINGSBERG: I understand that, your Honor.
5 I am not putting this in at the moment to establish the
6 truth of these particular events referred to in these
7 documents, although I think being a government document we
8 certainly could do that, nor am I referring to any of the
9 other information there.

10 I am merely laying a foundation for some
11 examination that I want to conduct of the witness in regard
12 to his understanding of the GPU report.

13 MR. FISKE: Your Honor, if it is not going in
14 for the truth, there is no other purpose for it.

15 THE COURT: I don't understand the purpose of
16 this use at all.

17 MR. KLINGSBERG: It pertains, your Honor, to the
18 matter that we discussed at the outset of the session --

19 THE COURT: Do you want to step through that
20 door for a minute so we are talking not in your hearing?
21 Just close the door behind you.

22 (The witness left the courtroom.)

23 THE COURT: Now, what's all this about?

24 MR. KLINGSBERG: Your Honor, since we have the
25 documents, if I could summarize it for your Honor and me

1 on to the other exhibit, the Nuclear Regulatory Commission
2 special inquiry group has all of the HPI actuations which
3 we have previously shown from the computer report. The
4 NSAC Exhibit 2081 likewise contains the same thing. The
5 NUREG 0600, which is exhibit 2080, contains the same thing.

6 None of those refer to any 5:41 actuation. They
7 all conform to the computer data and to the fact that there
8 were three automatics and one manual at the times indicated
9 in the computer, as the witness has indicated.

10 I wanted to lay that as a foundation so that
11 your Honor would understand that when I came to the GPU
12 document which I want to ask the witness a few questions
13 about.

14 MR. FISKE: Your Honor, that is precisely why I
15 object to the use of these government reports. Apparently
16 what Mr. Klingsberg is trying to do by indirection is
17 suggest that because the HPI resumption at 5:40 does not
18 appear in the Rogovin report or in this NSAC report, that
19 somehow that is evidence that it didn't happen, and that is
20 precisely why I think those reports are inadmissible for
21 that purpose.

22 The GPU chronology, their own chronology,
23 specifically says that it did happen at 5:41. I might say
24 it also has -- the GPU chronology has three other three HPI
25 initiations in it and also has, based on what Mr. Zewe, Mr.

1 Frederick and Mr. Faust said right after the accident, also
2 has a fourth initiation at 5:40.

3 So it isn't a question of whether the 5:40 one
4 is somehow mistakenly confused with one of the others. The
5 GPU report has the others in it. This was an additional
6 HPI initiation, as Mr. Zewe, Mr. Frederick and Mr. Faust
7 told people at GPU and the ACRS right after the accident.

8 The GPU chronology which contains this HPI
9 initiation at 5:40 is based specifically on comments that
10 were made by Mr. Zewe, Mr. Frederick and Mr. Faust to the
11 people at GPU that were preparing that chronology. That's
12 why it is in there.

13 To try to somehow bring in some report from NSAC --
14 we don't know whether Mr. Zewe was interviewed by Mr. NSAC,
15 we don't know whether Mr. Frederick was interviewed by NSAC.
16 This is totally irrelevant, and I object to it very
17 strongly.

18 What they are trying to suggest is that the fact
19 that this initiation of HPI at 5:40 doesn't appear in the
20 NSAC report is somehow some sort of evidence that it didn't
21 happen. I think that's totally improper.

22 The best evidence is their own chronology, based
23 on what Zewe, Frederick and Faust told people at GPU
24 consistently in the early weeks after the accident about a
25 fourth initiation of HPI in addition to all the ones that

1 Mr. Zewe has been talking about here this morning.

2 THE COURT: And which he testified to Friday.

3 MR. FISKE: Yes.

4 MR. KLINGSBERG: No, your Honor. He testified
5 Friday that there were four all together, three automatic
6 and one manual. That conforms precisely to the computer
7 data, to the official United States government report of
8 the Nuclear Regulatory Commission and the analyses of the
9 other two bodies.

10 MR. FISKE: Your Honor, I might point out just
11 one thing that's important here. According to their
12 computer, there were, as Mr. Klingsberg says, three
13 automatics and one manual, all of which have been accounted
14 for. Their computer was out because of some kind of a
15 power failure from about 5:15 or 5:40, or whatever, to some
16 time after 6:30. So the computer was not functioning
17 during the time when Mr. Zewe, Mr. Frederick and Mr. Faust
18 said after the accident they had reinitiated HPI at 5:40.
19 So you cannot tell from the computer one way or the other
20 whether that happened at 5:40.

21 What Mr. Zewe, Mr. Frederick and Mr. Faust told
22 people at GPU and told the ACRS after the accident and
23 insisted be put in the Met Ed chronology, the GPU
24 chronology, was that in addition to all of the HPI
25 actuations, the three automatic and the one manual that are

1 referred to in the computer, there was another one at 5:40
2 when they initiated HPI at full.

3 Mr. Zewe even described the details. He said
4 there was a countdown, one operator counted down and when
5 one operator hit the reactor coolant pumps, the other
6 operator turned on the HPI. That was the detail with which
7 they were describing this event within a few weeks after
8 the accident back in 1979.

9 Now Mr. Zewe is coming in here today three and a
10 half years later and saying he doesn't remember when that
11 occurred, and they are trying to go through these computer
12 run automatic actuations, none of which have anything to do
13 with this. We don't disagree that all of those actuations
14 took place. That's not the issue.

15 The point is, right after the accident Mr. Zewe
16 said there was an additional one in addition to all the
17 ones that are in the computer, and so did Mr. Frederick and
18 so did Mr. Faust.

19 The government reports are totally meaningless
20 with respect to that because they are all based on the
21 computer data, and the computer was out during the period
22 of time when this happened.

23 MR. KLINGSBERG: Your Honor, the purpose of my
24 having the lengthy discussion which we had at the beginning
25 of the last session was to try to avoid this kind of

1 premature summation of the evidence. Mr. Zewe testified on
2 Friday --

3 THE COURT: The problem is that you are offering
4 the reports of other inquiring bodies as affirmative
5 evidence of the fact that something didn't exist. That's
6 really what it amounts to. Isn't that what it boils down
7 to?

8 MR. KLINGSBERG: I said to your Honor that I
9 wanted to use those as background for the questions which I
10 wanted to ask the witness in relation to the GPU report.

11 The witness testified on Friday in no uncertain
12 terms that according to his recollection, untarnished by
13 any documents or uninfluenced by any documents, there was
14 one manual HPI actuation, that that occurred some time
15 after 5:41 and before 8 o'clock, he didn't remember exactly
16 the time. The computer places that time unequivocally at
17 7:20. The witness has said that he doesn't recall any
18 others.

19 The witness testified earlier that some time
20 after 5:40 there was an actuation, and he couldn't
21 recollect the time.

22 I am merely trying to establish by way of
23 background, which I thought I had permission from your
24 Honor to do, in a couple of questions without any detail,
25 which we can come back to on redirect what the context was

1 of the post-accident analyses by the four leading bodies
2 who did this -- I want your Honor to understand that three
3 of those bodies, including the United States government,
4 found, just as the witness testified Friday --

5 THE COURT: No, let's not use the words "United
6 States government." These are people, just like you and me,
7 who were convened to inquire.

8 MR. KLINGSBERG: I understand that. With that I
9 am prepared to pass on now to get the witness' testimony in
10 regard to the GPU document in which he participated, so I
11 think we have passed the point of the objection and we can
12 move on.

13 THE COURT: You gentlemen have lived with these
14 facts in preparation for this case for a long, long time,
15 but my memory was that by tying it in to surrounding events,
16 this witness put this injection some substantial time prior
17 to 6:18.

18 MR. KLINGSBERG: No.

19 THE COURT: He said it was after they had turned
20 off the pumps, the reactor coolant pumps and --

21 MR. KLINGSBERG: That's not accurate, your Honor.

22 THE COURT: This is page 2121: "The time period
23 I mentioned -- I am not sure when the exact time period was.
24 Some time after the pumps were tripped." And going up the
25 page a little bit, "Prior to dispatching an operator to

1 secure the diesels."

2 I gathered from what he was saying, all of that
3 was clearly before the block valve was closed.

4 MR. KLINGSBERG: No, your Honor, that was not
5 the testimony on Friday.

6 THE COURT: Look at 2121. "I recall that after
7 we initiated it manually, we dispatched an operator there
8 to secure the diesels." Wasn't that pre-6:18 in the
9 morning?

10 MR. KLINGSBERG: No, your Honor. I asked him,
11 "Is there any" -- page 2122, line 10:

12 "Is there any far end of the range?"

13 And he said, "Before the auto actuation which
14 occurred later that morning." That was at 8 o'clock, 5 of
15 8.

16 I said, "The auto actuation occurred after the
17 block valve was closed in time, did it not?"

18 "A. Yes, it did.

19 "Q. Do you know when the block valve was
20 closed?

21 "A. Yes, I do.

22 "Q. What time was that?

23 "A. About 20 after 6.

24 "Q. What time was the auto actuation that you
25 referred to?"

1 THE COURT: The third time the HPI came on.

2 MR. KLINGSBERG: Yes. He said the second one
3 was some time between the time of the securing of the pumps
4 and the time of the third HPI actuation, which was at about
5 8 o'clock. He testifies to that on the next page. So that
6 just based on his recollection, the time period that he
7 testified to on Friday was some time between 5:40 and 8
8 o'clock.

9 THE COURT: Is the first one after the closing
10 of the block valve the one at 8?

11 MR. KLINGSBERG: No, there is one at 7:20 which
12 was the manual actuation, and he only remembers one manual
13 actuation. He was very clear, he remembers four altogether,
14 three automatic at full flow and one manual at full flow,
15 and that the manual, just based on his uninfluenced
16 recollection, was between 5:40 and 8 o'clock. The computer
17 shows that it was at 7:20.

18 MR. FISKE: Your Honor, our point is, if you
19 look at their own document which they have marked here as
20 GPU Exhibit 2079, if you look at page 41 at the bottom,
21 this is their chronology, it says, "1:41," that's exactly
22 the time the reactor coolant pumps were turned off, it says
23 "the operator manually initiated the safety injection
24 portions of engineered safety features plans A and B to
25 supply additional cooling water to the reactor core."

1 That's their chronology, Exhibit 2079.

2 THE COURT: Mr. Klingsberg, however you get to
3 it, and this testimony in the rereading is obviously a
4 little more amorphous than I had assessed it listening to
5 it Friday, but however you get to it, whether his testimony
6 was so vague as to the time of the manual commencement of
7 HPI as to arguably be before or after closing the block
8 valve, it does seem to me that it is wholly inappropriate
9 for me to consider as evidence of any kind the fact that
10 other investigative bodies may have omitted a reference to
11 ics institution in their reports. I don't see how I can
12 consider that as affirmative evidence.

13 It seems to me I have got to go on the testimony
14 of the witness, on the records of your client and such
15 other pieces of evidentiary material as may be submitted to
16 me. But the fact that other investigative bodies may not
17 have mentioned that doesn't seem to me as something I can
18 consider as affirmative proof of the fact that it didn't
19 happen, background or otherwise.

20 So I will sustain the objection.

21 (The witness reentered the courtroom.)

22 BY MR. KLINGSBERG:

23 Q. Mr. Zewe, I just want to review a couple of
24 parts of your testimony before we go onto the next exhibit.
25 Do I understand your testimony that you recall four full

1 flow HPI actuations?

2 A. Yes.

3 Q. And three of those were automatic?

4 A. Yes.

5 Q. And one of them, which was the second one, was
6 manual?

7 A. Yes.

8 Q. You have identified one manual actuation at full
9 flow in the computer data at 20 minutes after 7.

10 A. Yes, sir.

11 Q. And the block valve was closed some time earlier,
12 was it not?

13 A. 20 after 6.

14 Q. There was also in the computer the shutting down
15 of a pump at 7:13. Do you recall that?

16 A. Yes, the 2-B pump was shut down.

17 Q. And there was also shutting down of pumps at 5:41,
18 is that correct?

19 A. Yes.

20 Q. When I say pumps, the 5:41 and the 7:13, I mean
21 the reactor coolant pumps. You understood that?

22 A. Yes, I did.

23 Q. In your testimony on Friday without the computer
24 data in front of you, from your recollection, just thinking
25 back to the day of the accident without reference to the

1 computer information, what was the period of time during
2 which you were able to place the manual actuation, the one
3 manual actuation of full HPI that you recollected?

4 A. Some time after the last two pumps were tripped
5 and the declaration of the general emergency.

6 Q. Would you look at Exhibit 2079? That's the GPU
7 nuclear technical data report.

8 A. I have that document.

9 Q. Would you look at page 60, 7:24. Is that the
10 general emergency declaration that you just referred to in
11 your last answer?

12 A. Yes, it was.

13 Q. Who declared that?

14 A. Mr. Miller.

15 Q. Would you look at page 75. Do you see the entry
16 for 5:41?

17 A. Yes, I do.

18 Q. One second, I think I have the wrong page. I am
19 sorry. Withdrawn.

20 Page 41. In the retrieval of the computer data,
21 was there a period of time of which you are aware when the
22 computer was not recording or that it had recorded and the
23 recording had been lost?

24 A. There was a period that morning when the alarm
25 printout was stopped and was reinitialized at a later time.

1 The computer paper became jammed and it was backlogged, and
2 in the course of trying to repair the paper and to get back
3 the real time data, there was a time frame that that
4 information was suppressed from the alarm printer.

5 Q. Do you know approximately what period of time
6 that encompassed?

7 A. I know now in retrospect, but that day I don't
8 recall exactly when that time period was.

9 Q. Can you tell us from what you know now?

10 A. As I recall, somewhere around 6:10 to -- I am
11 sorry, 5:10 to 6:15, or in that neighborhood of time.

12 Q. Would you look at the entry for 5:41 --

13 THE COURT: Page 21 where it skips from 5:14 to
14 6:48, is that what you are talking about?

15 THE WITNESS: Page 21? It references --

16 THE COURT: It goes from 5:14 to 6:48. That's
17 the period you are talking about?

18 THE WITNESS: Yes, it is.

19 Q. The reference is at 5:41 to the stopping of the
20 reactor coolant pumps, is that correct?

21 A. Yes, it references the stopping of the 2-A and
22 the 1-A pump.

23 Q. Then after that there is a reference to an
24 operator initiation of safety injection, do you see that?

25 A. Yes, I do.

1 Q. Did you play any part in the indication of the
2 initiation of safety injection at that point in time?

3 A. Yes, I did.

4 Q. Would you tell the Court what part you played
5 and the basis of your contribution?

6 A. There were several discussions after the day of
7 the accident with various groups, among the operators,
8 ourselves and with various groups, and we could all recall
9 that we initiated manual high pressure injection, but I for
10 one could not remember exactly when it happened.

11 One of the operators, as I recall, was pretty
12 sure of the exact time.

13 MR. FISKE: Your Honor, at this point I object.

14 THE COURT: Sustained.

15 MR. KLINGSBERG: This is all going to come out,
16 your Honor --

17 THE COURT: I know it is, but it has got to come
18 out in normal order. Is there any reason it shouldn't come
19 out in normal order?

20 Step up to the side bar.

21 (At the side bar.)

22 THE COURT: Mr. Fiske is right about this. He
23 doesn't want this witness to be bringing in all sorts of
24 what is on direct examination hearsay, what he is told by
25 other people. You have got to live with that. Mr. Fiske

1 doesn't, but if he asks those questions, he may not want to,
2 he is bound by the answers. That is the risk he takes as a
3 cross examiner.

4 On direct examination it seems to me he takes a
5 justifiable position that these ruminations of other people
6 that are input to him are inadmissible.

7 MR. KLINGSBERG: Perhaps I misunderstood. It
8 was my impression, based on our in chambers discussion,
9 that your Honor would permit some limited exploration of
10 this on direct. If your Honor prefers that I do it on
11 redirect, I will abide --

12 THE COURT: I kept myself flexible because I
13 appreciated what your problem was. I think I said at the
14 time that one way or another it was all going to be laid
15 out. I think it preferable to do this in the orderly
16 course of trial procedure, so I sustain the objection.

17 (Continued on next page.)

18

19

20

21

22

23

24

25

1 ((In open court).

2 Q. Mr. --

3 THE COURT: Do you have much more of this
4 witness?

5 MR. KLINGSBERG: Of this witness?

6 THE COURT: Yes.

7 MR. KLINGSBERG: Yes.

8 THE COURT: Like how much?

9 MR. KLINGSBERG: Oh, an hour and a half.

10 THE COURT: Well, we'll take our morning recess
11 at this point.

12 (Recess)

13 (Open court)

14 DIRECT EXAMINATION (Cont'd)

15 BY MR. KLINGSBERG:

16 Q. Mr. Zewe, we've just looked at Exhibit 2079, the
17 GPU Technical Data Report, at what happened at about 5:40
18 as listed there.

19 Would you look at page 55, please?

20 A. I have that page.

21 Q. At the bottom of the page, 7:13, that refers to
22 stopping a reactor coolant pump. Do you see that?

23 A. Yes, sir.

24 Q. And then on page 57, there is a reference at the
25 top at 7:20 to the operator manually initiating the safety

1 injection portions.

2 Do you see that?

3 A. Yes, I see that.

4 Q. Having reviewed those entries, does that change
5 your testimony in any way that there was only one manual
6 actuation of HPI during that period of time?

7 A. That morning I only remember ordering one manual
8 actuation.

9 Q. Now, in the computer report at page 81 -- 31,
10 I'm sorry, 32, 33 and 34, which we've just reviewed -- the
11 indication is that the HPI was manually actuated at 7:20,
12 the bypass was at 7:25:37 at page 32; is that correct?

13 A. That's where it was first started to bypass the
14 one group of the A channel, yes.

15 Q. And then that continued through -- that activity
16 continued through about 7:27?

17 A. The bypass you are referring to?

18 Q. Yes.

19 A. It should have concluded --

20 Q. I'm sorry, at 7:25.

21 A. At 07:25:39.

22 Q. And then at 7:27 was manually reset?

23 A. Yes.

24 Q. And at 7:33 on the next page, on page 33,

25 07:33:05 there was -- what does that indicate, P level RC

1 pressurizer level; that a high level pressurizer alarm?

2 A. What timeframe was that?

3 Q. Page 33, 07:33:05.

4 A. That was a high level alarm.

5 Q. Yes. High level in the pressurizer?

6 A. Yes, that is true.

7 Q. And then at 7:37 on page 34 was the --

8 A. I don't have that page again, sir.

9 Q. That was where the C pump was finally tripped
10 which you have pointed out?

11 A. Yes, sir.

12 Q. So that, according to this document, at the time
13 from the time of the manual actuation at 7:20 to the
14 tripping of the C pump at 7:37, it is up to 17 minutes, is
15 that correct?

16 A. Yes.

17 Q. Now, you testified on Friday that when there was
18 the manual actuation of the HPI during the course of the
19 morning that it was on for a considerable period of time;
20 do you remember that? You testified to that.

21 A. Yes, I did. That the --

22 Q. Did you want to say something?

23 A. Just that it may not have been at full thousand
24 gallons per minute but it was on, meaning, at some throttle
25 flow rates of substantially above which we had had prior to

1 that.

2 Q. Now, does the 17-minute period, which is
3 indicated in the computer, accord with your recollection of
4 the "considerable period of time"?

5 MR. FISKE: I will object to this, your Honor.

6 THE COURT: Sustained.

7 Q. Can you be any more precise than the
8 "considerable period of time"?

9 MR. FISKE: Your Honor, I think all that's going
10 on here is that Mr. Klingsberg has been reading from a lot
11 of computer alarms that Mr. Zewe didn't even look at during
12 the accident.

13 THE COURT: Sustained.

14 What's your best recollection as to how long it
15 was on?

16 THE WITNESS: I don't recall how long except
17 that it was not for two or three or five minutes. I would
18 say somewhere greater than ten minutes but I don't really
19 know whether it was a half hour or 20 minutes, I really
20 don't recall.

21 Q. Now, I'd like to show you what has been
22 previously marked as Exhibit 78 and 79.

23 Referring to the second page of Exhibit 78 and
24 to item number 2 on Exhibit 79, would you take a moment,
25 please, to read that.

1 Prior to the Three Mile Island accident, had you
2 ever received instructions regarding the operation of the
3 high pressure injection system as reflected in these two
4 documents?

5 A. No, sir.

6 Q. What actions, if any, would you have taken on
7 the morning of March 28 if you had had the instructions
8 reflected in these exhibits pertaining to the high pressure
9 injection system?

10 MR. FISKE: I object to that, your Honor. It is
11 totally hypothetical.

12 MR. KLINGSBERG: No it isn't. This is the way
13 we prove proximate cause.

14 THE COURT: Well, I think I'll permit him to
15 testify to it. I'll overrule the objection.

16 Q. Do you want the question read back?

17 THE COURT: What would you have done -- the
18 question is -- if you had this before you?

19 Q. On the morning of March 28, if you had had these
20 instructions before you, what would you have done?

21 A. I would have followed the instructions.

22 Q. And done what?

23 A. Well, the morning of the accident the
24 instructions then were to look at pressurizer level as your
25 indicator for throttling high pressure injection.

1 These are clearly much different and they
2 reflect certain definite criteria that you must have before
3 you can throttle the high pressure injection.

4 And in the course of the event and the following
5 days' instructions, I would have left high pressure
6 injection on until I met the criteria put forth here.

7 Q. Now, during your testimony last week you
8 mentioned a large volume of control room alarms which
9 actuated on the morning of March 28.

10 Prior to the Three Mile Island accident, were
11 you aware of any work that was in progress regarding the
12 control room alarms at unit number 2?

13 A. Yes, I was.

14 There were several discussions on the alarms in
15 Unit 2 from the point that there were a lot of alarms that
16 were in error and that there were others that were nuisance
17 alarms and those that were of no value whatsoever to the
18 operator in operating the plant.

19 So there was a review process that was under way
20 a few months before the accident that involved several
21 people. The I&C department, engineering and at least two
22 engineers that were working on reviewing the alarms and
23 trying to eliminate the problems that the alarms had,
24 either they were at the wrong set point, they weren't
25 meaningful or they occurred when they should not have.

1 That started, I believe, in or around November of 78 and,
2 as I recall it, they were able to reduce the normal alarms
3 that we had while operating from over 100 to about 55
4 alarms at the time of the accident.

5 Q. Did the operators perform any surveillance work
6 in connection with this effort in addition to what the
7 engineers were doing?

8 A. Yes.

9 We had a weekly surveillance procedure that the
10 control room operator would perform whereby he would look
11 at each and every alarm that we had, write them down and
12 indicate what the reason was for each of the alarms.

13 Then from a day-to-day standpoint, we would
14 review those alarms to compare them with any new alarms and
15 to see how the status on the alarms were changing.

16 MR. KLINGSBERG: I would like to mark as Exhibit
17 2067 for identification a handwritten document.

18 Q. Did you identify Exhibit 2067?

19 A. Yes.

20 It is a copy of a letter to Ivan Porter from Jim
21 Seelinger concerning some work that my shift and I had done
22 in relationship to the tech spec alarm review for the
23 overhead enunciators in Unit 2.

24 (Continued on next page)

25

1 Q. Does it contain any of your writing?

2 A. Yes, it does. On the last six pages my
3 handwriting appears to the right of the various alarm
4 procedure points.

5 Q. What do those initialed items represent? Not in
6 detail, but what were you purporting to do by signing off?

7 A. Mr. Scheimann had researched all the alarms and
8 he had written down all the various parameters that
9 surrounded that alarm, what it said, what it is in the
10 alarm response book, what the instrument book is, and
11 everything else, and he wrote it down for my review and
12 comments.

13 I reviewed them and marked down those ones which
14 I felt were correct as they were and the other ones that
15 needed to have some further work I so indicated.

16 MR. KLINGSBERG: I offer GPU 2067 in evidence.

17 MR. FISKE: What's the purpose of this offer?

18 MR. KLINGSBERG: The purpose of the offer is,
19 this is a regular business record of the company and shows
20 that they had made an effort well in advance of the Three
21 Mile Island accident to deal with the --

22 THE COURT: Is there anything charged to legally
23 flow from this exhibit or its subject? That's what Mr.
24 Fiske is asking. Because, if not, he has an objection to
25 relevance.

1 MR. KLINGSBERG: Yes, it is to demonstrate that
2 the company was careful in having the appropriate number of
3 alarms prior to the day of the accident and to have
4 eliminated any unnecessary alarms.

5 MR. FISKE: Your Honor, I think this is of
6 marginal probative value to say the least, but I don't
7 object to it as a business record. If your Honor wants to
8 receive it, I don't really care.

9 THE COURT: I will receive it.

10 (Plaintiffs' Exhibit 2067 for identification was
11 received in evidence.)

12 Q. Prior to the Three Mile Island accident, Mr.
13 Zewe, what information did you receive about operating
14 experience on TMI units numbers 1 and 2?

15 A. There were several ways that I gained such
16 information. One of them was that in our course of recycle
17 training, various events would be brought up as part of
18 that requal training program. Another one was station
19 superintendent memos from Mr. Miller; operation memos from
20 Mr. Floyd.

21 There were licensee event reports which were
22 distributed in the control room of Unit-2 and maintained
23 for operator review, and there was a Unit-1 correspondence
24 Unit-2 applicability book which I maintained on all
25 information that went to Unit-2 that Mr. Seelinger reviewed,

1 and then he would direct it to one of the department
2 personnel in Unit-2 to put out to that particular
3 department, and I was that contact in Unit-2.

4 Q. Did you have any procedure in regard to the
5 operators under your supervision concerning a review of
6 that information?

7 A. Which information, sir?

8 Q. The correspondence book.

9 A. Applicability?

10 Q. Yes, applicability.

11 A. Oh, I see. Yes, I had a logbook that I
12 maintained on each of the events that was given to me for
13 Mr. Seelinger, and I would then xerox it off and route it
14 to all of the shifts, and I had an attached sign-off for
15 each of the items once it was reviewed by the -- all the
16 shifts. Then it would be returned to me and I filed this
17 to make sure that all the correspondence had been seen by
18 all the licensed operators.

19 Q. What is a superintendent event report?

20 A. It is a report that Mr. Miller instituted that
21 would cover all of the events that were not reportable
22 under another document, one that was more of an
23 informational tool used by the plant. If it was covered by
24 a reactor trip report or an LER or some other type of
25 formal correspondence, this would not apply. But this

1 would apply in case there were no others, but yet the event
2 was worthy of note.

3 Q. What is a reactor trip report?

4 A. These are reports that are compiled after a
5 reactor trip that goes in to what caused it, indications,
6 actions, recommendations, it would include charts and
7 graphs, any other information that was related to the event,
8 and then these were made available also to all of the
9 operators to review and to obtain whatever information and
10 guidance and training that they could gain from it.

11 Q. Prior to the Three Mile Island accident when you
12 went to B & W for simulator sessions in Lynchburg, did you
13 receive any instructions relating to transients which had
14 occurred either at Three Mile Island or other B & W nuclear
15 plants?

16 A. As I recall, there were times when events at
17 other plants were covered at Lynchburg. They had I believe
18 it was a weekly plant newsletter that they had available at
19 the simulator that would cover all the B & W plants for the
20 previous week, and they would indicate if they were
21 operating at power or if they were refueling, if they had
22 any trips or any other events.

23 Also, if there was any transients that occurred
24 at other B & W plants that they could show us there, they
25 did that. That was, though, on an infrequent basis.

1 Q. Did there come a time in or about late 1978 or
2 early 1979 when one of the valves at the top of the
3 pressurizer on Unit-2 was identified as having some leakage?

4 A. Yes, sir.

5 Q. Which one of the valves was that?

6 A. As I recall, we suspected that one of the code
7 safety valves was leaking by.

8 Q. How did that leakage compare with your technical
9 specification limits?

10 A. It was clearly within our leakage specification
11 for identified leakage of 10 gallons a minute. The valve
12 was monitored every day and it was also discussed at outage
13 planning meetings, and I know that it was planned that at
14 the next outage opportunity that the valve would be
15 repaired.

16 MR. FISKE: Your Honor, I just want to be clear,
17 is Mr. Zewe testifying that that is something that he
18 participated in before the accident?

19 THE COURT: Put that to him.

20 Q. Can you answer that question?

21 A. Yes, it was, because the outage planning
22 meetings, I received a copy of the planning meetings and I
23 attended them when I was there on the relief shift and on
24 the day shift, and I was aware of it, yes.

25 Q. When you say that this identified leakage from

1 the code safety valve was less than the permissible limit
2 or within the specification of 10 gallons per minute, did
3 that mean that you were allowed under the specification to
4 operate the plant?

5 MR. FISKE: Your Honor, I object. The question
6 is a little leading.

7 MR. KLINGSBERG: All right, I will reframe it.
8 I am trying to move along a little faster.

9 Q. Can you tell us what is meant by the leakage
10 from this code safety valve being within the specification
11 of 10 gallons per minute?

12 A. Our unit technical specifications specified the
13 amount of leakage that could exist from the primary under
14 varying conditions. One of those is identified leakage
15 from the reactor coolant system itself, and since we were
16 aware that the code safety valve was leaking by from the
17 indications we had, we would do in the normal course of our
18 surveillance every day and even shiftly -- we would
19 calculate what our leakage was from the reactor coolant
20 system, and at all times we were well within that leakage
21 spec.

22 Q. What did that mean to you in terms of what you
23 did about it and when?

24 A. What it meant was that we would continue to
25 monitor it and that as long as we were within our technical

1 specifications and our procedures, that we would continue
2 to operate?

3 A. What led you to suspect that it was the code
4 safety valve at the top of the pressurizer that was leaking
5 by?

6 A. The data on the tailpipe temperatures indicated
7 that the code safety valves were at higher temperatures.

8 Q. Higher than what?

9 A. Higher than the PORV. You only have three
10 tailpipe temperatures. You have two code safeties and one
11 PORV. So you can only compare one against the other two.

12 Q. What is a morning report?

13 A. Morning report is a status sheet of Unit-1 and
14 Unit-2 that the 11 to 7 shift supervisor would fill out.
15 It would have the operational type data of power level,
16 temperature, pressure, leakage rates, anything that was
17 going on in the way of maintenance work or surveillance
18 work, and anything that would relate to upper management
19 what the status was of Unit-1 or Unit-2.

20 This would then be submitted by that shift
21 supervisor to the distribution list there at the site and
22 also to our main office in Reading.

23 Q. Did the B & W site representative regularly get
24 a copy of that?

25 A. As I recall, I did, yes.

1 Q. Had you previously had any experience on Unit-1
2 relating to discharge temperatures and possible leaking
3 valves at the top of the pressurizer?

4 A. Yes, I had.

5 Q. What was that experience?

6 A. Throughout most of the initial cycling of Unit-1,
7 we had a leaky relief valve at the top of the pressurizer
8 which resulted in monitoring the tailpipe temperature on a
9 daily basis and also keeping the reactor coolant drain tank
10 cool with inventory low throughout that cycle.

11 Q. We previously marked Exhibit 2064, which is the
12 Unit-2 technical specifications. I direct your attention
13 to pages 4-15 and ask you if that contains the 10 GPM
14 identified leakage standard to which you just referred in
15 your testimony?

16 A. Yes, it does. It is item D under 3.4.6.2, "10
17 gallons per minute identified leakage from the reactor
18 coolant system."

19 Q. In addition to Met Ed personnel and Babcock &
20 Wilcox, was the NRC also aware of the leakage from the
21 valve in the pressurizer?

22 MR. FISKE: Your Honor, I am going to object to
23 this. First of all, I object to it as a matter of
24 competence, unless Mr. Zewe was personally present when
25 someone from the NRC was informed. Secondly, even if that

1 happened, I would like to know what the relevance is of the
2 fact --

3 THE COURT: I will sustain it on the former
4 ground at this point.

5 Q. Mr. Zewe, do you have any knowledge of whether
6 the NRC was informed of the pressurizer valve leakage?

7 MR. FISKE: I object to that, your Honor --

8 THE COURT: That gets us back to the hearsay
9 argument. I will sustain that.

10 Q. Mr. Zewe, do you have any knowledge as to
11 whether or not Metropolitan Edison -- personal knowledge --
12 whether Metropolitan Edison made known to the NRC whether
13 or not this pressurizer valve was leaking in this period of
14 time?

15 MR. FISKE: Same objection, your Honor.

16 THE COURT: Let's go at this in a different way.
17 Did you participate in any notification to the NRC about
18 this fact?

19 THE WITNESS: I did not, your Honor.

20 Q. Did the NRC have plant inspectors during this
21 period of time inspecting the plant?

22 MR. FISKE: Your Honor, I object to that. If
23 someone told the NRC, let's bring the person in.

24 THE COURT: Sustained.

25 Q. What is a plan of the day meeting?

1 A. That was a meeting at around 9 a.m. each day
2 where the shift supervisor, the unit superintendent, unit
3 superintendent technical support, the maintenance
4 supervisor, B & W site personnel and other people were
5 involved in a meeting to discuss the daily plans for Unit-2
6 on a day-to-day basis and also for long time scheduled work.

7 Q. Was the leaky code safety valve ever discussed
8 at these meetings?

9 A. Yes, they were.

10 Q. Did Mr. Rogers attend these meetings regularly?

11 A. As I recall, he did, though I would only attend
12 if I was on the day shift or if I was on the relief crew.
13 So I used to attend two weeks out of six.

14 MR. FISKE: Your Honor, I object to any of this
15 unless Mr. Zewe was present at a meeting where Mr. Rogers
16 was present where this subject was discussed.

17 MR. KLINGSBERG: I'd like to mark as Exhibit
18 2086 a document entitled "Unit-2 no-name outage list."

19 Q. Can you identify this document, Mr. Zewe?

20 A. Yes, sir. It is a copy of the Unit-2 no-name
21 outage list dated 2/9/79, revision 1.

22 Q. Indicated in the lefthand column, among others,
23 it says "shift supervisors." Does that include you?

24 A. Yes.

25 Q. Did you receive documents like this in the

1 regular course of business?

2 A. Yes, I did.

3 Q. Can you tell the Court what is a no-name outage
4 list?

5 A. This is a list of work requests on plant
6 equipment that required a plant outage in order to perform
7 them. So we routinely would keep a list for all the
8 departments on items that they needed to have ready to be
9 worked on. If in fact the plant would undergo an outage or
10 a trip for whatever reason, we could then institute repairs
11 on the items that were ready to be worked, that we had
12 parts and procedures for, and what have you.

13 Q. Why is it called no-name?

14 A. These were items that needed to be repaired at
15 some time, but it did not mean that the plant had to be
16 shut down in order to perform them. So we would not shut
17 down just to perform any of these items. If we were forced
18 to shut down, say, for instance, if we had a failure of one
19 or both of the main feed pumps that caused us to go down,
20 it would then be called main feed pump outage, and then
21 these items then would be worked as time and parts
22 submitted during that outage. It would receive a name once
23 we did shut down based on whatever it was that caused us to
24 shut down.

25 Q. Could you turn to page 6, which is the next to

1 last page. Do you see the reference there to "M18C-1137R-R-1-A
2 leaks by"?

3 A. Yes, I do.

4 Q. Would you explain that entry?

5 A. That indicates that there was a maintenance work
6 request with that number that identified that the one code
7 safety valve was to be worked on because it leaked by.

8 Q. On the first page of this document it has the
9 list in the lefthand corner. Is there any reference there
10 to anybody from Babcock & Wilcox?

11 A. I am sorry, would you repeat --

12 Q. On the first page here, the cover.

13 A. Yes, there is. There is initials there for Lee
14 Rogers of B & W.

15 MR. KLINGSBERG: I offer Exhibit 2086 in
16 evidence.

17 MR. FISKE: Your Honor, I am going to object. I
18 don't know whether this was ever sent to Mr. Rogers or not.
19 I have no doubt that the "LR" refers to him --

20 MR. KLINGSBERG: That's not the only purpose of
21 the offer, your Honor. It is a regular business record.
22 It shows that the code safety was leaking. The witness has
23 testified that he received copies. Mr. Rogers is indicated
24 for a copy, which is some circumstantial evidence. He is
25 going to be a witness. If he wants to testify he never got

1 a copy, he can do so.

2 THE COURT: No, no, it is the other way around.
3 You have the burden, it seems to me --

4 MR. KLINGSBERG: My point is not simply to prove
5 that Mr. Rogers got a copy. We are also proving that there
6 was a leaky code safety valve --

7 THE COURT: I will permit it as a regular
8 business entry, but at this point I do not have evidence
9 from the cover sheet here that Mr. Rogers in fact got a
10 copy.

11 Q. Was it the practice of the company, Mr. Zewe, to
12 send copies to people who were indicated?

13 MR. FISKE: I object to that, your Honor.

14 THE COURT: Yes, sustained. He is not in the
15 mail department.

16 MR. KLINGSBERG: I'd like to now mark as Exhibit
17 2072 a work ticket for the code safety valve.

18 Q. Mr. Zewe, are you familiar with the form of
19 Exhibit 2072?

20 A. Yes, sir.

21 Q. Would you tell the Court what it is?

22 A. It is a standard work request job ticket form
23 whereby whoever found an item that needed to be repaired,
24 he would fill out the proper blocks and indicate the
25 problem, and then he would sign it that he was the one, and

1 that would be reviewed by his superiors, and it would then
2 go to the maintenance planning group where they would
3 schedule the maintenance to be repaired.

4 MR. KLINGSBERG: I offer Exhibit 2072 in
5 evidence.

6 MR. FISKE: Your Honor, I object to this, unless
7 Mr. Zewe saw this before the accident.

8 MR. KLINGSBERG: It is a regular business record.
9 If you want me to call Mr. Showalter to identify it --

10 THE COURT: I will permit it.

11 MR. FISK: I would like to know, maybe we could
12 clear that up, if Mr. Zewe did in fact see this before the
13 accident. I don't object to the document itself as a
14 business record.

15 MR. KLINGSBERG: Okay.

16 THE COURT: It will be received.

17 (Plaintiffs' Exhibit 2072 for identification was
18 received in evidence.)

19 Q. I'd like to now refer you to an event in the
20 fall of 1977. What was the operational status of TMI
21 Unit-2 at that time?

22 A. We were involved in hot functional testing.

23 Q. What is hot functional testing?

24 A. That's the point of testing whereby the primary
25 plant is heated up and all the components that could be

1 checked out prior to fuel load are tested and accepted and
2 any problems that are noted are repaired.

3 Q. What was your involvement in the hot functional
4 testing program on Unit-2?

5 A. As a station shift supervisor, I was involved in
6 the day by day, minute by minute activities of the hot
7 functional testing program.

8 Q. Did you have any conversations with Mr. Rogers
9 in the course of the hot functional testing program?

10 A. Yes, I did. There were a lot of different
11 groups and people that were involved in the hot functional
12 testing program. We had a GPU group of hot functional test
13 engineers, Met Ed engineers, operators, and that included B
14 & W personnel, which included Mr. Rogers.

15 Q. During hot functional testing, how, if at all,
16 were operating or emergency procedures utilized to run the
17 plant?

18 A. During hot functional testing the controlling
19 procedure was a hot functional test procedure which
20 referenced our various procedures, our operating procedures
21 as well as our emergency procedures, and they were modified,
22 but we still followed our existing procedures, which
23 included the emergency procedures within the scope of the
24 hot functional testing procedure.

25

1 Q. I'd like to show you a document which we'll mark
2 as Exhibit 2070 which is a memorandum from Mr. Brummer to
3 Mr. Miller and Mr. Seelinger with a copy to the shift
4 supervisor dated November 14, 1977.

5 Can you identify this document?

6 A. Yes.

7 It is a document to Mr. Miller and Mr. Seelinger
8 from Mr. Ross and Mr. Brummer concerning the water in the
9 instrument air lines with regard to the condensate
10 polishers.

11 Q. What is the reference to "Zewe" in the upper
12 right-hand corner?

13 A. It is a reference to me.

14 Q. Did you receive this document in or about
15 November 1977 in the regular course of business?

16 A. Yes, I did.

17 MR. KLINGSBERG: I offer Exhibit 2070 in
18 evidence.

19 MR. FISKE: No objection.

20 (Plaintiff's Exhibit 2070 for identification was
21 received in evidence)

22 Q. Who is Mr. -- who are Messrs. Ross and Brummer?

23 A. Mr. Ross was a station shift supervisor at the
24 time and Mr. Brummer was the lead in I & C engineering.

25 Q. What did you understand was the purpose of this

1 memo when you received it?

2 A. My understanding was that they were assigned to
3 investigate the water that was in the instrument air lines
4 of the condensate polisher and to recommend what should be
5 done to try to alleviate that condition.

6 Q. Did you have discussions with Messrs. Ross and
7 Brummer in regard to their recommendations?

8 A. Yes, I did.

9 Q. Where in the memo were the recommendations
10 indicated?

11 A. Their conclusions are on page two. There are
12 eight of them listed. As a matter of fact, there are nine.
13 There is another one on the next page.

14 Q. What actions, if any, were taken on those
15 recommendations?

16 MR. FISKE: At what time?

17 MR. KLINGSBERG: After the recommendations were
18 made and before the Three Mile Island.

19 A. As I recall, all of them were accomplished or in
20 progress at the time.

21 Q. In your view, did the actions taken on the basis
22 of the recommendations completely solve the problem of
23 water in the instrument air lines affecting the condensate
24 polishers?

25 MR. FISKE: At what point in time?

1 MR. KLINGSBERG: Before the Three Mile Island
2 accident.

3 MR. FISKE: You just said some of them were
4 still in --

5 THE COURT: He said some of them hadn't been
6 completed.

7 MR. KLINGSBERG: Well, insofar as they were
8 completed, yes.

9 THE COURT: I know, but the problem is you don't
10 get an answer that means anything to me.

11 MR. KLINGSBERG: I'll reframe the question.

12 Q. To what extent did you conclude, based on your
13 knowledge of what actions were taken, as to the status of
14 the problem concerning water in the instrument air lines
15 prior to the Three Mile Island accident?

16 A. Could you read that back, please?

17 THE COURT: Read it back.

18 (Record read)

19 A. The work that was done as a result of this memo
20 I completely agreed with and they certainly helped the
21 problem. But between this time and the time of the
22 accident, I felt that there was still other problems or
23 other things that could be done in order to go one step
24 further.

25 During this time here, we were going through the

1 hot functional testing and trying to work out the bugs in
2 the plant and these had identified a course of action to
3 try to solve the problems that were identified and, as we
4 went further on into the next year, there were other things
5 which I felt could also be done to try to alleviate this
6 same problem.

7 Q. Did there come a time in or about the spring of
8 1978 when some water entered into the instrument air lines
9 of the condensate polishers?

10 A. Yes, there was.

11 Q. And what was the consequence of that?

12 A. As I recall, there was an isolation of the
13 condensate polishers resulting in a loss of feed.

14 The plant was not operating at the time but we
15 did have a loss of condensate feed to the steam generators.

16 Q. I'd like to show you a document which I believe
17 has been previously marked as B & W 166 for identification.

18 Can you identify this document?

19 A. Yes, I can.

20 It is a letter from myself to Mr. Seelinger
21 talking about some other things that could be done to
22 alleviate the problem of water in the air systems.

23 Q. Could you explain to the court what in this
24 period of time was your assessment of the significance of
25 the water in the instrument air line problem?

1 A. I thought it was very significant because the
2 'water transient that would result from isolating the
3 condensate polishers at a high power level is very severe.

4 The plant is designed to handle a feedwater
5 transient, but I felt if there is anything that we could do
6 to try to minimize that the better off we were.

7 Q. What understanding or expectations did you have
8 regarding the ability of the primary part of the plant, the
9 nuclear part, to handle safely a loss of feedwater from a
10 secondary side upset?

11 A. I had complete confidence that the primary plant
12 would handle that design transient without a problem.

13 The only problem that I thought there was was
14 really to the feedwater side. The abruptly isolating of
15 total feedwater flow in a very short period of time is a
16 very severe upset to the condensate and it could result in
17 damage to the piping, to instruments and the components.

18 Q. Was there any danger to any personnel?

19 A. There is always that danger that the operating
20 personnel or the maintenance crews could be around the
21 condensate and feedwater as it abruptly gets isolated and
22 the pipes move a great deal on an isolation like that.

23 I have seen pipes move three, four, five feet
24 horizontally and breaking hangers, breaking instrument
25 lines and if there are any personnel near that piece of

1 equipment as it happened they could certainly become
2 injured.

3 Q. Could you explain to the court the three things
4 which you indicated in the memo might be done, as you said,
5 to alleviate the problem?

6 A. Well, the three recommendations I had was a lot
7 based on experience I had gained in Unit 1 and the first
8 one is to have an automatic bypass around the polishers.

9 We had a manually operated bypass valve in Unit
10 2 and it was automatically operated on high DP in Unit 1
11 and I felt that this should be done in Unit 2 to afford the
12 overpressure protection so we would not have a loss of
13 feedwater on an isolation of the polishers.

14 As the DP went up, then the valve would open up.
15 It would not prevent a trip on a complete and sudden
16 isolation, but it would on a gradual change in DP.

17 Item two, again, from my experience in Unit 1
18 was that it was better to have service air and instrument
19 air completely isolated. Because instrument air was a lot
20 more important than service air and the placement of an
21 isolation valve in this case, SAV 356 could be moved and
22 afford the isolation between two service air compressors
23 and three instrument air compressors.

24 Item three which was installed in Unit Number 1
25 was they have an in-line dew cell instrument with an alarm

1 indicating that if there was a moisture problem, that the
2 instrument air driers and dessicant used at the air driers
3 weren't sufficient, that this would alert the operators to
4 take some action to either blowdown the lines or alleviate
5 the condition of the water.

6 Q. Now, with respect to item number 2, the valve to
7 keep the water out of the instrument and service air lines,
8 were you proposing that a new valve be installed?

9 A. No.

10 If you turn to the next page here, on this rough
11 sketch it shows where the valve is at and I just wanted to
12 move it so that I could divide three air compressors from
13 two air compressors and then have three dedicated to
14 instrument air service and two dedicated to service air.
15 It just required moving that valve a matter of about four
16 or five feet.

17 Q. Now, as to the third suggestion, were you
18 proposing a new instrument?

19 A. Yes, I was.

20 This instrument would be in addition to what was
21 already there. They already had water traps that would
22 automatically relief water that was in the lines. They
23 also had instrument air driers that would dry out the air
24 and there was also some dessecant which was a medium that
25 would dry out the air and indicate if the air had any

1 amount of moisture in it.

2 This would be in addition to those.

3 Q. Do I understand you to say that you already had
4 devices which measured the moisture but you wanted an
5 additional monitor?

6 A. Yes.

7 This was more accurate and it would assist the
8 operator in determining when he had a moisture problem.

9 Q. Was any action taken in regard to your
10 recommendations?

11 A. As far as I knew, all three of them are being
12 considered because I received after this a memo to me from
13 Jim Seelinger indicating that some further action would be
14 taken.

15 Q. I'd like to show you a document which has been
16 marked B & W 167 for identification.

17 Can you identify that document?

18 A. Yes.

19 This is the document that I just mentioned that
20 was to Don Brummer from Jim Seelinger but he gave me a copy
21 of it. It indicated that Mr. Brummer should take action
22 based on these three recommendations that I had made in my
23 previous letter.

24 Q. Now, you indicated in your previous answer that
25 your recommendation would not prevent a trip on complete

1 and sudden isolation.

2 What, in your understanding, if any --

3 MR. FISKE: Which recommendation are you
4 referring to?

5 MR. KLINGSBERG: He was talking about the first
6 one.

7 Q. What, if any, difference in your understanding
8 would the implementation of your three suggestions have
9 made on the course of the Three Mile Island 2 accident?

10 MR. FISKE: I object to that. He's not here as
11 some expert witness.

12 THE COURT: Can I ask another question?

13 MR. KLINGSBERG: Yes, your Honor.

14 THE COURT: This equipment was manufactured by
15 somebody else?

16 MR. KLINGSBERG: Yes.

17 THE COURT: And installed by somebody else. I
18 don't understand -- and to continue, this is the initial
19 malfunction?

20 MR. KLINGSBERG: That's right.

21 THE COURT: Why are you getting into this
22 testimony in all of this detail? I don't understand why
23 you are doing all of this.

24 I can understand modest detail to show an
25 attention to the plant and an attention to the problems of

1 the plant but we have gone into this now for 10 or 15
2 minutes and I don't really understand.

3 MR. KLINGSBERG: Number one, this is my last
4 question on the subject.

5 Number 2, it is my understanding of Mr. Fiske's
6 position, which he said in his opening statement and what
7 he stated in his questions to another witness, this failure
8 to adopt Mr. Zewe's recommendation had something to do with
9 the initiation of the accident. If he is not so contending,
10 we'll be happy to drop the whole issue but that's my
11 understanding of one of the defendant's principal
12 contentions in the case.

13 So far as Mr. Zewe -- I'm sorry.

14 THE COURT: Well, what you are, in effect,
15 asking him is if they had done what he asked here, would
16 there have been a failure at the condensate polisher on
17 March 28, 1979?

18 MR. KLINGSBERG: Right.

19 THE COURT: Now, does he know that?

20 MR. KLINGSBERG: I think he knows how to go
21 about it. I think his opinion is valued.

22 THE COURT: But the question to him was, if they
23 had done what you said, would you have had the accident?

24 MR. KLINGSBERG: Right.

25 THE COURT: Is he prepared to testify as an

1 expert on the fact that there would have been no trouble
2 with the polisher?

3 MR. KLINGSBERG: I know he has some views on it
4 which the court ought to have.

5 THE COURT: I know you and Mr. Fiske have views
6 on it but that doesn't make you an expert witness.

7 MR. KLINGSBERG: Every time I put somebody on
8 the stand, Mr. Fiske says "call an expert".

9 These people who live with the plants, I think,
10 have input which your Honor ought to hear in addition to
11 some college professor who Mr. Fiske and I can call.

12 THE COURT: I will let you do so if you have
13 some foundation. I don't know that if this gentleman, who
14 is a control room operator and is, obviously, experienced
15 in the nuclear side, is prepared to say what you want him
16 to say with any authoritativeness.

17 MR. KLINGSBERG: Let's see.

18 MR. FISKE: I think we ought to have some
19 foundation examination as to the experience he has had, did
20 he write any reports --

21 THE COURT: I will sustain an objection absent a
22 foundation, but I'll let you see if you can establish one.

23 Q. Mr. Zewe, one of the items that you recommended
24 was an automatic bypass; is that correct?

25 A. Yes, sir.

1 Q. And you have testified, have you not, that --
2 well, you have already testified that according to your
3 understanding of the way the system operates that would not
4 prevent a trip on complete and sudden isolation?

5 THE COURT: Wait a minute. I see where you are
6 going. Do we need an expert to tell us that?

7 If the condensate polisher closes and you have a
8 bypass valve, the water is going to go around the
9 condensate polisher. So the question is: Is the
10 circulation in the secondary side going to continue as
11 usual?

12 That's really all that it amounts to, isn't it?

13 MR. KLINGSBERG: The fact is, your Honor -- and
14 I don't want to anticipate the testimony -- that the
15 witness said it would not prevent a trip on complete and
16 sudden isolation and my understanding is that the water
17 could still have formed in the instrument air system
18 sufficient to cause a polisher valve to shut and to have
19 insufficient feedwater flow, even if you had the bypass.
20 That's, I think, what the witness understands and that's
21 what I want to establish.

22 THE COURT: Tell us why, as far as you know.

23 MR. FISKE: I would move to strike it in any
24 event on the same ground that Mr. Zewe hasn't been
25 qualified as a witness on that subject at all.

1 THE COURT: I will permit it to be answered and
2 we'll see what weight is assigned to it.

3 If your condensate polisher had closed up and
4 you had a bypass valve, what would have happened March 28,
5 1979?

6 THE WITNESS: I think that we would have still
7 had a loss of feedwater because I don't believe that the
8 bypass valve could react fast enough to restore feed on a
9 very sudden and abrupt isolation of the polisher because
10 Unit 2 has some feedwater pump trips that Unit 1 does not
11 have.

12 All of my experience there with the automatic
13 operation of a bypass valve for the polishers /ERS is in
14 Unit 1.

15 Unit 2 has low suction pressure trips on the
16 condensate booster pumps and low suction pressure trips on
17 the feedwater pumps which Unit 1 does not.

18 It has been my observation that whenever the
19 Unit 1 polisher valve bypass valve opens up, that there is
20 some time lag there and the suction to the booster pump and
21 the feed pumps goes down sharply but recovers.

22 In Unit 2, we were operating the plant very
23 close to our low suction pressure trips and it wouldn't
24 take very much of an upset in order to institute the low
25 suction pressure trips on the booster pumps and on the feed

1 pumps.

2 So I feel that if it was a transient that
3 allowed time for the valve to open up to maintain flow, it
4 would have gone on uninterrupted. But it happened so
5 abruptly that we still would have lost condensate booster
6 pumps and feed pumps and still had a loss of feed.

7 Q. I'd like to now show you a document which has
8 been marked for identification as B & W 741, which is a
9 reactor trip report of December 2, 1978.

10 Can you identify B & W 741?

11 A. Yes.

12 It is a reactor trip safety injection report to
13 Mr. Miller from Mr. Hilbish about a reactor trip on
14 December 2, 1978.

15 Q. In the third column there is a list of copyees.
16 Is that you at the top there?

17 A. Yes, sir.

18 Q. Did you receive a copy of this in the regular
19 course of your duties?

20 A. Yes, I did.

21 Q. Could you describe to the court what your
22 understanding was of the nature of the December 1978 event?

23 A. As I recall the reactor was at about 22 percent
24 power and they were at the point in power escalation to
25 where they would go from the startup feed reg. valve to the

1 main feed reg. valves, at which point the block valve for
2 the main feed reg. valve would come open automatically when
3 the startup valve got so far open which would then allow
4 control of the main feed regulating valve to take control
5 of feedwater to the OTSGs.

6 In this particular case, whenever the block
7 valve opened up, the main feed regulating valves were
8 manually full open. This resulted in an overfeeding event
9 of the OTSGs and resulted in a severe cooldown, which
10 caused the reactor trip.

11 Q. Did the high pressure injection actuate?

12 A. Yes, it did.

13 Q. What significance, if any, did you attribute to
14 the actuation of the high pressure injection when you
15 reviewed this event after it occurred?

16 A. The significance was an overcooling event
17 resulted in the reactor trip turbine trip and the ES
18 actuation.

19 Q. Did you receive any information or instructions
20 from anybody at Met Ed or B & W with regard to operator
21 action relating to the high pressure injection system
22 during this event?

23 A. As I recall, the only comments were that the
24 operators had operated correctly during the event. They
25 had -- the overcooling event had resulted in pressurizer

1 level going down and pressure came down, the ES actuated
2 and the operators took the proper response from that point
3 to bypass and to throttle high pressure injection.

4 MR. FISKE: Could we have identified the people
5 who made the comments?

6 THE COURT: You may.

7 Who made those comments to you?

8 THE WITNESS: In the course of training on this
9 document that each shift did, we talked about what the
10 actions were and the actions, as based in this report, were
11 that the operator's actions were correct.

12 Q. Now, I'd like to show you Exhibit 191 for
13 identification, which is a report concerning a November 78
14 event.

15 Did you receive a copy of this report in the
16 regular course of your duties at or about the time it was
17 written?

18 A. Yes, I did.

19 Q. And could you describe briefly for the court the
20 nature of this event as you understood it at the time?

21 A. In was a reactor trip-turbine trip while they
22 were doing reactivity coefficient testing at 92 percent
23 power at which time they had an elevated T average as part
24 of the test.

25 There was a problem with the heater drain system

1 that resulted in a condensate booster pump tripping and a
2 feed pump trip.

3 The plant started to run back in response to
4 this and it tripped on varietal temperature and pressure.

5 Once the plant tripped there was a cooldown and
6 depressurization caused by failure of the turbine bypass
7 valve which resulted in a cooldown because of that steam
8 leak of the bypass valve.

9 Q. What understanding, if any, did you have in
10 relation to the operation of high pressure injection in
11 this event?

12 A. That it was initiated because of the overcooling
13 event reducing pressure below the ES actuation set point.

14 Q. What happened to the pressurizer level in this
15 event?

16 A. It decreased below zero indicated level.

17 Q. Did that mean that the pressurizer emptied
18 completely?

19 A. It means it went below the level of indication
20 that the operator has in the control room which is 0 to 400
21 inches. There is a volume left below that and also in the
22 surge line that connects the pressurizer to the hot leg.

23 Q. Were you involved in any training on this event?

24 A. Yes, I was.

25 Q. And what was emphasized, if anything, in regard

1 to the results of this transient?

2 MR. FISKE: Your Honor, same objection.

3 THE COURT: I'll sustain that as to form.

4 Q. What was the nature of the review of this event?

5 MR. FISKE: Could we have it clarified where
6 this review was done?

7 Q. Where and who, et cetera.

8 A. As I recall, this review was conducted on-shift
9 by myself and the operating crew, the shift foreman and the
10 control room operators on-shift by reviewing the
11 information and talking about what had happened.

12 THE COURT: In other words, you reviewed it?

13 THE WITNESS: Yes, sir.

14 Q. And what were the important aspects, if any, of
15 the event as they were reviewed?

16 THE COURT: What did he regard as important?

17 MR. KLINGSBERG: Yes, your Honor.

18 THE COURT: That's what it amounts to.

19 Apparently he got this and talked to his colleagues about
20 it.

21 MR. KLINGSBERG: Yes, that's right.

22 THE COURT: What did you regard as important
23 here?

24 THE WITNESS: I don't believe that I attached
25 any particular importance to anything that happened here

1 other than to recognize the fact that on a condensate
2 booster pump-turbine feed pump trip that if the plant
3 starts to run back, that if you don't get back, if your T
4 ave was a little high to begin with, you could trip on
5 varietal temperature-pressure and you have to be alert for
6 steam pressures in the steam generators to alert you if you
7 would have the same turbine valve failure, turbine bypass
8 failure.

9 Other than that, there was no other thing that I
10 could recall stressing.

11 Q. Did there come a time when you became aware of
12 whether B & W had modeled this transient and produced any
13 results?

14 A. I was aware of training that they gave on
15 turbine bypass valve failures in the course of their
16 training scenarios, but I don't recall information modeled
17 after this exact one with the elevated T average.

18 Q. Now, were there any other transients that you
19 recall --

20 THE COURT: You are going onto another subject?

21 MR. KLINGSBERG: Yes. I have just one or two
22 more of these things to cover. It will take a few minutes.

23 THE COURT: Are you almost through with the
24 witness.

25 MR. KLINGSBERG: Yes.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

THE COURT: It is five past one. We'll be in recess until twenty minutes after two.

(Luncheon recess)

(Continued on next page)

1 Afternoon session.

2 2:25 p.m.

3 - - -

4 MR. KLINGSBERG: Your Honor, if I may take a
5 moment for some housekeeping before my final couple of
6 questions, I conferred with Mr. Fiske during the lunch
7 recess on a few documents which we skipped over, and I'd
8 like at this point to offer them in evidence:

9 B & W 261, the reactor coolant pump operation
10 operating procedure;

11 B & W 167, memo to Mr. Brummer, copy to Mr. Zewe,
12 dated May 16, 1978;

13 B & W 741, the reactor trip report dated
14 December 2, 1978;

15 B & W 191, the reactor trip report dated 11/16/78;

16 The strip charts, GPU 2086;

17 The computer data from the day of the accident,
18 Exhibit 2084;

19 Exhibits 3017, 3018, 3019, 3020 and 3021, which
20 were the charts on the operation of the various high
21 pressure injection pumps;

22 And for the limited purpose that your Honor
23 ruled as a regular business record, Exhibit 2068.

24 MR. FISKE: No objection.

25 THE COURT: All right, they will be received.

1 (Plaintiffs' Exhibits 2017, 2018, 2019, 2020,
2 2021, 2068, 2084 and 2086 for identification were received
3 in evidence.)

4 (Defendants' Exhibit 167, 191, 261 and 741 for
5 identification were received in evidence.)

6 THE COURT: I take it they are keeping their
7 original numbers, even though you are offering them?

8 MR. KLINGSBERG: Yes, your Honor.

9 BY MR. KLINGSBERG:

10 Q. I'd like to show you what has been marked as
11 Exhibit 246, which is a reactor trip report of an incident
12 April 23, 1978. Mr. Zewe, can you identify exhibit B & W
13 246?

14 A. This is a copy of the reactor trip incident
15 report of 4/23/78 to Mr. Miller from Mr. Seelinger, May 4,
16 1978.

17 Q. Did you receive a copy of that in the regular
18 course of your duties?

19 A. Yes, I did.

20 Q. Would you describe for the Court, please, the
21 event which is described in the report?

22 A. Unit-2 was at 30 percent power and they were in
23 the process of escalating power when they had a noise spike
24 on one of the power range channels, NI-8. Since they
25 already had -- one of the RPS channels was in trip because

1 of the other power indicator, NI-7, that resulted in a
2 reactor trip, because now we had trip indications on two of
3 the four RPS, which results in a reactor trip, the turbine
4 also tripped as a result of the reactor trip, and there was
5 a rapid overcooldown and depressurization caused by the
6 failure of the main steam relief valves to reseal after
7 they initially lifted to relieve the steam pressure, they
8 did not reseal properly and they resulted in an overcooling
9 condition, the result was an ES actuation on low pressure
10 because of the overcooling condition.

11 Q. ES is reference to the high pressure injection?

12 A. Yes, it is.

13 Q. How was that handled in this event?

14 A. In this event the operators bypassed the high
15 pressure actuation signal and they took manual control and
16 throttled the high pressure injection based on the recovery
17 of pressurizer level.

18 Q. What if any was the main concern that arose from
19 this event?

20 A. The main concern dealt with the reliability of
21 the main steam safety valves. There was a series of tests
22 done after that showing that the safety valves that were
23 installed at Unit-2 could not prove their reliability, and
24 there was an extensive outage then to replace all of the
25 installed valves and replace them with another type of

1 safety relief valve.

2 Q. After this April 23, 1978 event, did you receive
3 or participate in any on shift training in regard to it?

4 A. Yes, I did. As I recalled, we had both training
5 as part of our requal training and also on shift again. As
6 a shift supervisor I went over this transient with my shift,,
7 and in this particular event both of the control room
8 operators that were on duty that day were the control room
9 operators of my normal shift.

10 Q. Who was that?

11 A. Mr. Faust and Mr. Frederick.

12 Q. Did you both receive training and provide
13 training on this event?

14 A. Yes, we did. We talked about it at great length
15 because they were there for the event and they had a lot of
16 insight into it, and we talked about it a great deal, yes.

17 Q. Was there any review of the HPI aspects of the
18 event?

19 A. As I recall the review of the HPI aspects were
20 normal because they had had the ES actuation on low
21 pressure, and when they recovered level, then they
22 throttled back. At the time that was deemed the
23 appropriate action.

24 Q. Looking at the document B & W 246, first of all,
25 referring specifically to page 32, were you aware when you

1 received this of any Babcock & Wilcox input into the post
2 transient situation?

3 A. I was aware that the trip report contained a
4 section that described how that upset had affected the
5 primary plant components, though I didn't recall who had
6 written it, but that it was from B & W, but not that it was
7 Lee Rogers that it shows here.

8 Q. Looking at page 2, is there any indication on
9 that page of any operator error?

10 A. There is a reference in the third paragraph. It
11 states that the operator failed to initially recognize that
12 the feed pump was in manual and did not run back feed pump
13 until approximately 1 minute 20 seconds had elapsed. Prior
14 to that it states that operator took proper immediate
15 action.

16 MR. FISKE: Just so we can be clear what's going
17 on here, is Mr. Zewe testifying that he read all of this at
18 the time or is Mr. Klingsberg just asking him to read it
19 now?

20 THE COURT: I think he read this at the time.
21 That is my understanding.

22 THE WITNESS: I did at the time, yes. The fact
23 that both of my control room operators were the ones here
24 that were told that they had failed to recognize the feed
25 pump was in manual and that they had taken proper action on

1 the immediate action was well established to me at that
2 time that we reviewed it.

3 Q. Did you have any understanding in or about this
4 period of time of any practice at Metropolitan Edison in
5 regard to indicating operator error or not in reactor trip
6 analyses or reports?

7 A. It was my understanding that they would present
8 as clear a picture as they could, and if the operator
9 action was inappropriate or if it was good, that they would
10 mention it, and that all the other operators then could
11 learn from that experience.

12 Q. On the third page is there any indication of
13 what was happening in the pressurizer and what was
14 happening in regard to the high pressure injection?

15 A. On page 3, did you say?

16 Q. Yes, sir.

17 A. Yes, it has that the pressurizer was emptied and
18 it was below the text spec limit. It talks about the core
19 remaining covered at all times and that the bubble had left
20 the pressurizer and it went into the hot legs.

21 Q. What is indicated, if anything, about the high
22 pressure injection operation?

23 A. Well, it has that the SFAS signal was
24 immediately bypassed and cooldown proceeded normally from
25 that point.

1 Q. What understanding did you have of whether the
2 operators properly or erroneously operated the high
3 pressure injection system in this event?

4 A. My understanding was that they had followed
5 proper procedures and had bypassed it and had throttled
6 back high pressure injection based on pressurizer level
7 recovery.

8 MR. KLINGSBERG: I offer Exhibit 246 in evidence.

9 MR. FISKE: I think it is already in evidence,
10 your Honor. We don't object to it, anyway.

11 Q. Is there any indication on the first page of a
12 copy to any B & W person, the cover page?

13 A. Yes, sir, L. C. Rogers, B & W representative at
14 the site.

15 MR. KLINGSBERG: I'd like to mark as Exhibit 181
16 for identification a document with attachments from Mr.
17 Seelinger, dated April 14, 1978.

18 Q. Can you identify this document?

19 A. It is a copy of the Unit-2 licensee event report
20 to Mr. Troffer from Mr. Seelinger on April 14, 1978.

21 Q. Are you familiar with the event on which it
22 reports?

23 A. Yes, I was.

24 Q. You were familiar with it at the time?

25 A. Yes.

1 Q. Would you describe it to the Court, please?

2 A. It was the day after the initial Unit-2
3 criticality, and they were at very low power levels with
4 the reactor critical. They had lost a vital bus because of
5 an inverter malfunction, and that resulted in losing some
6 primary plant instrumentation, and the result also was that
7 the PORV had failed open because the loss of power affected
8 a pressure switch that controls the PORV high pressure set
9 point, and when it failed it indicated that there was an
10 actual high pressure.

11 So the PORV had opened in response to that
12 erroneous high pressure, and whenever they had reenergized
13 the vital bus, everything was restored to normal. The PORV
14 then shut.

15 Q. Am I correct that when you used the term failed
16 open, that's different from stuck open which happened on
17 March 28, 1979, is that right?

18 A. Yes. My actual understanding is that as far as
19 the PORV went, it did exactly what it was -- what the
20 indication was, high pressure. It didn't know the
21 difference between a failed signal to the pressure switch
22 indicating a high pressure or if it was a real high
23 pressure. The valve responded to its voltage signal.

24 Q. What significance did this event have?

25 A. As I remember, it had two significant parts.

1 One was that we should not have lost the vital bus like we
2 did, and they did some research into why the inverter had
3 failed in the free running mode; and the other thing was
4 with the PORV that -- they installed an indicating light in
5 the control room for when the valve was supposed to be open,
6 there was a red indicating light and the red light would be
7 lit. When the valve was supposed to be closed, the light
8 would be out, indicating the position of the valve.

9 Also they rewired the pressure switch that on a
10 loss of power it would not give a false high pressure
11 indication and have the valve go open on that false signal.

12 Q. Did you know at the time the indicator light was
13 put in that it was an indirect indication?

14 A. I believe I did, yes.

15 Q. Are there other indirect indications on your
16 control panel?

17 A. Yes, there are. There are a lot of them.

18 Q. Could you step to the panel and point those out
19 to the Court?

20 A. A lot of the indication for our various plant
21 systems are of a demand type indirect, like the turbine
22 bypass valves. The controls for them as read on the
23 indicator is just what the demand signal is.

24 That's also true for the emergency feed
25 regulating valves, the startup and the main feed regulating

1 valves. The rod control system for if the rods are being
2 moved out of the core or into the core, that signal is
3 strictly on demand.

4 The position indication up here behind this
5 board on the relative position indication is only on demand.
6 The pressurizer level control station for the control of
7 pressurizer level through its normal makeup valve is a
8 demand indication. The pressurizer pressure control
9 station for the status of the heaters is a demand signal.

10 Those are a few of the ones.

11 (Continued on next page.)

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

MR. KLINGSBERG: I offer Exhibit 181 in evidence.

MR. FISKE: No objection.

(Plaintiff's Exhibit 181 for identification was received in evidence)

MR. KLINGSBERG: No further questions, your Honor.

CROSS-EXAMINATION

BY MR. FISKE:

Q. Mr. Zewe, in the period of time through the rest of 1979 after the Three Mile Island accident, you were asked questions by a number of different groups investigating the Three Mile Island accident, were you not?

A. Yes, I was.

Q. You were asked questions by people at GPU?

A. Yes.

Q. By people from the NRC?

A. Yes.

Q. By people from the ACRS?

A. Yes.

Q. By people in the President's Commission, the so-called Kemeny Commission?

A. Yes.

Q. You were also asked questions by two separate committees of Congress, isn't that correct? The so-called Hart Committee and the so-called Udall Committee?

1 A. Yes, I was.

2 Q. Were you also asked questions by the NRC special
3 inquiry group, Mr. Rogovin's Commission?

4 A. Yes.

5 Q. Now, at any time in responding to questions that
6 were put to you in any of those investigations, did you
7 ever give an answer that you did not believe was the truth?

8 A. I did not.

9 Q. So that your answer is that every answer you
10 gave to every question in those investigations was the
11 truth at that time; is that correct?

12 A. Yes, it was.

13 Q. You also gave a deposition in this case, do you
14 remember that, back in June?

15 A. Back in May, yes.

16 Q. May and June, this year?

17 A. May. Yes, sir.

18 Q. Is it also your testimony that every answer you
19 gave in response to every question in that deposition was
20 the truth?

21 A. Yes, it was.

22 MR. FISKE: Your Honor, at this time in
23 preparation for the testimony that is about to follow or
24 the questions that we're going to ask, I would like to hand
25 up some additional pages from the book that we gave you in

1 the beginning which are replicas of those exhibits we will
2 be showing Mr. Zewe for cross-examination. We also would
3 like to put charts up on the easels.

4 Your Honor, these are marked tabs 15 through 25
5 and we have a revised index which takes it from number one
6 right through.

7 Q. Directing your attention, Mr. Zewe, to the
8 schematic just put up on the easel there which is entitled
9 TMI-2 Control Room Layout.

10 Could you just look at that for a second or as
11 long as you need and tell the court whether you accept that
12 as an accurate representation of the TMI-2 control room as
13 it existed in March of 1979? I don't mean by that to
14 suggest that every single piece of instrumentation is
15 marked there, but to the extent that instrumentation is
16 marked on that schematic, I'd like to have you verify that
17 it is done accurately.

18 (Pause)

19 A. They appear to be pretty close to the actual
20 place on the console, yes.

21 Q. Now, back at the very beginning of your
22 testimony Mr. Klingsberg asked you to go down through the
23 control room and indicate where different pieces of
24 instrumentation were.

25 I think you came to a point where you referred

1 to instrumentation that indicated RCS pressure and RCS
2 temperature; do you remember that?

3 A. Yes, sir.

4 Q. Would you mind going back and pointing out to
5 the court on the large blowup of the control room exactly
6 where that instrumentation was?

7 A. On your blowup here?

8 Q. On the matter of sequence take the TMI-2 control
9 room layout schematic and just point where in which panel
10 is that?

11 A. Pressurizer level is located right here at the
12 corner between panel three and panel four and the
13 pressurizer pressure is right next to that and over here is
14 the temperature.

15 Q. That's enough.

16 If you will keep a walking down through the
17 large blowup of the control room, could you just show the
18 court where those instruments appear on the large one and
19 if you want to move ours for a minute, that's perfectly all
20 right.

21 A. Right here you have the pressurizer level which
22 I went over earlier and here is the pressures and the
23 temperatures. Right across here you have TH and TC and
24 delta T and here is the average coolant temperature right
25 here.

1 Q. Now, if you just turn around behind you and look
2 at the schematic that says Section of TMI-2 Control Room
3 Panel Four (Actual Size). If you wouldn't mind turning it
4 a little bit so the court can also look at that.

5 Do you recognize that as a life-size blowup of
6 that section of the control room panel?

7 A. It appears to be a little larger, but I don't
8 know if I can tell the difference this close to it because
9 normally you have the extension of the console and you
10 would have to lean over to get that close, but if you say
11 it is the actual size, it probably is pretty close.

12 Q. So that an operator standing in front of that
13 panel would be able to, by moving his eyes from one side to
14 the other, see all of those instruments without really even
15 having to move his feet, correct?

16 A. Correct.

17 Q. Now, just so we can go through this quickly one
18 more time.

19 Could you indicate to the court what the
20 instrumentation is on that panel that shows RCS pressure?

21 A. You have three recorders, one wide range, and
22 two narrow range. The narrow range is one in each loop.
23 Wide range is only for the one loop A.

24 Q. Those are the strip charts that you referred to
25 earlier, that sort of write with a pen through the course

1 of the event?

2 A. That is true. There is a pen that has ink on it
3 that actually marks the chart in ink.

4 Q. Now, where is the instrumentation that shows you
5 what the RCS temperature is?

6 A. You have various meters. You have your outlet
7 temperature and you also have your inlet temperature in
8 each of the loops. You have an outlet recorder and then
9 you have your average temperature displayed here on the
10 digital.

11 Q. And the average temperature on the digital is
12 what has been referred to as T ave?

13 A. Yes, it is.

14 The average temperature displayed here on the
15 recorder and the digital here is the coolant system average
16 temperature.

17 Q. So you have a digital reading for T ave. You
18 also have a temperature strip chart, correct?

19 A. Yes, we do. Right here.

20 Q. That shows your T ave, right?

21 A. Yes, it does.

22 Q. So that at any moment in time you can look at
23 that panel and you can see the RCS pressure and the T ave,
24 is that right, on those strip charts?

25 A. The operator at any point in time could look at

1 whatever instrumentation he wanted to, yes.

2 Q. Then there is, on the left there is an
3 instrumentation for the pressurizer level?

4 A. Right here, yes.

5 Q. Is that also a strip chart?

6 A. Yes, it is.

7 Q. Now, I'd like to just take a second and go back
8 over something that you mentioned briefly in your direct
9 examination so we all understand it, which is how the
10 calculation of the pressurizer level actually works.

11 Now, I think you referred to something called a
12 reference leg?

13 A. Yes.

14 Q. And am I correct that that is a tube of water
15 which is attached to the pressurizer?

16 A. Yes, it is.

17 Q. And is that just a tube of solid water? No
18 steam in the reference leg?

19 A. Well, the reference leg is exposed to steam.
20 The reference leg taps high off the pressurizer itself at
21 the 400-inch point and then that instrument tap comes out
22 and forms a column down to the differential pressure cell
23 that's in the basement and then we -- the other side of
24 that instrument then connects to the lower level reference
25 tap and it is that pressurizer level, the reference leg,

1 that is full of water and it is maintained full because of
2 the condensing action. Steam would get in there and then
3 it would then condense to water to insure that the
4 reference leg is always full. You always have some kind of
5 constant reference.

6 Q. The column of water in the reference leg
7 actually produces itself pressure down on the bottom,
8 correct?

9 A. That's what you are looking at to create the
10 delta pressure. It is that height of water versus the
11 height of water that's actually varying within the
12 pressurizer itself.

13 Q. So you have the reference leg on the one hand
14 and you have the pressurizer on the other and in each of
15 them there is water putting a pressure downwards and then
16 you measure the difference between the pressure that's
17 being exerted in the reference leg and the pressure that's
18 being exerted in the pressurizer in order to calculate the
19 pressurizer level; is that correct?

20 A. Basically, that's true.

21 Q. Now, the pressurizer itself is a combination of
22 water and steam; isn't that correct?

23 A. That is correct.

24 Q. And it is correct that as -- you can sit down,
25 Mr. Zewe. We have one more piece of instrumentation but

1 I'm sure you can deal with it from up there.

2 Is it correct that the solid column of water in
3 the reference leg produces a greater pressure than is
4 produced by the mixture in the pressurizer which is a
5 mixture of water and steam?

6 A. No, sir.

7 It depends on what the water level is inside the
8 pressurizer. If it is as high as the reference leg, it
9 would have a zero delta P and it would indicate a maximum
10 level.

11 Q. But between the measuring points which we're
12 talking about, to the extent that the pressurizer becomes a
13 mixture of water and steam, it produces less pressure than
14 does the reference leg, isn't that correct?

15 A. It is only because of the height of water that
16 is in the pressurizer. The steam pressure that is in the
17 pressurizer, that force is felt on the reference leg as
18 well as the pressurizer. So it sees that same pressure.

19 So the difference in the pressure is the
20 difference of the height of water that's in the reference
21 leg as opposed to the height of water that is physically
22 inside the pressurizer. And it is that difference.

23 Q. But don't you understand that what is being
24 measured is a combination of the height of the water and
25 the density?

1 A. Yes.

2 Q. So as water -- if part of the water turns to
3 steam, that will create less density and the level will go
4 down; correct?

5 A. Would you restate that, please?

6 Q. If part of the water in the pressurizer turns to
7 steam, then the result will be that you have less density
8 and the pressurizer level instrumentation will go down;
9 isn't that correct?

10 (Pause)

11 A. Yes, but it depends on how much flashing of the
12 water that you are talking about to really see an
13 appreciable change. You are really looking at the weight
14 of the mass.

15 Q. Okay.

16 But to the extent that that mass becomes more
17 steam and less water, it produces less weight and therefore
18 the level goes down, isn't that right?

19 A. As long as you didn't change the pressure in the
20 pressurizer, the mass of the steam or the weight of the
21 water that is now steam is still there exerting force.

22 So, as I am thinking about it, I wouldn't change.

23 Q. I'm asking you to just look at a situation where
24 for whatever reason you have a certain amount of water and
25 a certain amount of steam in the pressurizer, right, that

1 is exerting a certain amount of pressure down which is
2 reflected in the pressurizer level.

3 All I am asking you is: When anything happens,
4 whatever it is in the pressurizer so that there becomes
5 less water and more steam, then the pressurizer level will
6 go down, isn't that right?

7 A. It will indicate the water level -- yes, but you
8 had to either add energy in order to heat the water to
9 steam or you had to remove some energy and condense the
10 steam into water either way, but you had to change
11 something. But if you just take that same mass or that
12 same amount of water and if you would change that to steam
13 even though it will occupy a larger volume, it will still
14 have the same weight.

15 Q. Now, there is one more piece of instrumentation
16 on the Control Room Panel Four that I don't think has been
17 discussed up till now which is on the far left. Would you
18 tell us what that is?

19 A. On the far left beside pressurizer level?

20 Q. Yes.

21 A. Pressurizer temperature.

22 Q. What does that indicate to you when you are in
23 the control room?

24 A. That indicates the pressurizer temperature.

25 Q. And during normal operation that's supposed to

1 be 647 degrees?

2 A. Yes.

3 Q. And what is the hot leg temperature during
4 normal operations?

5 A. 603 degrees, 604 degrees.

6 Q. And the cold leg is about 556?

7 A. 555, 556.

8 Q. And the T ave would be 582, correct?

9 A. That is correct.

10 Q. Now, I think you referred earlier to the
11 instrumentation that measures RCS pressure.

12 Is it correct that that's supposed to be 2155
13 during normal operations?

14 A. That is the desired set point, yes.

15 Q. And was it your understanding right up through
16 the Three Mile Island accident that when you look at the
17 instrumentation that shows you what RCS pressure is that,
18 give or take a few pounds, that would tell you what the
19 pressure is at all points throughout the reactor coolant
20 system including pressure in the pressurizer itself?

21 A. That would be indicative of primary pressure at
22 various points though there are differences with the delta
23 Ps across the pumps and throughout the core and through the
24 steam generator.

25 Q. Generally speaking, looking at RCS pressure, if

1 you saw 2155, that would indicate to you that you had 2155
2 in the pressurizer as well as in whatever point in the
3 reactor coolant system the instrumentation is measured,
4 correct?

5 A. Within 50, 60 pounds, yes.

6 Q. And the reason that the pressurizer temperature
7 is hotter than the temperature in the hot leg is because
8 you want to maintain saturation conditions in the
9 pressurizer, correct?

10 A. That is correct.

11 Q. And you do not want saturation conditions in the
12 hot leg; is that correct?

13 A. I don't believe that I've ever thought about
14 that I maintain saturation conditions in the pressurizer so
15 I don't have them in the hot leg.

16 THE COURT: No, sir, he didn't say that. He
17 said you don't want them in the hot leg, that's what I
18 understood him to say.

19 Q. You understood you didn't want the hot leg
20 temperature to get to the same temperature as the
21 pressurizer temperature because then if that happened you
22 would have saturation not only in the pressurizer but also
23 in the hot leg; isn't that right?

24 A. From a theoretical standpoint, yes.

25 Q. One other preliminary thing, Mr. Zewe.

1 Mr. Klingsberg introduced in evidence a document
2 called GPU 2066, which was entitled Compendium of TMI-2
3 Procedures. Do you remember that, this booklet here? Do
4 you have that before you?

5 A. I believe I remember it but I don't have it
6 before me.

7 I have it now.

8 Q. And contained in this booklet there are 21
9 separate emergency procedures and 14 separate abnormal
10 procedures; is that correct?

11 A. I'm not sure that's the right numbers, but I am
12 assuming you are right.

13 Q. Let's go through these quickly.

14 What I would like to do is just go through these
15 and have you tell us whether in the first 45 minutes of the
16 accident on March 28, 1979, before you left to go down to
17 the turbine room you had seen symptoms in any one of these
18 procedures that I am going to go through? Do you
19 understand the basic question?

20 I am going to ask you about a procedure and then
21 I want you to tell me whether or not before you went to the
22 turbine room you had seen any symptoms of that particular
23 procedure?

24 A. You want me to just open up and read down --

25 Q. No. I will take them one at a time and then you

1 can just answer as I go through.

2 We'll start with 21, which is the last emergency
3 procedure, which is "earthquake".

4 I take it you hadn't seen any of those symptoms?

5 A. I had not.

6 Q. Number 20 is "flood"? Had you seen any of those?

7 A. I had not.

8 Q. 19 is "fire". Had you seen any symptoms of fire?

9 A. I had not.

10 Q. 18 is "plant response to penetration of the
11 protected area".

12 Did you see any symptoms of that procedure?

13 A. I had not.

14 Q. 17 is "loss of one auxiliary transformer". Had
15 you seen any of those symptoms?

16 A. I had not.

17 Q. 16 is "high cation conductivity and/or sodium in
18 the condensate and/or feedwater system". Had you seen any
19 of those symptoms?

20 A. Yes, I did.

21 Q. In the first 45 minutes?

22 A. Yes. We had a condensate polisher trouble alarm.

23 Q. 15 is "OTSG tube rupture"; first 45 minutes, did
24 you see any of those symptoms? The first 45 minutes?

25 A. A symptom, we had variation of the A symptom.

1 Q. 14 is "station blackout and loss of both diesel
2 generators". You didn't have that in the first 45 minutes,
3 did you?

4 A. We did not.

5 Q. 13 is "loss of instrument air and service air"?
6 Did you have that?

7 A. Not that I remember, no.

8 Q. Number 12 is "Loss of steam generator feed".
9 Did you have that?

10 A. Yes, sir.

11 Q. That's loss of feedwater?

12 A. Yes, it is.

13 Q. Number 11 is "station blackout". Did you have
14 that in the first 45 minutes?

15 A. We had one symptom there, yes.

16 Q. Which symptom?

17 A. 1.12 that the generator breakers were open.

18 Q. But you knew you didn't have the station
19 blackout, did you, right?

20 A. That is correct.

21 Q. Number 10, "shutdown from outside the control
22 room". Was that a problem in the first 45 minutes?

23 A. It was not.

24 Q. Nine is "loss of intermediate close cooling
25 water". Was that a problem in the first 45 minutes?

1 A. One symptom was, yes.

2 Q. Which symptom is that?

3 A. 1.8.

4 Q. Did you think you had a problem with the loss of
5 intermediate close cooling water symptom?

6 A. I did not. But the operator, once we initiated
7 maximum letdown, we started to receive high temperatures in
8 the letdown system which affects MEV 376.

9 Q. But you ruled that out, so to speak, right?

10 A. We ruled it out for loss of intermediate close
11 cooling water system, yes.

12 Q. Number eight, "loss of decay heat removal".

13 Did you have any problem with that in the first
14 45 minutes?

15 A. Here again, one symptom, 1.5 of the pressurizer
16 level high/low is an indication here.

17 Q. In the first 45 minutes, did you think you were
18 having a problem with the decay heat removal?

19 A. I did not.

20 Q. Number 7 is "excessive radiation levels".

21 Did you see any of the symptoms for excessive
22 radiation levels in the first 45 minutes?

23 A. No, I did not.

24 Q. Number six is "high activity in reactor coolant".

25 Did you think you had a problem with high

1 activity in the reactor coolant in the first 45 minutes?

2 MR. KLINGSBERG: Objection. You are changing
3 the question now to whether there were symptoms as to
4 whether he thought there was a problem or are you still
5 asking about the symptoms.

6 MR. FISKE: I am trying to find out which one of
7 these procedures that he could basically rule out as not
8 being a problem so we can get it down to one or two that
9 might apply.

10 MR. KLINGSBERG: I think if we are changing the
11 groundrules, the witness ought to be alerted to it.

12 MR. FISKE: The questions are perfectly clear.

13 THE COURT: I don't think we are. Go ahead.

14 Q. Did you think you had a problem with high
15 activity in your reactor coolant in the first 45 minutes?

16 A. I did not.

17 Q. Number 5 is the "pressurizer system failure
18 procedure", and we'll come back to that in a few minutes.

19 Number four, "loss of RC flow, RC pump trip".

20 Did you think that procedure was -- that you had
21 a problem under that procedure in the first 45 minutes
22 before you went down to the turbine room?

23 A. I did not.

24 Q. Number three is the "loss of reactor coolant
25 system pressure procedure".

1 I take it you did know that you had some of the
2 symptoms of that procedure in the first 45 minutes?

3 A. Yes, I did.

4 Q. Number two is "unanticipated criticality".
5 Was that a problem in the first 45 minutes?

6 A. No, it was not.

7 Q. Then number one is a reactor trip procedure,
8 which you discussed earlier in your testimony.

9 MR. KLINGSBERG: Is there a question?

10 MR. FISKE: Yes. There is about to be.

11 Q. There is also the 14 abnormal procedures
12 compendium that we have before us, which one is the turbine
13 trip procedure; is that correct?

14 A. That is correct.

15 (Continued on next page)

16

17

18

19

20

21

22

23

24

25

1 Q. Just looking through, to save time, tabs 22
2 through the last tab D, do you think you had a problem in
3 the first 45 minutes of the accident relating to any of
4 those other abnormal procedures?

5 A. After reviewing 22 through 30, they were not in
6 effect, as I remember.

7 Q. So we have identified as possibly applicable
8 during the first 45 minutes the reactor trip procedure, the
9 LOCA -- the loss of reactor coolant/loss of reactor coolant
10 system pressure procedure, the loss of feedwater procedure,
11 is that right?

12 MR. KLINGSBERG: I object to that question. I
13 think it is a totally inaccurate summary of the testimony.

14 THE COURT: I am sorry, let's let the witness
15 deal with that, Mr. Klingsberg. Either he accepts it or he
16 doesn't.

17 Q. Were there any emergency procedures that you
18 felt were applicable to the problems you were seeing in the
19 first 45 minutes, other than possibly the reactor trip
20 procedure, the loss of reactor coolant/loss of reactor
21 coolant system pressure procedure, the pressurizer system
22 failure procedure or the loss of feedwater procedure --

23 MR. KLINGSBERG: I object to the question.

24 THE COURT: No.

25 Q. -- in terms of procedures that might possibly be

1 applicable to the problems you were seeing in the first 45
2 minutes?

3 A. I just reviewed the remainder of the abnormal
4 procedures in tabs A through G, and they weren't applicable,
5 that I could tell. In reference to your latest question,
6 for the first 45 minutes the two applicable procedures or
7 the three applicable procedures that I felt that we were
8 into was the turbine trip, reactor trip, loss of feed.

9 Q. And you didn't consider the possibility in the
10 first 45 minutes that the loss of reactor coolant/reactor
11 coolant system procedure might be applicable?

12 A. Would you ask that again, please?

13 (Record read)

14 Q. It is loss of reactor coolant/loss of reactor
15 coolant system pressure procedure.

16 A. Yes, I did, but only briefly in relationship to
17 the auto actuation of high pressure injection, not that it
18 was applicable or that the conclusions that I would reach
19 from my indication would lead me to say that I was in that
20 procedure, to use it; that I did not conclude.

21 Q. That's what you testified to earlier in your
22 direct examination?

23 A. I believe it was, yes.

24 Q. Is it your testimony that you didn't consider
25 during the first 45 minutes the possibility that the

1 pressurizer system failure procedure might be applicable?

2 A. I did not. The indications that I had --

3 Q. That's an answer, Mr. Zewe.

4 A. I was just trying to be complete, that's all.

5 MR. FISKE: Your Honor, I think we will move a
6 lot faster if we --

7 THE COURT: Put another question.

8 MR. KLINGSBERG: I think it is important that
9 the witness be able to explain, if he has an explanation.

10 THE COURT: No, he said he didn't. He was asked
11 whether he did or not and he said he didn't. Let's just go
12 on. You may deal with that on redirect, as appropriate.

13 MR. KLINGSBERG: Thank you.

14 Q. Let's turn, Mr. Zewe, to the pressurizer system
15 failure procedure, which is tab 5 in this book. I'd like
16 to have you look at section A which is entitled "leaking,
17 pilot operated electromatic relief valve."

18 With reference to the period of time before the
19 Three Mile Island accident that Mr. Klingsberg was
20 questioning you about this morning, symptom number one in
21 that procedure is, A-1, paragraph 1, relief valve discharge
22 line temperature exceeding the normal 130.

23 You understood that the temperature that's
24 referred to there is the temperature for the pilot operated
25 relief valve, correct?

1 A. That's the temperature of the discharge
2 thermocouple downstream with the pilot operated relief
3 valve, yes.

4 Q. And you understood that there were separate
5 thermocouples that measured the pipe leading from the PORV
6 and the pipe leading from each of the two code safeties,
7 correct?

8 A. Yes.

9 MR. FISKE: Your Honor, to refresh your Honor's
10 recollection, I believe it is tab 5 in the material that we
11 gave you at the very beginning of the case that has a
12 diagram at the top of the system showing the location of
13 those thermocouples.

14 Q. Mr. Zewe, it is a fact, is it not, that you had
15 been seeing temperatures on the PORV thermocouple in excess
16 of 130 degrees for several weeks before the Three Mile
17 Island accident, right?

18 A. Yes, that's true.

19 Q. It is correct that you had seen temperatures up
20 as high as 190 or 195 degrees?

21 A. In that neighborhood, yes.

22 Q. And you had been seeing those temperatures back
23 into 1978, had you not?

24 A. I don't recall exactly when it was that high,
25 how far back, but I think that was true, yes.

1 Q. There was a shut down of TMI-2 for about two
2 weeks, wasn't there, in January, from January 17 to January
3 31?

4 A. I remember that we had a bellows rupture on the
5 atmospheric dump valves in January. I don't recall how
6 long that was.

7 Q. We showed earlier witnesses an excerpt from the
8 Rogovin report for various reactor trips at TMI-2 which
9 indicated that the reactor had been shut down from about
10 January 16 to January 31. If I showed you that, would that
11 help refresh your recollection as to whether there had been
12 a shutdown of about two weeks in the second half of January,
13 1979?

14 A. Yes, it would.

15 Q. Would you like to see it or --

16 A. I am at a point here where I know that we had a
17 bellows rupture failure in January and I know that we did
18 shut down. It is just that I don't know if it lasted for
19 two weeks. But I don't have any reason to doubt that it
20 did not, either.

21 Q. Was anything done during the shutdown in January
22 by anyone at Met Ed to determine whether or not there was a
23 leak from a PORV or whether there was a leak from either of
24 the code safeties and, if so, to fix it?

25 A. Did I know at that time?

1 Q. Yes.

2 A. Not that I was aware of, no.

3 Q. In other words, even though these temperatures
4 had been elevated even before the shutdown, a symptom of a
5 leaking valve, nothing was done during the shutdown to
6 determine whether there was a leak or to fix it, is that
7 right?

8 A. No. As I recall, we had determined, "we" being
9 Met Ed, and it was my understanding that we suspected, that
10 one of the code safety valves was leaking and that that was
11 going to be repaired as the parts were available at some
12 later date, and that that was the reason why the RC-RV 2
13 discharge thermocouple read above 130 degrees at the time
14 as you explained it.

15 They do tie together downstream, and a leakage
16 on one reflects on the other one. So, as I recall, I felt
17 that we were addressing the problem with the leakage.

18 Q. Let's just back up a little bit. Is it your
19 testimony that you knew before the shutdown in January that
20 there was a leaking code safety valve?

21 A. As I recall -- again, I don't know exactly when
22 I was aware of the code safety valve leakage, whether it
23 had started in November or December or in January or prior
24 to that time, but up until the time of the accident, which
25 included the period in January, my understanding was that a

1 code safety valve leak had been considered and had been
2 planned.

3 Q. No, Mr. Zewe, please. I am asking you about the
4 period of time before this shutdown occurred in the second
5 half of January. I am asking you whether it is your
6 testimony that Met Ed had determined before then that there
7 was a leak in a code safety? Yes or no.

8 MR. KLINGSBERG: I think he just answered that,
9 your Honor.

10 THE COURT: I am not quite sure. Go ahead.

11 A. It was my understanding, as I remember, that
12 they had determined that a code safety valve was the
13 problem in the leak. I just don't remember that time frame
14 for sure.

15 Q. I want to ask you, Mr. Zewe, about the time
16 period before the shutdown in January. Just tell us yes or
17 no, to your recollection, had Met Ed determined before that
18 shutdown that there was a leak in a code safety?

19 A. I don't know if the time frame was right.

20 Q. But you did know that there were temperatures
21 for the PORV that were up around 200 degrees before this
22 shutdown, right?

23 A. I have already stated that I knew that there was
24 elevated temperatures before the accident for a couple
25 months. I am just not sure of the time frame when I became

1 aware of the high temperature. It just wasn't something
2 that stood in my mind saying that before January whatever
3 it was that I had that knowledge.

4 Q. Don't you know, Mr. Zewe, that the elevated
5 temperatures on the PORV went all the way back to October
6 and November of 1978?

7 A. If I reviewed the data, I'd say yes. But I
8 remember data that went back -- I operated both units, and
9 we marked down the tailpipe temperatures for Unit-1 and
10 Unit-2 every day for all three of the relief valves, and I
11 am sorry, but I can't separate November from January from
12 February.

13 Q. But it is your testimony now that you don't
14 remember any work being done during this shutdown in
15 January by Met Ed to try to determine whether any valve was
16 leaking, and, if so, to fix it; that's correct?

17 A. I don't remember.

18 Q. All right. Last week, referring to page 2019 of
19 the transcript, the Court asked you with respect to this
20 pressurizer system failure procedure, section A that you
21 have just been looking at, question on 2019, and I'd like
22 to read the question and the answer -- I'd like to read the
23 answer that you gave to the Court, reading from page 2019:

24 "The Court: Basically I had asked you why
25 didn't you close the block valve when you had the symptoms

1 of a leaking PORV as set forth in the pressurizer system
2 failure procedure."

3 Your answer at page 2019 was, "At the time, your
4 Honor, we already knew that we had a leaky -- a suspected
5 leaky code safety valve at the time before the transient
6 happened, and the discharge line temperatures were very
7 close to 200 degrees at the start.

8 "The Court: A fortiori, if you had that
9 recollection, why didn't you say 'let's isolate the top of
10 this tank' just to be on the safe side?"

11 You said, "The code safety valve, the isolation
12 valves, only isolates the PORV and not the code safety
13 valve."

14 The Court asked you whether there was an
15 isolation valve for the code safety and you said, "No, sir,
16 the code safety valve is by code law not allowed to be
17 isolatable. They don't have any isolation valve in it. We
18 already had been looking at the discharge temperatures and
19 other related information, and it was my understanding from
20 interface with other plant people that we suspected that
21 there was a code safety valve, from all our indications
22 which was leaking. We knew that from the beginning. That
23 is one reason why we were monitoring it closely. So we
24 were already at an elevated temperature in the lines from
25 that leak, and closing the block valve wouldn't have any

1 effect."

2 Do you remember giving those answers to the
3 Court last week?

4 A. Yes, I do.

5 Q. Then Mr. Klingsberg asked you some questions
6 this morning in which you again said that you thought
7 before the accident that you had a code safety leaking, and
8 you said that you thought that was perfectly all right
9 because the amount of the leakage was less than 10 gallons
10 a minute within your technical specifications. Do you
11 remember that?

12 A. Yes, I do.

13 Q. Isn't it a fact, Mr. Zewe, that you and others
14 at Met Ed thought before March 28, 1979 that the pilot
15 operated relief valve might be leaking?

16 A. I don't remember thinking that, no.

17 Q. Do you remember participating in an interview at
18 Met Ed on the 25th of May which Mr. Miller, the station
19 superintendent, was conducting which included as among
20 those present you and a Mr. Porter and Mr. Seelinger?

21 A. I recall several meetings with those individuals,
22 yes.

23 Q. What I would like to do, Mr. Zewe, is play you a
24 tape recording of that interview, I will give you a
25 transcript, and ask you whether or not after listening to

1 this tape recording that refreshes your recollection that
2 you and others at Met Ed thought before the accident that
3 you might have a leaking PORV.

4 MR. FISKE: I might say, your Honor, procedurally,
5 what we would like to do is mark -- we would be willing to
6 mark the full tape as Exhibit 5000, the excerpt that we are
7 going to play as 5000-A and the transcript of the excerpt
8 as 5000-AA.

9 MR. KLINGSBERG: What page are you starting on?
10 Do you know what page this is?

11 MR. FISKE: 16 and 17.

12 Q. Do you have a copy of this transcript, Mr. Zewe,
13 this excerpt, in front of you?

14 A. Yes, I do.

15 MR. KLINGSBERG: Can we just have located where
16 this is?

17 MR. FISKE: Sure.

18 (Defendants' Exhibit 5,000 for identification
19 was played.)

20 MR. FISKE: The tape was turned off at that
21 point, your Honor.

22 Q. Having listened to that, Mr. Zewe, is it still
23 your testimony that before the accident on March 28, 1979
24 you and others at Met Ed did not think that it was a
25 possibility that the PORV was leaking?

1 A. As I recall, my testimony is the same, that I --
2 I recall going back to that day and the days prior to the
3 accident thinking that the problem was a code relief valve
4 and --

5 THE COURT: No, listen to his question. Read
6 the question back, please.

7 (Record read.)

8 MR. KLINGSBERG: It isn't fair to ask him what
9 others were thinking. I think he can be asked what he was
10 thinking and what others were said. I don't think he
11 should be asked what others were thinking, unless they said
12 something.

13 THE COURT: No, please. That's overruled. Read
14 the question back, please.

15 (Record read.)

16 A. I am afraid I don't know how to answer that
17 because I -- just listening to that tape, I think that the
18 possibility was there, but as I remember, we were thinking
19 code and not pilot operated relief valve leakage.

20 THE COURT: No, you are confusing it. All Mr.
21 Fiske is asking is what you knew about that valve
22 beforehand. Forget what you knew about the code or
23 otherwise beforehand, just that valve. What did you know
24 about the valve, the PORV?

25 He has played here this tape which is Exhibit

1 5000 where Mr. Miller appears to say, "We knew the valve
2 was leaking, I thought," to which you say, "Oh, yes."

3 His question in effect to you is, based on that
4 in its total context, did you have an awareness before the
5 accident at Three Mile Island that you had a leaking PORV?

6 THE WITNESS: I am sorry, your Honor, but I
7 remember that we -- that I thought that we had a leaky code
8 safety valve and not the PORV, and in the course of this
9 conversation where he says "a leaking valve or an inoperable
10 electromatic, operating with a valve that's leaking in
11 accordance with our procedures" --

12 Q. Mr. Zewe, did you participate --

13 MR. KLINGSBERG: Excuse me, but I have a
14 different transcript of this which has been used at all the
15 depositions and so forth up to now and marked by the
16 defendants and where Mr. Fiske now says --

17 THE COURT: Wait, wait. If you are going to get
18 into this, I think in fairness to Mr. Fiske, the witness
19 should not be here.

20 MR. KLINGSBERG: Fine.

21 THE COURT: Do you want to step through that
22 door again, please.

23 (The witness left the courtroom.)

24 THE COURT: I would say as a preface to any
25 colloquy that we may have that obviously the tape is the

1 evidence and the transcript is not the evidence. It is
2 what you hear on the tape.

3 To the extent that a transcript is an accurate
4 reflection or assists the hearer in determining what's on
5 the tape, then it is of value to the Court or anybody else.
6 But it is really what is what you hear there. It isn't --

7 MR. KLINGSBERG: True. There is a whole lot of
8 people here. In the transcript that I have, and I didn't
9 catch it on the tape, it says "electromatic or code," Mr.
10 Porter.

11 Then it says Mr. Miller, "electronatic." On the
12 transcript on 5000 it says "simultaneous," meaning all
13 these people joined in simultaneously. I didn't hear that
14 on this tape.

15 Maybe we could hear it again in trying to make
16 some kind of judgment as to whether there were five people
17 all joining in, as Exhibit 5000-AA purports to say.

18 THE COURT: I will leave that to Mr. Fiske.

19 MR. FISKE: We didn't quantify the "simultaneous."

20 THE COURT: If he wants to do it again, he may.

21 MR. KLINGSBERG: I think it is very misleading
22 to show the witness "simultaneous" when the previous
23 transcript is different, when I don't know, I didn't hear
24 any simultaneous five people or six people on this --

25 MR. FISKE: Nobody says this is all six.

1 MR. KLINGSBERG: Or five or two.

2 THE COURT: The speakers are whoever is speaking
3 is speaking at the same time.

4 MR. FISKE: Just more than one person says
5 electromatic at the same time.

6 MR. KLINGSBERG: Simultaneous to me indicetes
7 everybody.

8 THE COURT: No, it doesn't to me. It means the
9 sound is simultaneous.

10 MR. KLINGSBERG: Somebody put down "Mr. Miller,"
11 so it is not at all clear to me that 5000 is an accurate
12 transcript.

13 MR. FISKE: Your Honor, just so you understand
14 the origin of this, they gave us a transcript during the
15 course of discovery in which the speakers were identified
16 by GPU. In listening to it, it became clear that there
17 could be an improvement in this particular portion of the
18 transcript as we listened to it, and that's why we prepared
19 this particular excerpt. In any event, I agree with your
20 Honor that the tape is --

21 THE COURT: The tape is the evidence.

22 MR. KLINGSBERG: Then I don't think it is fair
23 to give the witness something which says "simultaneous" and
24 lead him to the conclusion that it is something he said
25 when there is no evidence of that or at least it is not

1 something that's readily apparent.

2 THE COURT: Mr. Fiske, why don't we, in the
3 interests of "fairness," play it again for the witness. I
4 will instruct him that he is to listen to what's on the
5 tape and that 5000-AA is only an aid to him.

6 MR. FISKE: I'd be happy to have that explained
7 to him not only for this tape but for all the ones we are
8 going to play later.

9 (The witness reentered the courtroom.)

10 THE COURT: Mr. Zewe, you have had a certain
11 tape played here for you and you had before you a
12 transcript which is marked 5000-AA, which is somebody's
13 listening to it and assigning words to what they hear and
14 speakers to what they hear.

15 I gather, if my experience is any guide, you
16 have looked at an earlier transcript of this made by people
17 at GPU. Am I correct in that? I gather there is a
18 transcript that was made by GPU and that may very well have
19 been shown to you at some time.

20 THE WITNESS: I believe it was. I have at least
21 heard it before.

22 THE COURT: What I wanted to say to you is these
23 transcripts are not the evidence in the case. They are
24 only aids to all of us to better understand what is on the
25 tape. The tape is the evidence because that records what

1 people actually say.

2 Just by way of explanation, sometimes one can
3 hear from these tapes little different shades that change a
4 word somewhat. So to the extent that this transcript is an
5 aid to you and makes you hear what you hear, enables you to
6 bring out what you hear, it is an aid. To the extent that
7 it doesn't do that or you are in disagreement with it, it
8 is not binding upon you. What is important here is what is
9 heard on that tape, and we are going to play it again. You
10 listen to it --

11 THE WITNESS: Your Honor, I was following that
12 with what was here, and I didn't have any problem that this
13 wasn't accurate. I was listening to the tape as Mr. Miller
14 was talking --

15 THE COURT: 5000-AA is what you are talking
16 about?

17 THE WITNESS: Yes. I couldn't detect anything
18 that was there that wasn't hear or vice versa, other than
19 pauses and other things. That is what I thought.

20 THE COURT: All right, very good. Mr. Fiske,
21 you either play it or not, depending upon --

22 MR. FISKE: I think if Mr. Zewe agrees with the
23 transcript, there is no need to play it again, unless you
24 would like to hear it again, Mr. Zewe.

25 MR. KLINGSBERG: I would like to hear that one

1 portion.

2 THE COURT: No, it is the witness' -- you can
3 listen to it hereafter during some recess.

4 BY MR. FISKE:

5 Q. Do you recall, Mr. Zewe, being at a meeting on
6 May 25 at GPU in which there was a discussion of whether
7 you and others at Met Ed had thought before the accident
8 that the pilot operated relief valve might be leaking?

9 A. Yes, sir.

10 Q. Do you remember Mr. Miller stating at that
11 meeting that "I think we thought it was leaking, whether we
12 were right or wrong"?

13 A. I recall --

14 MR. KLINGSBERG: Where is that statement?

15 THE COURT: He is putting a question to him --

16 MR. FISKE: It is the second to last statement
17 in the transcript, or third.

18 A. Yes, I see that, yes, and that's what I heard
19 him say, and it sounds accurate from that day, as I think
20 back, yes.

21 Q. So you knew back in May that Mr. Miller thought
22 before the accident that the PORV was leaking?

23 A. That's the point there, all right. There were
24 discussions about the PORV leaking and about the code
25 safety valve leaking. I am not sure if it is on that tape

1 or not. But, as I recall, again, I thought we had
2 concluded that it was the code safety valve. But there
3 were discussions with the possibility that some had thought
4 that the PORV was leaking, yes.

5 Q. Do you remember Mr. Miller saying, "Symptoms of
6 a leaking valve are given there," referring to the
7 pressurizer system failure procedure, "We knew the valve
8 was leaking, I thought," and you said, "Oh, yes," do you
9 remember that?

10 A. As well as I can, yes.

11 Q. And you knew Mr. Miller was talking about the
12 electromatic when he said that, didn't you?

13 A. Reading this here, yes, but I don't remember
14 thinking back to that day, putting myself there, that I
15 differentiated between the electromatic or the code safety
16 valve, but in this context I must have.

17 Q. You knew this whole discussion that's reflected
18 in this transcript was about a leaking PORV, didn't you?

19 A. In this transcript here?

20 Q. Yes.

21 A. Now I do, yes, that my recollection is refreshed
22 with this and hearing the tape.

23 Q. And your testimony is you didn't understand
24 that's what they were talking about while you were sitting
25 there participating in this discussion?

1 A. No, sir, I didn't say that.

2 Q. Well, is that your testimony?

3 A. I am saying that at the time that I was
4 participating in this I knew what we were talking about.
5 There were a lot of other discussions other than this one
6 that dealt with the PORV and the codes, and that is why I
7 can't differentiate. You can put this before me now today
8 and play me that tape and then say, "Well, this was about
9 the PORV," and obviously it is; it is just that I don't
10 remember it like that.

11 Q. All I am talking about, Mr. Zewe, we have to
12 take them one at a time, is this particular tape where you
13 are clearly talking about the PORV, right?

14 A. As I can determine from this, yes.

15 Q. If Mr. Klingsberg later wants to play all the
16 rest of the tapes and there is any other discussion any
17 other place on this subject, he is perfectly free to do
18 that. But we are playing you now the one about the PORV.
19 Okay?

20 I just want to get it clear for the Court, is it
21 your testimony now, having listened to this tape and
22 thinking back to that discussion, is it still your
23 testimony that before the March 28, 1979 accident you did
24 not think that there was a possibility that the PORV was
25 leaking in addition to or instead of the code safety?

1 A. I don't remember thinking that the PORV and/or a
2 code safety valve was leaking prior to the accident. I
3 remember thinking that the leakage was from a code.

4 Q. All right, let me take you, Mr. Zewe, forward a
5 couple of months to the time you were testifying in the
6 deposition for the Kemeny Commission. Let me show you a
7 transcript which has been marked as Defencants' Exhibit
8 4006.

9 MR. KLINGSBERG: What is this?

10 Q. This is testimony in a deposition to the Keneny
11 Commission on July 26, 1979. I'd like to direct your
12 attention to pages 144, 145, of that transcript. You let
13 me know when you have found page 144.

14 A. I have it.

15 Q. Directing your attention to line 16, were you
16 asked the following question and did you give the following
17 answer:

18 "Q. Was there any leakage from the PORV valve
19 that was known prior to March 28?

20 "A. We knew that we had leakage from one of
21 the valves on the pressurizer. In my own mind I was not
22 certain that it was the PORV that was the leaky valve. It
23 could have been a combination of that valve plus either or
24 both of the code safety valves that also leaked."

25 Do you remember being asked that question and

1 giving that answer in that deposition to the President's
2 Commission back in July of 1979?

3 A. Yes, I believe I did, yes.

4 Q. Was that the truth at the time?

5 A. Yes, it was.

6 Q. It is a fact, is it not, that prior to the
7 accident you did have reason to believe and did believe
8 that the PORV might be leaking in addition to one or more
9 of the codes?

10 A. As I remember from now looking back prior to the
11 accident, it isn't any clearer, all right. I remember
12 thinking that it was a code safety valve, and in an awful
13 lot of the testimony there they addressed the PORV. You
14 could always consider the possibility that another valve
15 leaks, but I was still thinking for the most part that it
16 was the code safety valve.

17 Q. My question is very simple, Mr. Zewe. Whether
18 or not you may have thought that there may have been
19 leakage from a code safety, isn't it a fact that you also
20 thought that there was a possibility that there might be
21 leakage from the PORV as well?

22 A. I don't remember thinking that. It may have
23 been true, but I don't remember that.

24 Q. When you say it may have been true, you mean it
25 may be true that you did think of it?

1 A. I am saying that I don't remember thinking other
2 than that a code leaking was the reason for the elevated
3 temperatures prior to the accident, and I remember a lot of
4 discussion about the PORV and about the codes. But trying
5 to restrict myself just to that time period and focus on
6 just before the accident did I really suspect the PORV or
7 the codes, trying to divorce myself of everything else, as
8 I remember, I was thinking that a code was the problem.

9 From the daily reports prior to the accident and
10 otherwise, I remember the leakage from the code safety
11 valve as being the problem.

12 Q. Let me read you a question and answer from your
13 deposition in this case, Mr. Zewe. Reading from page 214:

14 "Q. It is correct, isn't it, Mr. Zewe, that
15 for a period of several weeks before the accident you had
16 been seeing temperatures on the thermocouples for all three
17 of the pressurizer relief valves up in the range of 190
18 degrees?

19 "A. Yes.

20 "Q. Is it correct also, Mr. Zewe, that prior
21 to the accident you really did not have any reason to
22 believe that the leaking was coming from one of these
23 valves any more than another?

24 "A. As I recall, I knew that one of the code
25 safety valves, I believe it was the B valve, had a little

1 bit higher temperature, and I leaned in that direction
2 thinking that possibly it might be a code safety valve more
3 so than the A valve on the PORV, but not with any
4 conclusive -- if you understand what I am trying to convey.

5 "Q. Right.

6 "A. I thought that it might be that one more
7 because it was the highest, but nothing conclusive about
8 that. It very well could have been any of them.

9 "Q. You were not aware prior to the accident
10 of any investigation that had been made that had determined
11 that the leak was not coming from the PORV, isn't that
12 correct?

13 "A. I was unaware of any conclusions from any
14 group saying that conclusively it was not coming from there,
15 that is true. I was not aware of anything like that."

16 Were you asked those questions and did you give
17 those answers?

18 A. What testimony was that? I am sorry.

19 Q. In your deposition on --

20 A. Oh, in May?

21 Q. On May 21.

22 A. As far as I can remember, that's what I said,
23 yes. That's what I remembered.

24 Q. You say, Mr. Zewe, that you determined that the
25 amount of leakage that was coming from the top of the

1 pressurizer was less than 10 gallons per minute, correct?

2 A. Yes.

3 Q. And you said that that was allowable within the
4 technical specifications?

5 A. For identified leakage, yes.

6 Q. I'd like you to turn to the pressurizer system
7 failure procedure again. I direct you to section C. This
8 is tab 5. It says "leaking code relief valve." It says
9 under C-3, "Followup action. 1. If RC system identified
10 leakage is in excess of 10 GPM, reduce the leakage rate to
11 limits within four hours or be in hot standby." Do you see
12 that?

13 A. Yes, I do.

14 THE COURT: Where are you?

15 MR. FISKE: Page 4.0 of the pressurizer system.

16 THE COURT: I am there, but I don't see it.

17 MR. FISKE: It is under C-3, followup action
18 number 1.

19 THE WITNESS: The bottom, sir.

20 THE COURT: Very good.

21 Q. So that is it your testimony, Mr. Zewe, that
22 under that procedure you were allowed to continue to
23 operate with a leaking code safety valve, as long as the
24 identified leakage was less than 10 GPM?

25 A. That is true, that you were allowed by the

1 technical specifications and by the procedure. There were
2 other things that were considered.

3 Q. Now I'd like you to go back to section A, which
4 is on page 1, which deals with a leaking pilot operated
5 relief valve. You see the symptoms at the top, A-1,
6 including the discharge line temperatures in excess of 130?

7 A. Yes, sir.

8 Q. Then you see under that, under A-2, "Immediate
9 actions. B. Manual action, close the electromatic relief
10 isolation valve, RC-V 2," correct?

11 A. Yes, sir.

12 Q. There is nothing in the section A of this
13 procedure that says if you have symptoms of a leaking pilot
14 operated relief valve you are allowed to continue to
15 operate without closing the block valve, as long as the
16 leakage is less than 10 GPM, is there?

17 A. That is true.

18 THE COURT: When you come to a good place to
19 break for our mid-afternoon recess, you tell me.

20 MR. FISKE: This is probably as good as any,
21 your Honor.

22 (Recess.)

23 (Continued on next page.)

24

25

1 (Open court)

2 CROSS-EXAMINATION (Cont'd)

3 BY MR. FISKE:

4 Q. Mr. Zewe, you knew, did you not -- withdrawn.

5 It is a fact, is it not, that during this period
6 of time in March 1 and March 28, 1979, despite the elevated
7 temperatures on the PORV thermocouple, the block valve was
8 not closed?

9 A. To my knowledge, the block valve was not closed.

10 Q. On the morning of the accident, the block valve
11 was open, right?

12 A. Yes, that's true.

13 Q. Now, you knew, did you not, that one way to tell
14 whether a leak was coming from the PORV as opposed to a
15 code safety was to close the block valve and see whether
16 the temperatures came down; isn't that right?

17 A. That could be used, yes.

18 Q. And the fact is you didn't close the block valve
19 even for that purpose prior to the accident, correct?

20 A. I did not because I felt the elevated
21 temperatures were from another source and that wouldn't do
22 any good.

23 Q. But didn't you just say, Mr. Zewe, in the
24 testimony that was read to you before the recess that at
25 the very least it was unclear which valve the leaking was

1 coming from and that you thought it might have been the
2 PORV in addition to one of the code safeties or instead of
3 one of the code safeties; isn't that what you said in the
4 testimony we just read before the recess?

5 A. That is the testimony that you read back to me.
6 I'm saying what I remember is the code safety valve.

7 Another point that --

8 THE COURT: Wait a moment.

9 You see, the thing is you'll have a chance
10 on redirect to deal with these other problems. But for now
11 we have got to deal with the questions that counsel is
12 asking you on cross-examination.

13 THE WITNESS: Yes, sir.

14 Q. So the short answer, Mr. Zewe, is that you knew
15 that closing the block valve was one way that you could
16 determine whether or not the leakage was coming from the
17 PORV as opposed to a code safety and you did not take that
18 step before the accident, right?

19 A. That is correct, I did not.

20 Q. Now, isn't it also correct, Mr. Zewe, that you
21 knew before the accident that a leaking -- leakage through
22 a valve can cause the valve to stick?

23 A. As I recall, leakage through a valve could cause
24 a valve seat to erode to where the valve would not seat
25 tightly and it would leak and get worse. Not necessarily

1 that it would stick, that it would continue to be a larger
2 leak as the steam cut eroded the seat.

3 Q. And did you consider that possibility as a
4 reason why you should close the block valve to see whether
5 the PORV was leaking?

6 A. No, sir, I didn't.

7 Q. And isn't it a fact that you also knew that in
8 addition to the problem that you just described that
9 leakage through a valve could actually cause the valve to
10 stick?

11 A. I don't remember thinking that it would cause
12 the valve to stick.

13 Q. Let me -- you testified, did you not, in
14 November of 1979 before the senate committee known as the
15 Hart Committee?

16 A. Yes, I did.

17 Q. And you had an interview with members of that --
18 the staff of that committee, did you not?

19 A. Yes, I did.

20 Q. I'd like to direct your attention to page 33 and
21 34 of the exhibit which I just gave you which has been
22 marked Exhibit 4007, which is a transcript of an interview
23 held with you on November 15, 1979.

24 I would like to direct your attention to a
25 question and answer that occurs at page nine, I mean line 9

1 at page 33 by Mr. Becktenwald -- Mr. Recktenwald, I guess.
2 Do you have page 33 in front of you?

3 A. Yes, sir.

4 Q. "Mr. Recognize: And at that time were you aware
5 of the possibility that leakage through a valve could
6 indicate or could increase the potential for that valve
7 failing?

8 "Mr. Zewe: Yes.

9 "Mr. Recktenwald: And did you ever yourself
10 think about the potential for one of those valves failing.
11 Or first let me ask that.

12 "Mr. Zewe: Did I ever think about potential for
13 the valve failing?

14 "Mr. Recktenwald: As a result of that leakage.

15 "Mr. Zewe: I believe it crossed my mind, yes."

16 Now, were you asked those questions and did you
17 give those answers?

18 A. As I remember, I did, yes.

19 Q. Now, let's go to the next page, Mr. Zewe, page
20 34, line 17. Another question by Mr. Recktenwald:

21 "Mr. Recktenwald: But you don't recall anyone
22 explicitly saying to you be careful or be aware that one of
23 these valves might stick?

24 "Mr. Zewe: I think it is generically expected
25 that if you do have leakage that the possibility exists but

1 not specifically with those particular valves, no."

2 Do you remember being asked those questions and
3 giving those answers?

4 A. I believe I did, now that I am reading it, yes.

5 Q. Was there anything you knew about the
6 pilot-operated relief valve in March 1979 that made this
7 generic possibility of a valve sticking because of leakage
8 inapplicable to the pilot-operated relief valve?

9 A. As I recall, I was talking more about the valve
10 failing and eroding the seat. That's the relationship that
11 I remember.

12 Q. Well, Mr. Zewe, that wasn't my question.

13 MR. FISKE: Could I have it read back, please.

14 THE COURT: You may. Read it back.

15 (Record read)

16 A. Not that I recall, no.

17 Q. So notwithstanding that knowledge of the generic
18 possibility of a valve sticking because of leakage, you
19 still didn't close the block valve, right?

20 A. I did not close the block valve.

21 Q. Now, one last question on this, Mr. Zewe.

22 You said you thought the leaking was coming from
23 a code safety?

24 A. Yes, I did.

25 Q. Would you turn to Section C, again, of the

1 leaking code relief valve procedure, which is page 4 of the
2 pressurizer system failure procedure.

3 Do you have that in front of you?

4 A. Yes, I do.

5 Q. Page 4, your Honor.

6 Directing your attention to the follow-up action
7 section under C.3.

8 Do you see what number three says?

9 A. Yes, I do.

10 Q. Would you read that to the court, please.

11 A. "Place code relief discharge line temperatures
12 on analogue trend recorder."

13 Q. You knew that was something you were supposed to
14 do if you thought you had a leaking code, right?

15 A. That was part of the follow-up action to that
16 procedure, yes.

17 Q. Did you do that?

18 A. We did not. We were monitoring it daily.

19 MR. FISKE: I move to strike the last part of
20 the answer.

21 THE COURT: Yes, strike it out.

22 MR. KLINGSBERG: Your Honor, I object to that.

23 If the witness adds a bit of explanation, what's the point
24 of striking it out and making me come back and ask that
25 question on redirect? It certainly adds to the total of

1 the picture. There is no jury that is going to be
2 prejudiced by it.

3 THE COURT: No. Let's go along each in his turn
4 here and deal with this in that fashion.

5 Q. Now, Mr. Zewe, looking at the diagram of the
6 control room one more time as soon as we remove panel four,
7 there is a picture of a computer, correct? There in the
8 last panel down to the left?

9 A. Yes.

10 Q. Can you show the court where that appears on the
11 schematic?

12 THE COURT: I can see it from here. Computer
13 console?

14 MR. FISKE: Fine.

15 Q. Those four windows on the left, what are those?

16 A. Analogue trend recorders.

17 Q. And if you put the temperature of a discharge
18 line on an analogue trend recorder, what happens?

19 A. It records exactly like the other recorders in
20 the control room that you would then ask for the scale and
21 the particular point and then it would printout on the ink
22 recorder there what that value was.

23 Q. So that if you had had that on the morning of
24 March 28, 1979, if the temperatures had been on an analogue
25 trend recorder, you would have had a moving, flowing line

1 showing you whether the temperatures were going up or going
2 down or staying constant during the course of the accident,
3 right?

4 A. Yes, I would have.

5 Q. Now, I'd like to --

6 THE COURT: I gather what you are saying there
7 or hypothesizing that all three were leaking if the PORV
8 had been stuck it would have elevated above the other two;
9 that's what you are urging upon the court by that?

10 MR. FISKE: Well, I think, your Honor, that is
11 what the temperature fact had shown.

12 THE COURT: That's what the recorder would have
13 shown had it been in operation. Is that what would have
14 happened, if that's what Mr. Fiske is getting at?

15 Can you put all three of the valves on this
16 recorder? If you had all three of the valves on this
17 recorder and they were all leaking but then one stuck open,
18 that one would go higher than the other two, right?

19 THE WITNESS: It should have, yes, your Honor.

20 THE COURT: Okay.

21 Q. Now, let's go back to this pressurizer system
22 failure procedure.

23 THE COURT: Needless to say, you would have
24 recognized it would have gone higher than the other two and
25 we would have spotted the culprit, if we could put it that

1 way?

2 THE WITNESS: I would hope so, yes.

3 Q. Let's go back, Mr. Zewe, to this pressurizer
4 system failure procedure and, first of all, if I could just
5 take a minute and have you look at the cover of the first
6 page.

7 There are some signatures on the front page in
8 the lower right-hand corner.

9 Could you tell us who those gentlemen are? It
10 says Unit 2 PORC recommends approval and then there is a
11 signature. Who is that?

12 A. John Hilbish.

13 Q. And underneath that Unit 2 superintendent
14 approval and there is a signature there. Who is that?

15 A. James Seelinger.

16 Q. Then there is a date for each one, September
17 28th and 29th, 1978? Right?

18 A. Yes.

19 Q. And that indicates the date upon which this
20 particular revision of the procedure became effective,
21 right?

22 A. Yes, sir.

23 Q. And there is no question that this procedure is
24 the utility's procedure, is there? Met Ed's procedure,
25 right?

1 A. Yes, sir.

2 Q. Now, it is also true, isn't it, that if you look
3 at each particular page, as you go through this procedure,
4 that tells you the date upon which that particular page of
5 the procedure had been most recently revised; isn't that
6 right?

7 A. Yes, sir.

8 Q. So if we look at Section A, which is the leaking
9 pilot-operated relief valve section and Section B, which is
10 the inoperative pilot-operated relief valve, on each you
11 see the date June 22nd, 1977, right?

12 A. Yes.

13 Q. That demonstrates, does it not, that as of
14 September 1978 the last revision to either one of those two
15 pages was June of 77?

16 A. Yes.

17 Q. Now, I think Mr. Klingsberg went over with you
18 briefly the methodology by which these procedures were put
19 together at Met Ed and I'd just like to go back over one
20 aspect of that for a moment.

21 It is true, is it not, that it was part of the
22 methodology for putting these procedures together that the
23 operators, including yourself, were given a draft of the
24 proposed procedure in order to give you an opportunity to
25 review it and be sure that you were satisfied with it, am I

1 right?

2 A. We were given a draft procedure for us to look
3 over it to insure that it had the right nomenclature and
4 that it fit our plant, yes.

5 Q. I am going beyond whether they had the right
6 numbers for the valves, Mr. Zewe. I am asking you about
7 the substance of the procedure.

8 You understood, did you not, that these were the
9 procedures that you and the other operators were going to
10 have to use in running the plant in the case of an
11 emergency, right?

12 A. Yes, sir.

13 Q. And you knew that you were being given these
14 procedures so that you would have an opportunity to make
15 comments before they became final on any aspect of the
16 procedure which when you read it you felt could be improved
17 so that it would be more useful to the operators in dealing
18 with an emergency, right?

19 A. Based on our experience, yes.

20 Q. Now, going to this period of time before June of
21 1977, when these two sections of this procedure became
22 final, you knew then, did you not, that Section B of this
23 was designed, among other things, to tell the operators
24 what the symptoms would be for a stuck open or a failed
25 open pilot-operated relief valve?

1 A. This was designed for that purpose, yes.

2 Q. And was it important to you in this period of
3 time before June 1977 that you be able to properly diagnose
4 an open PORV if that event occurred?

5 A. Yes, it was.

6 Q. Was it important to you at that time to be able
7 to tell the difference between a PORV that had stuck open
8 and a PORV that had opened and closed in the normal manner?

9 A. Would you read that back, please?

10 THE COURT: Read it back.

11 (Record read)

12 A. It was important for me to reach that conclusion
13 to apply the procedure, yes.

14 Q. Did you ever tell anybody at Met Ed at any time
15 before June of 1977 that this procedure was no good because
16 you couldn't tell the difference from these symptoms
17 between a PORV that had stuck open and a PORV that had
18 opened and closed in the normal manner?

19 A. I did not.

20 Q. Now, there was the first -- under Section B
21 which is the inoperative pilot-operated relief valve, B-1,
22 symptoms, number three says, "RCR2 discharge line
23 temperature is above the 200 degree Fahrenheit alarm".

24 Do you see that?

25 A. Yes, I do.

1 Q. And you knew that was in that procedure as a
2 symptom of a pilot-operated relief valve that had opened
3 and failed to close, right?

4 A. Yes.

5 Q. You knew that for several weeks, months,
6 whatever, before the accident the PORV temperatures had
7 been elevated and instead of being what is referred to in
8 the procedure as the normal 130 degrees, they had been up
9 around 195 or 200 during normal operations, right? You
10 knew that?

11 A. 190, 195, yes.

12 Q. Did you tell anybody at Met Ed at any time
13 before the accident that they should revise this symptom to
14 change that 200 degree number in light of the fact that the
15 temperatures had been elevated very close to that number
16 during normal operations because of leakage from some valve?

17 A. I did not.

18 Q. Now, I think you said in response to one of Mr.
19 Klingsberg's questions that on the morning of the accident
20 you would have expected the temperatures in the discharge
21 line from a stuck open PORV to be 400 degrees; do you
22 remember that?

23 A. Yes, I do.

24 Q. Did you ever tell anybody at any time before the
25 accident that they ought to change that 200 degree number

1 and make it 400 degrees?

2 A. I did not.

3 Q. Now, you know today, do you not, Mr. Zewe, that
4 on the morning of the accident, March 28, 1979, there in
5 fact was a stuck open PORV for a period of approximately
6 two hours and 20 minutes?

7 A. That the valve was stuck partially open or full
8 open, I don't know that, yes.

9 Q. Now, directing your attention to the GPU
10 chronology which Mr. Klingsberg marked as Exhibit 2079, do
11 you have that in front of you?

12 A. No, I don't.

13 Q. Maybe one of Mr. Klingsberg's faithful
14 assistants can give you that back. 2079.

15 A. I have that document.

16 Q. And this is the official GPU chronology, right?

17 A. Yes, sir, that's my understanding.

18 Q. I would like to direct your attention to page 32,
19 the first item that appears there at 4:24:58, do you see
20 that?

21 A. Yes, I do.

22 Q. "The Unit 1 supervisor requested the computer to
23 print the outlet temperatures of the PORV and the two codes.
24 Respective valves 285.4, 263.9 and 2.75 were indicated".

25 Do you see that?

1 A. You said 2. --

2 THE COURT: 2.75 -- 275.1.

3 Q. 275.1. Do you see that?

4 A. Yes, sir.

5 Q. Those were the numbers that the computer printed
6 out the first time, correct, about 4:24, 4:25?

7 A. Yes, sir.

8 Q. Directing your attention to page 39, the last
9 item there, which is 5:20:31. Do you see that? Do you see
10 that item at the bottom, Mr. Zewe?

11 A. Yes, I do.

12 Q. The Unit 1 shift supervisor requested the
13 computer print the outlet temperatures again of the three
14 valves. The recorded values were 283.0 Fahrenheit, that's
15 for the PORV, right?

16 A. Yes.

17 Q. 211.3 Fahrenheit and 218.6 Fahrenheit,
18 respectively. Do you see that, Mr. Zewe?

19 A. Yes, sir, I do.

20 Q. And that's what the computer printed out the
21 second time at about 5:20, according to the GPU chronology,
22 right?

23 A. Yes.

24 Q. So according to the GPU chronology the two
25 temperatures printed on the computer at 4:24 and 5:20 were

1 285 and 283 for the PORV, right?

2 A. Yes, sir.

3 Q. And the number that was printed out the third
4 time after Mr. Mehler arrived in the control room and asked
5 for the temperatures was no higher than 283 or 285, was it?

6 A. I don't recall what the temperature was. I
7 don't think that it was any higher but I don't recall what
8 it was.

9 MR. FISKE: Your Honor, for your information on
10 tab 5, the diagram of the pressurizer system discharge
11 piping, we have the temperatures for each of the three
12 valves on each of the three occasions, which comes from the
13 chronology in the computer printout.

14 Q. Are you looking for the third temperature, Mr.
15 Zewe, in the chronology?

16 A. Yes, sir.

17 Q. I think you'll find it is 229 for the PORV --
18 239 -- 229 for the PORV, 190 for code 1-A and 194 for code
19 1-B.

20 A. Thank you.

21 Q. So it is correct, is it not, Mr. Zewe, that the
22 official GPU chronology reflects after the fact that for an
23 event in which there was a stuck open PORV the highest
24 temperature recorded at any time on the computer it was
25 asked for by an operator was 285 degrees?

1 A. Yes.

2 Q. Now, it is also true, is it not, Mr. Zewe, that
3 prior to March 28, 1979, you'd never seen a temperature at
4 TMI-2 on the discharge line of 400 degrees for a stuck open
5 PORV, had you?

6 A. I had not.

7 Q. Now, let's go back again to these symptoms in
8 the inoperative pilot-operated relief valve section of the
9 pressurizer system failure procedure. I direct your
10 attention to symptoms three and four.

11 Symptom three is when a discharge line
12 temperature is above the 200 degree Fahrenheit alarm, do
13 you see that?

14 A. Section B-1-3?

15 Q. Yes.

16 A. Yes, I do.

17 Q. You have already testified that you understood
18 that that was a symptom of an open PORV rather than a
19 closed PORV, correct?

20 A. Yes.

21 Q. I think you testified that you thought before
22 the accident that if there was a normal opening and closing
23 of the PORV the temperature in the discharge line would go
24 up to 400 degrees and then would cooldown after the valve
25 closed, correct?

1 A. That's what I expected, yes.

2 Q. Now, you hadn't seen that, had you, on any
3 incident at TMI-2 before the accident?

4 A. No, I hadn't.

5 Q. Now, you were aware, were you not, before the
6 accident that a way that you could tell the difference
7 between how the system would react or the temperatures
8 would react for a stuck open PORV and a PORV that opened
9 and closed in the normal fashion would be to actually
10 determine what the temperatures were for a normal opening
11 and closing of the PORV, how high they went and how quickly
12 they cooled down again below the alarm point, you knew that
13 was possible to do, didn't you, before the accident?

14 A. Yes. I believed that it was possible, yes.

15 Q. Now, there were incidents right there at TMI-2
16 in the year before the accident in which you had had normal
17 openings and closings of the PORV, right?

18 A. Yes.

19 Q. And the capability existed to determine how high
20 the temperatures went and how quickly they came down,
21 correct?

22 A. That existed, yes.

23 Q. Did anyone in the Met Ed training department or
24 Mr. Floyd or anyone else in the operations department tell
25 you that on April 19, 1978, there had been a PORV opening

1 and closing in which the first temperature and alarm
2 registered was 212.9 degrees Fahrenheit and that within 37
3 minutes after the PORV closed there had been a reading of
4 192.6?

5 A. I was not aware of that information, no.

6 Q. Did anyone in the Met Ed training department or
7 Mr. Floyd or anyone in the operations department tell you
8 that there was an incident in October 14, 1978, right there
9 at TMI-2 in which there had been a normal opening and
10 closing of the PORV in which the first temperature and
11 alarm was 201.3 and that within 36 minutes after the PORV
12 closed the temperature had dropped to 192.3 Fahrenheit?

13 A. No.

14 Q. Did anybody tell you in the Met Ed training
15 department or in the Operations Department that on January
16 15th, 1979, that in the normal opening and closing of the
17 PORV the first temperature and alarm had been 214 degrees
18 Fahrenheit and that within five minutes the temperature had
19 fallen to 192.3?

20 MR. KLINGSBERG: Within five minutes of what?

21 MR. FISKE: Within five minutes at the time that
22 the temperature was at 214.

23 THE COURT: 214.

24 MR. KLINGSBERG: After it closed?

25 MR. FISKE: You can have it close any time you

1 want in those five minutes. I don't think it makes any
2 difference.

3 Q. Did anybody ever tell you that, Mr. Zewe, that
4 on January 15th, 1979, in a third situation at Met Ed where
5 there had been a normal opening and closing of the PORV
6 that within five minutes after the temperature was 214 it
7 had come down to 192?

8 A. No.

9 Q. Now, Mr. Klingsberg asked you whether it would
10 have been helpful to you on the day of the accident to have
11 the Bert Dunn instructions.

12 Do you think it would have been helpful to you
13 to have this information on the day of the accident?

14 A. Yes. I believe it would have, yes.

15 Q. Now, let's go look to the next symptom. B-1,
16 paragraph four.

17 It says "The RC drain tank pressure and
18 temperature are above normal on the control panel
19 8-A" --

20 THE COURT: Mr. Fiske, would you start again,
21 please?

22 MR. FISKE: Sure.

23 Q. The next symptom, which is paragraph four under
24 B-1, it says, "The RC drain tank pressure and temperature
25 were above normal on control panel 8-A."

1 Do you see that, Mr. Zewe?

2 A. Yes.

3 Q. That's the panel they had up for a few minutes
4 during your direct examination back here?

5 A. Yes, it was.

6 Q. Now, you did know, did you not, before the
7 accident that one way you could tell the difference between
8 whether you had a normal opening and closing of the PORV or
9 whether you had a stuck open PORV was by how high the
10 pressure and temperature went above normal on the control
11 panel?

12 A. In relationship to the trends, yes, depending on
13 how long it lifted and what a normal lift was and what the
14 temperature and level was in the drain tank, a comparative
15 type analysis, yes.

16 Q. Now, I think you may or may not have already
17 testified, that the tank under normal operations, the
18 pressure was zero, right?

19 THE COURT: This is the drain tank?

20 MR. FISKE: Drain tank, yes, sir.

21 Q. In the drain tank the pressure was zero?

22 A. Yes.

23 Q. And there was a relief valve that was supposed
24 to lift when the pressure reached 150, is that correct,
25 PSIG?

1 A. That is correct.

2 Q. And then there was a rupture disc that was
3 supposed to blow if the pressure got higher than 150, right?

4 A. Yes.

5 Q. And you knew that -- what was the pressure at
6 which the rupture disc was supposed to blow?

7 A. 200 pounds.

8 Q. And so that the tank was designed that the first
9 thing that would happen as pressure went up, is that the
10 relief valve would lift, correct, when you got to 150?

11 A. Yes.

12 Q. And then when that wasn't enough, even with the
13 relief valve lifting and the pressure continued to go up
14 and you got up to 200, then the rupture disc would blow,
15 right?

16 A. That is correct.

17 Q. And the tank was designed, was it not, so that
18 the rupture disc was the weakest part in the tank so that
19 would go first on this kind of overpressurization?

20 A. That was designed to protect the rest of the
21 tank, yes.

22 Q. Now, you knew before the Three Mile Island
23 accident, Mr. Zewe, that you wouldn't have expected the
24 rupture disc on the drain tank to blow every time the PORV
25 opened and closed in a normal manner, right?

1 A. Yes.

2 Q. And you wouldn't have expected the relief valve
3 to lift if all that had happened was that the PORV had
4 opened and closed in a normal manner, right?

5 A. Here, again, normal manner depends. I would not
6 expect that to be the case though, you are right.

7 Q. Now, one more thing on this procedure, Mr. Zewe.
8 Look down at the immediate action section, B-2
9 and paragraph two it talks about a failed open RCR2, do you
10 see that?

11 A. Yes, I do.

12 Q. That's the PORV, RCR2, right?

13 A. Yes, it is.

14 Q. And the first thing, one automatic action is all
15 pressurizer heater banks go on full when the pressure drops
16 below 2205, that happens automatically, right?

17 A. Yes, it does.

18 Q. Number C, that in a case of a failed open PORV,
19 one of the automatic actions that will occur is that high
20 pressure injection will come on at 1600 PSIG, right?

21 A. That's the -- yes.

22 Q. So you knew, again, before the Three Mile Island
23 accident that one of the automatic actions you would expect
24 to see after you have a failed open pilot-operated relief
25 valve is high pressure injection coming on if pressure

1 drops as far as 1600, right?

2 A. Yes.

3 Q. Now, Mr. Klingsberg in his direct examination
4 asked you whether after every normal opening and closing of
5 the PORV, isn't it true that the pressure drops down below
6 2205, do you remember him asking you that?

7 A. Yes.

8 Q. And that's the 2205 which is the point at which
9 the PORV is supposed to close, right?

10 A. Yes.

11 Q. That happens on virtually every reactor, right?

12 A. No.

13 Q. Well --

14 A. That the PORV opens and closes or that you go
15 below 2205?

16 Q. After you have had a reactor trip which has
17 opened the PORV, the pressure will come down below 2205 at
18 some point?

19 A. Yes.

20 Q. And Mr. Klingsberg said you wouldn't expect to
21 close the block valve every time the pressure drops below
22 2205, do you remember him asking you that?

23 A. Yes, I do.

24 Q. On a normal reactor trip, in a normal opening
25 and closing of the PORV where it opens the way it is

1 supposed to and closes the way it is supposed to and you
2 don't have a failed open PORV, do you expect pressure to
3 drop to 1600 all the way to the point where HPI comes on?

4 A. When you say normal reactor trip --

5 Q. Scratch that.

6 When I have a normal opening and closing of the
7 PORV, pressure goes up to 2255, the PORV opens, it drops
8 back down below 2205 and the PORV closes. In that
9 situation, you don't expect pressure to keep dropping all
10 the way down to the point where high pressure injection
11 comes on, do you?

12 A. No, because the reactor is still operating at
13 that point because you have stopped the pressure rise and
14 you never had a reactor trip.

15 Q. Well, all right, let's do it again with a
16 reactor trip.

17 The PORV opens, pressure continues to go up, it
18 trips the reactor --

19 THE COURT: Trips the valve.

20 MR. FISKE: The reactor shuts down. Maybe I
21 went too fast.

22 THE COURT: My fault. I thought I didn't
23 understand.

24 Q. The first thing you have is pressure goes up at
25 2205 and the PORV opens. Pressure continues to go up to

1 2355, that's the reactor trip set point, right?

2 A. Yes.

3 Q. Now, the reactor trips. Now pressure starts
4 coming down, pressure gets below 2205, the PORV is supposed
5 to close, right?

6 A. Yes.

7 Q. The PORV does close, just the way it is supposed
8 to, right? Are you with me so far?

9 A. I understand what you are saying, yes.

10 Q. Now, at that point you would not expect with a
11 PORV closed pressure to continue to drop all the way down
12 to 1600 when high pressure injection comes on, would you?

13 A. As long as there was no other upset that would
14 cause that.

15 Q. So it is fair to say, isn't it, Mr. Zewe, that
16 in a situation -- looking, again, at this pressurizer
17 system failure procedure -- where you saw temperatures
18 above 200 degrees Fahrenheit on the thermocouple, drain
19 tank pressure and temperature had increased to the point
20 where the relief valve had lifted, where the rupture disc
21 had blown and pressure had dropped all the way to the point
22 where high pressure injection came on, you'd know that
23 there was something more going on than a normal opening and
24 closing of the PORV, right?

25 A. You have a lot of things in that.

1 Q. Well, I only have the three things right out of
2 the procedure.

3 Let's start again. Number three, under symptoms.
4 RCR2 discharge line temperature is above 200 degrees
5 Fahrenheit, that's number one.

6 A. Okay.

7 Q. The next thing is RC drain tank pressure and
8 temperature are above normal and we have a situation in
9 what I am putting to you where the temperature is over 200
10 and the drain tank pressure has gone so high that a relief
11 valve has lifted or the rupture disc has blown in the drain
12 tank and the third thing I'm putting into it, right out of
13 the procedure, is automatic action A-2-C, that as a result
14 of whatever is going on up at the top of the system with
15 valves and the pressurizer, high pressure injection
16 pressure has dropped all the way to the point where high
17 pressure injection is coming on at 1600 PSIG.

18 So you have got temperatures over 200, you have
19 blown the rupture disc on the drain tank and pressure has
20 come all the way down to where high pressure injection is
21 coming on.

22 My question to you is, before the accident, you
23 knew that that kind of a situation wouldn't result from a
24 normal opening and closing of the PORV?

25 A. If that was the only thing that you considered,

1 yes.

2 THE COURT: Mr. Fiske, it is about 5 o'clock.

3 MR. FISKE: I have one more question and we'll
4 be through with this but I'll stop now if you would like.

5 THE COURT: You finish the subject.

6 Q. I'd like to go back to one answer that you gave
7 the other day, Mr. Zewe, and I'm reading now from page 2017.
8 Again, a question from the court, where the court was
9 referring to the same section of the pressurizer system
10 failure procedure, Section B, the inoperative
11 pilot-operated relief valve, page 2017. In the middle of
12 the page about line 12. Where the court says.

13 "You have B-2" -- referring to symptom B-2
14 RCR2 system is below 2205, PSIG and RC25 fails to close.

15 The court says: "You have B-2"-- and you
16 interrupted and say.

17 "The Witness: Your Honor, B-2. How do I know I
18 had that by itself as a separate --

19 "The Court: Your pressure was down, was it not?

20 "The Witness: Yes, but that in and of itself
21 does not mean the valve failed to close. I think that the
22 reference here is that your indicating light shows that it
23 did not close at set point when it should have otherwise.
24 I cannot show how I could use that as a symptom."

25 Do you remember giving that answer to the court

1 last week, Mr. Zewe?

2 A. Yes.

3 Q. When was the indicating light installed at TMI-2?

4 A. I don't recall the date but sometime after April
5 of 1978.

6 Q. This procedure became final on June 22, 1977,
7 right?

8 A. Yes.

9 Q. This language in B-2 RC system pressure is below
10 2205 PSIG and RCR2 fails to close was printed in that
11 procedure nine months before there was any light, isn't
12 that correct?

13 A. Yes, it was.

14 Q. Isn't it a fact that after the light was
15 installed no change was made to this procedure at all?

16 A. Yes.

17 Q. There was an amendment to the pressurizer system
18 failure procedure in another section, was there not, in
19 September of 1978?

20 I am referring you to page 5; is that correct?

21 A. Yes.

22 Q. And at the time that page of the procedure was
23 changed in September 1978 nothing was added to the section
24 dealing with a stuck open pilot-operated relief valve to
25 make any reference to a light at all, isn't that right?

1 A. That is correct.

2 MR. FISKE: That's all I have, your Honor.

3 THE COURT: We'll be in recess until 10:15 in
4 the morning.

5 MR. FISKE: Your Honor, I think Mr. Klingsberg
6 and I would like to see you with respect to the matter that
7 I brought up the other day at the end of the testimony of
8 another witness.

9 THE COURT: Very good. You want to come up to
10 the side bar or do you want --

11 MR. FISKE: Perhaps we could excuse the witness.

12 THE COURT: We'll excuse you, sir.

13 (Witness excused)

14 MR. FISKE: I asked your Honor earlier about
15 your practice with respect to instructing witnesses not to
16 confer during the course of the cross-examination and you
17 said on previous cases you had done that where it was
18 appropriate.

19 I think it is appropriate in the case of this
20 witness and I would ask for that instruction.

21 Mr. Klingsberg just handed me this afternoon
22 this memo which I haven't had a chance to read yet let
23 alone respond to. But if your Honor thinks it needs a
24 response, we will do it.

25 THE COURT: I looked at it during the recess and

1 I am familiar with these cases.

2 MR. KLINGSBERG: May I be heard?

3 THE COURT: You may.

4 MR. KLINGSBERG: Your Honor, I have two problems
5 with the request.

6 Number one, the redirect is going to require
7 ongoing preparation and at times that may require
8 consultation with the witness. That, certainly, as to
9 matters that have been passed, with regard to preparation
10 for the redirect, it certainly would be extremely useful to
11 be able to prepare that during the next few days. I would
12 agree, and I told Mr. Fiske, that if at a recess, for
13 example, he's in the middle of a subject, I'm perfectly
14 agreeable not to discuss that subject with the witness.

15 If we have subjects that we haven't reached at
16 all and we're not even close to, this witness, has, for
17 example, a very unusual amount of testimony, as do all the
18 witnesses in this case, you have heard about the Kemeny
19 Commission, the Hart Committee, et cetera, et cetera, and I
20 think the witness should be allowed the time, which he
21 hasn't had, as thoroughly as he might because he has a job
22 to do and he's been working on the preparation of the
23 direct under the guidance of counsel to review some of that,
24 as in the deposition proceedings for his own protection.

25 The Potashnick case and the Supreme Court case

1 by Chief Justice Burger, and the Geders case, indicate that
2 it is very important for the protection of a witness to
3 have the advice of counsel where you have testimony
4 stretching over long periods of time just as Justice Burger
5 indicates there are ways to deal with this so you don't
6 have counsel speaking to a witness in the middle of a
7 morning recess about something that's ongoing.

8 He suggests stretch out the examination so you
9 don't have a subject break in the recess. I'm not even
10 going to ask him to do that.

11 I'm merely saying that on subjects that even
12 haven't been reached at all, for the protection of the
13 witness, he should be entitled to be able to do preparation
14 under the guidance of counsel. There are an awful lot of
15 documents and an awful lot of prior testimony which he
16 should be reviewing in order to protect himself in this
17 case and I don't see why he shouldn't be able to do that.

18 MR. FISKE: Just two brief responses.

19 First of all the theory -- you just don't take
20 the case in little blocks and pigeon hole them. All of
21 these things interrelate to each other.

22 Secondly, if he wants to read his prior
23 testimony, I have no objection to that. We can give him
24 all of his prior testimony to read to his heart's content.

25 MR. KLINGSBERG: It would take six months to do

1 that.

2 MR. FISKE: Third, with respect to the redirect,
3 as I said with regard to Mr. Arnold, we wouldn't ask for
4 that kind of response to delay the redirect. When we get
5 near the end of the cross-examination, I will be perfectly
6 willing to allow --

7 THE COURT: We can do redirect after we pass on
8 to other witnesses or any other number of ways.

9 MR. KLINGSBERG: I don't know if your Honor has
10 had a chance to read the memorandum.

11 THE COURT: I did. I did read the memorandum.

12 MR. KLINGSBERG: I was going to suggest if you
13 wanted to cogitate over the matter overnight we would be
14 willing to forego this evening if there was further thought
15 that your Honor wanted to give to us. But even this
16 evening, I think, the witness should have the opportunity,
17 if he wants to, and I think he's entitled to under the
18 constitution, as is indicated in these cases, to have the
19 guidance of counsel.

20 I mean the indication is that where the sixth
21 amendment right to counsel conflicts with other exigencies
22 that must govern. Mr. Fiske says he should go ahead and
23 read testimony. It would take him six months to read all
24 the testimony. He can't possibly do it unless he has
25 guidance of counsel as to what areas we think are likely to

1 come up and what testimony and documents he should read to
2 be thoroughly knowledgeable.

3 THE COURT: That's exactly where I am concerned
4 because then he is being focused on things by lawyers and
5 it seems to me the interest of justice in this, as in every
6 case, mandate that we get his present recollection on
7 subjects uninfluenced by any outside input of a steering
8 kind, which this necessarily will be.

9 If it gets down to a question of your preparing
10 for redirect and if we have to take an adjournment for it
11 and we have to defer his redirect and take another witness
12 intervening before you get to redirect so you have time or
13 there could be any other number of ways, I think it
14 important that he not be in a position where he may say
15 tomorrow that he is affected by conversations where he said,
16 "what did I about this", and then things are picked out for
17 him that you all pick out, based upon what you are
18 suggesting to me rather than him giving us his best
19 recollection.

20 MR. KLINGSBERG: This is just what Justice
21 Burger says.

22 THE COURT: That's a criminal case and, frankly,
23 it was between direct and cross. The cross hadn't even
24 begun, as I remember, in that case. So we're into cross.

25 MR. KLINGSBERG: Chief Justice Berger says:

1 "It is common practice during such (overnight)
2 recesses for an accused and counsel to discuss the events
3 of the day's trial. Such recesses are often times
4 intensive work, with tactical decisions to be made and
5 strategies to be reviewed. The lawyer may need to obtain
6 from his client information made relevant by the day's
7 testimony, or he may need to pursue inquiry along lines not
8 fully explored earlier. At the very least, the overnight
9 recess during the trial gives the defendant a chance to
10 discuss with counsel the significance of the day's events.
11 Our cases recognize that the role of counsel is
12 important" --

13 THE COURT: That's where the witness who is
14 involved is a defendant where his liberty is at stake.

15 MR. KLINGSBERG: The Potashnick case, which
16 applies that rule, where it is a civil case where the court
17 held that the right to counsel was equally important and
18 applicable and it certainly is my suggestion this right to
19 counsel is applicable in a civil case.

20 THE COURT: I will grant Mr. Fiske's application.

21 MR. FISKE: I assume this would mean, this is no
22 communications directly or indirectly. In other words --

23 THE COURT: Very well. I am instructing them.

24 MR. KLINGSBERG: I think the reference in the
25 Allen case, in the Supreme Court, which is cited in our

1 brief to the effect that the danger asserted is that
2 counsel's advice may significantly shape or alter the
3 giving of further testimony by the defendant that will be
4 untrue or tailor a distortion or arrangement of the truth.
5 We think that apprehension is greatly exaggerated. Such a
6 fear rests upon more cynicism than is justified by the
7 performance of the bar.

8 I certainly think that in this case, which is a
9 technological case involving scientific evidence that
10 there is certainly no --

11 THE COURT: It is, but another part of it is not.

12 One of the things that is troublesome here is
13 that Mr. Fiske tells me that this man on several occasions
14 testified to turning on the HPI prior to 6:18 in the
15 morning.

16 MR. FISKE: He certainly did.

17 THE COURT: And there is, from what you tell me,
18 there is apparently going to be a witness or witnesses and
19 it is your effort through these exhibits that I felt
20 obliged to exclude to indicate that notwithstanding he said
21 that he is being -- it is being suggested to him that he is
22 quite possibly in error, if I can put it that way.

23 MR. FISKE: That's exactly what is happening.

24 THE COURT: It seems to me you have got to get
25 what he says and not what people may be suggesting to him

1 is what he said or picking out for him to focus on things
2 as to what he said. This is not a technical case. A lot
3 of this is a detective story.

4 MR. KLINGSBERG: You take, for example, on that
5 very point that the witness testified from his recollection
6 it was sometime after 5:40 he couldn't place the time.

7 THE COURT: But I gather elsewhere that he said
8 he could place the time.

9 MR. FISKE: Two weeks after the accident he
10 could place the time, now three and a half years later he
11 can't.

12 MR. KLINGSBERG: We had testimony in the
13 deposition which I pulled out over the weekend after
14 pouring over this, where Mr. Fiske shows him prior
15 testimony and starts in the middle of a point where the
16 witness has an answer. The very point before that somebody
17 says it was after the block valve was closed and the
18 witness says, "that's when the HPI was put on". Mr. Fiske
19 leaves out that part before that.

20 MR. FISKE: That's not true.

21 MR. KLINGSBERG: That's the very kind of reason
22 that a witness needs a lawyer to defend him in order to
23 guard against that kind of situation.

24 MR. FISKE: I ask Mr. Klingsberg to bring the
25 transcript over now and show where it happened.

1 MR. KLINGSBERG: I will do that right now.

2 THE COURT: There are two ways to handle it.

3 You either are on the offense screaming or you can handle
4 it on redirect. The short answer is I will impose the
5 order that Mr. Fiske requested.

6 MR. KLINGSBERG: Mr. Fiske did indicate before
7 we came up where we tried to resolve this thing, if we had
8 the Thanksgiving weekend and he was almost or not quite
9 through that we could take another look at this.

10 MR. FISKE: I'm willing to revisit it depending
11 on where we are Wednesday.

12 (Open court)

13 THE COURT: Mr. Zewe, we are recessing for the
14 evening. You are on cross-examination and in order that
15 the court be the beneficiary of nothing but your best
16 recollection as to matters while you are on the witness
17 stand, I am going to issue a direction that, certainly,
18 until further application to me, that until your
19 cross-examination is over, you are not to talk to anybody
20 about the substance of your cross-examination.

21 In other words, if you go to dinner with the
22 lawyers tonight, which you may very well do, you are not to
23 talk about what you are being asked about in the case. You
24 can talk about the renewal of the football season, you can
25 speculate on who is going to within the Super Bowl, you can

1 talk about where you are going for Thanksgiving dinner, but
2 you are not to talk about the case and I am directing that
3 they not talk to you about the case either directly or
4 indirectly. This is so that you will not -- you will be
5 giving me your best recollection of things as you sit here
6 today or tomorrow or the next day. Okay.

7 THE WITNESS: Yes, sir.

8 THE COURT: Thank you very much. I will see you
9 tomorrow at 10:15.

10 (Whereupon the evening recess was taken)

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

WITNESS INDEX

<u>Name</u>	<u>Direct</u>	<u>Cross</u>	<u>Redirect</u>	<u>Recross</u>
William H. Zewe (Resumed)	2138	2227		

EXHIBIT INDEX

<u>Plaintiffs</u>	<u>Identification</u>	<u>In Evidence</u>
2067		2183
2072		2195
2070		2197
2017, 2018, 2019		2218
2020, 2021, 2068		2218
2084, 2086		2218
181		2227