

CF

Transcript of Proceedings

UNITED STATES OF AMERICA

NUCLEAR REGULATORY COMMISSION

Before the Atomic Safety and Licensing Board

DEPOSITION OF LYMAN WAGNER HELLER

SD-329

Bethesda, Maryland

Thursday, 4 December 1980

ACE - FEDERAL REPORTERS, INC.

Official Reporters

444 North Capitol Street
Washington, D.C. 20001

NATIONWIDE COVERAGE - DAILY

Telephone:
(202) 347-3700

8101100776 XA

5758
of 2
Bloom/wb

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

UNITED STATES OF AMERICA

NUCLEAR REGULATORY COMMISSION

Before the Atomic Safety and Licensing Board

-----+

In the matter of:	:	
CONSUMERS POWER COMPANY	:	Docket Nos. 50-329-OM
(Midland Units 1 and 2)	:	50-330-OM
	:	50-329-OL
	:	50-330-OL

-----+

DEPOSITION OF LYMAN WAGNER HELLER

Bethesda, Maryland

Thursday, 4 December 1980

Deposition of LYMAN WAGNER HELLER was resumed,
pursuant to agreement of counsel, at 11:15 a.m., in Room P-114,
Phillips Building, 7920 Norfolk Avenue, Bethesda, Maryland,
before William R. Bloom, a notary public in and for the District
of Columbia, when were present on behalf of the respective
parties:

On behalf of the Applicant:

RONALD ZAMARIN, Esq. and ALAN FARNELL, Esq.,
Isham, Lincoln and Beale, One First National
Plaza, Chicago, Illinois

JAMES E. BRUNNER, Esq., Consumers Power Company,
212 W. Michigan Avenue, Jackson, Michigan

wb

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

On behalf of the Regulatory Staff:

WILLIAM D. PATON, Esq. and BRADLEY JONES, Esq.,
Office of Executive Legal Director,
United States Nuclear Regulatory Commission,
Washington, D. C.

eb

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

C O N T E N T S

<u>Witness</u>	<u>Direct</u>	<u>Cross</u>	<u>Redirect</u>	<u>Recross</u>
Lyman Wagner Heller		142		

<u>Exhibits</u>	<u>For Identification</u>
-----------------	---------------------------

7	217
8	218

P R O C E E D I N G S

Whereupon,

LYMAN WAGNER HELLER

resumed the stand and, having been previously duly sworn,
was examined and testified further as follows:

MR. ZAMARIN: This is the continuation of the
deposition of Lyman Heller, continued from October 9th, 1980,
to this date by agreement of the parties.

CROSS-EXAMINATION (Continued)

BY MR. ZAMARIN:

Q Mr. Heller, do you refer to yourself as Mr. Heller
or Dr. Heller?

A It's your option.

Q All right.

I don't even call my doctor Doctor, so I'll refer
to you as Mr. Heller, and I don't intend any disrespect by
that, if you refer to yourself as Dr. Heller.

You understand you are still under oath from the
first session of the deposition, sir?

A Yes.

Q Do you know whether it is intended that you will
provide testimony at the OM hearing?

eb2

1 A I guess I'm not sure what an OM hearing is.

2 MR. PATON: Now you know they've been consolidated.

3 It's OM/OL.

4 MR. ZAMARIN: Well, he may provide-- I assume he

5 may be provided at the OL on some other issue.

6 BY MR. ZAMARIN:

7 Q The soils settlement hearing. Do you know if

8 there is any intention of you providing testimony at the

9 soils settlement hearing?

10 A I honestly don't know.

11 Q Okay.

12 MR. ZAMARIN: Do you know? This may have some

13 effect on how wide our inquiry is. You don't know?

14 MR. PATON: Off the record.

15 (Discussion off the record.)

16 MR. ZAMARIN: Back on the record.

17 BY MR. ZAMARIN:

18 Q What is your primary role or responsibility in

19 the Midland soil review issue?

20 A I think it has changed over the last year and a

21 half. I'm not sure whether you mean now, or at some other

22 point in time. Would you clarify that?

eb3

1 Q Yes.

2 If we can start with what your present role is with
3 regard to the Midland soil settlement issue.

4 A I think my present role is to attempt to meet the
5 schedules that have been set up for the hearings, attempt to
6 get a reasonable degree of resources people time available
7 to go through all the information that has apparently become
8 available.

9 Q Okay.

10 Do you have any active role in reviewing and
11 analyzing technical data with regard to the soils issue?

12 A Not the active, calculational type of activity.
13 I do suggest items that appear to need to be checked and
14 suggest that somebody either carry out a rough check of those
15 items or refer them to someone else for their consideration.

16 Q Can you give me some idea of the type of things
17 you're referring to when you say you might suggest an item
18 that needs to be checked?

19 A Well, there's a number of review areas involved
20 in this including mechanical and electrical, the piping
21 people and our people, and I suggested that we keep these
22 other esoteric areas informed of what we are doing and try to

eb4

1 get a unified review of the different topics.

2 I guess that's the best answer I can give you.

3 Q I see.

4 So when you say "items that need to be checked"
5 you're referring more to like checking with these other
6 areas to see what information they have on that area and to
7 see that the efforts are coordinated as opposed to suggesting
8 that someone check their computations or check the basis for
9 an assumption that he's made?

10 A I would think it would be both.

11 Q Do you have any role or responsibility with regard
12 to deciding whether the geotechnical input would either be
13 favorable or not favorable to a fix or a certain aspect of a
14 fix proposed by Consumers or Bechtel?

15 A May I ask that that question be repeated? I think
16 I missed the first part.

17 MR. ZAMARIN: Will you read back the question,
18 please?

19 (Whereupon, the Reporter read from the record
20 as requested.)

21 THE WITNESS: Now that you've repeated the ques-
22 tion I guess I would ask if it would be possible for you to

eb5

1 either rephrase or to break that question into more bite-
2 sized items that I can answer.

3 MR. ZAMARIN: Okay.

4 BY MR. ZAMARIN:

5 Q Let me explain really what I'm looking for.

6 We've taken a substantial deposition from Joe Kane
7 and are aware of his involvement in what I call the hands-
8 on technical aspects of reviewing information and interfacing
9 with the Corps of Engineers to understand the work the Corps
10 of Engineers is doing.

11 Really what I'm trying to find out is in light of
12 all the things that they're doing, just what you do with
13 regard to the soils. In other words if someone submits some-
14 thing for Consumers or Bechtel with regard to a dewatering
15 scheme, for example, is it your role or your responsibility
16 to review the aspects of that and say either that's accept-
17 able or that's not acceptable?

18 A I would not do the actual review of the informa-
19 tion that came in. I would probably ask the reviewer or the
20 person who is responsible for the review the basis for either
21 excluding certain items from consideration that might occur
22 to me or the basis for acceptance of what was submitted,

eb6

1 provided that's not adequately described in their SER or
2 questions or whatever.

3 Q Okay.

4 And then what would you do after you had asked the
5 reviewer those questions? Satisfy yourself as to whether he
6 was correct in either excluding them or accepting them?

7 A Yes.

8 Q And if you disagreed or determined that the re-
9 viewer was not correct, for example on excluding a certain
10 item, would you then direct the reviewer to reanalyze or re-
11 consider the problem?

12 A I might or I might not, depending on how serious
13 I thought the oversight might be. It may be of negligible
14 import and I'd forget it. It may be that it would be neces-
15 sary to go back and look for more information and perhaps
16 ask a question to get it answered.

17 Q Other than with respect to the completeness of an
18 analysis or consideration, do you chiefly rely on the techni-
19 cal judgment of your reviewers then with regard to the soils
20 issues at Midland?

21 A Yes.

22 Q Do you exercise independent technical judgment on

eb7

1 major decisions, for example with regard to the dewatering
2 example, or do you simply see that the analysis and review
3 done by the reviewer was complete and comprehensive?

4 A I guess I would have to answer "both" in this case.
5 It's kind of a cooperative thing, an iterative review where
6 we try to consider important aspects of it and ignore the
7 less important aspects so it's an iterative process.

8 I feel that I contribute to the review but don't
9 actually do the review.

10 Q Have you examined boring logs with regard to the
11 Midland soils?

12 A Some of the early logs I did look at. Yes, sir.

13 Q When you say "early logs" you are talking about
14 logs prior to what time?

15 A Prior to about the first of January, 1980, roughly
16 11 months ago.

17 Q You had some change in responsibility I believe
18 with regard to the soils issue, did you not, at one time?

19 A Yes.

20 Q And can you tell me what your responsibility was
21 prior to that change, and then the time that it changed?

22 A I believe this was covered in my earlier testimony

eb8 1 but I'd be glad to refresh your memory.

2 Q If you would.

3 A I became knowledgeable of the settlement problem
4 I believe it was in September or October, somewhere in that
5 range, of 1978. At the time Mr. Dan Gillen was doing the
6 review and I was his immediate supervisor and I relied on his
7 expertise for the -- what we call Q-1's acceptance review or
8 Q-2's for the plant in the review process.

9 We visited the plant together in I believe it was
10 December of '78, along with others from NRC. Some time in
11 the spring or early summer of that year Mr. Gillen trans-
12 ferred to another division of NRC, another office of NRC
13 rather, and at that point I was the only person acquainted
14 with the Midland site and the Midland review, and I attempted
15 to do what I could to fill that void until we were able to
16 get the Corps on board and find time for Mr. Kane to become
17 involved in the review.

18 And at that point I was able to relinquish my
19 contribution to Mr. Kane and the Corps.

20 Q I take it then that from some time around the
21 spring of 1979 until just about the end of 1979, your res-
22 ponsibilities with regard to the Midland soils would be

ebg .
1 somewhat analogous to what Mr. Kane and the Corps are doing
2 now?

3 A My responsibilities were roughly the same. My
4 activities were much less.

5 Q Since January of 1980, have you reviewed any
6 piezometer data with regard to the diesel generator building
7 and its surcharge program?

8 A Not in enough detail to formulate any opinions. I
9 have gone over some of the plots with Mr. Kane but have not
10 attempted to look at the physical basis for those plots.

11 Q Some of the plots that you went over with Mr. Kane,
12 do you recall the reason for going over them with Mr. Kane?

13 A Well, as I recall he asked me to look at a number
14 of plots so I did. And he discussed some of the reasons for
15 his interpretation and I offered some opinions as to perhaps
16 alternate interpretations that might be made, strictly in a
17 non-detailed but philosophical vein.

18 Q Do you recall what Mr. Kane stated as his reasons
19 for his interpretations of the piezometric data?

20 A Not to any great extent. I do recall one discussion
21 of the conditions at the point of the piezometer, what
22 his interpretation was of the soil conditions, whether the

eb10 . 1 piezometers were sealed, whether they were open piezometers
2 or whether they were closed piezometers, trying to get a feel
3 of the volume change that would be necessary to get those
4 readings in the piezometers; things of that kind.

5 Q And do you recall what alternative reasons or
6 interpretations that you suggested to him during those con-
7 versations?

8 A The only item I recall was the rebound-- Let me
9 use another word; whether or not there should be some ex-
10 pected drop in the piezometer due to taking the preload off
11 of the fill, how long that drop might be expected to continue
12 before it regained usual groundwater levels.

13 Q And in your opinion should there be some expected
14 drop in piezometer level upon removal of the surcharge?

15 A Yes, there would be.

16 Q And in your opinion would the length of time
17 before regaining what appeared to be the usual groundwater
18 level as exhibited on the piezometer plots that you saw for
19 the diesel generator building comport with what you would
20 have considered to be expected or normal?

21 A I don't believe I have an opinion on that. It was
22 not clear what the conditions were -- to me. I haven't

eb11

1 looked at the boring logs recently. I really did not have an
2 opinion on that. I knew it would be expected to happen but
3 I couldn't express an opinion as to whether they were reason-
4 able or not.

5 Q I see.

6 Did Joe Kane tell you whether he thought that the
7 piezometric data demonstrated either secondary consolidation
8 or lack of secondary consolidation or nothing at all to him,
9 or something of that nature?

10 A No, that wasn't the item that was under discussion.

11 Q Do you know whether Joe Kane ever found out the
12 type of piezometer that was used and which had its data
13 represented on those plots, that is whether it was an open
14 or closed tube?

15 A Well, we discussed that just the other day, and I
16 don't believe that he has yet a clear understanding or a clear
17 description of the actual physical specifications for those
18 piezometers.

19 Q Do you know whether he ever asked anybody for that
20 kind of information?

21 A I don't know whether he has or not. I think some-
22 where along the line we asked whether they were rapidly

eb12

1 responding piezometers or whether they were open type piezo-
2 meters.

3 Q Do you know whether they were rapidly responding
4 or open type?

5 A It was my impression over the review period that
6 they were closed piezometers and that they should respond
7 reasonably quickly to changes in pore pressures.

8 Q Is it your understanding then that Mr. Kane's
9 analysis of the piezometer data is based upon an understand-
10 ing or a belief that they were rapidly responding, closed
11 system piezometers?

12 A I don't know that.

13 Q Did you ever discuss the piezometer behavior in
14 the diesel generator building with anyone other than Mr. Kane?

15 A Not to my recollection, no.

16 Q Have you reviewed, since January 1980, any labora-
17 tory records of consolidation tests, shear strength tests,
18 or Dutch cone tests for the Midland site?

19 A I have not reviewed it in detail. I think I'm
20 aware of the existence of those items.

21 Q Have you had any discussion about either labora-
22 tory records of consolidation tests or shear strength tests

eb13

1 or Dutch cone tests with Joe Kane since January 1980?

2 A Yes.

3 Q Do you recall the substance of those conversations
4 that you had with Mr. Kane?

5 A The substance, as I recall, was simply to indicate
6 to him that cone penetrometer data I believe was gathered
7 quite early in the review, quite early in the exploration by
8 Bechtel in the diesel generator area, and I suppose that
9 additional cone penetration data was obtained later. So I
10 just wanted him to be aware that there was earlier data that
11 had been shown to us or had been available at some time pre-
12 vious to what had been recently submitted.

13 Q And is that the substance then of your conversa-
14 tions with Mr. Kane about consolidation test, shear strength
15 test and Dutch cone test data? Does that about cover it, in
16 other words?

17 A That's all I can recall in terms of submitted in-
18 formation.

19 Q Since January 1980, have you reviewed any Boris
20 anchor measurements with regard to the diesel generator build-
21 ing?

22 A No, sir.

eb14 1 Q Have you reviewed a document titled "Applicant's
2 Position on Need for Borings" that was submitted by the
3 licensee?

4 A I have leafed through it with interest but I have
5 not reviewed it in detail.

6 Q Did you discuss it with anyone?

7 A Yes, I did.

8 Q Do you recall with whom you discussed it?

9 A I discussed only one small aspect of it with another
10 reviewer on another plant.

11 Q Can you tell me what you discussed, and with whom?

12 A I discussed bearing capacity evaluations with
13 Mr. John Greeves.

14 Q Will you spell "Greeves" for me, please?

15 A G-r-e-e-v-e-s.

16 Q And what was the substance of your discussion of
17 bearing capacity with John Greeves as it related to that
18 document, "Applicant's Position on Need for Borings"?

19 A We were involved in the review of another plant
20 in which bearing capacity calculations had been performed
21 and we were-- I mentioned to him that bearing capacity
22 evaluations had been made for the Midland application as well

eb15

1 as for this other application.

2 Q And did you actually discuss the calculations that
3 had been made, the presentation that was made by Consumers
4 in that regard with him, or just mention the fact that it had
5 been made?

6 A I mentioned the fact that it had been made and the
7 values that had been obtained.

8 Q And do you recall what he said?

9 A No, I do not.

10 Q Was the purpose of your discussion with him in this
11 regard to find out whether he thought the presentation by
12 Consumers with regard to its bearing capacity was accurate or
13 appropriate?

14 A Could you repeat that question, the first part?

15 Q I'm just really trying to find out why you talked
16 to him about it.

17 (Whereupon, the Reporter read from the record
18 as requested.)

19 THE WITNESS: The answer is No.

20 BY MR. ZAMARIN:

21 Q Why did you talk to him about that bearing capacity
22 data?

eb16

1 A I considered it was an interesting coincidence
2 that the bearing capacity value computed for Midland was not
3 too different than the bearing capacity values that were
4 computed for the plant that he was reviewing.

5 Q What was the plant that he was reviewing, if you
6 can recall?

7 A I can recall. It was the General Electric Test
8 Reactor.

9 Q Is Bechtel the A-E on that?

10 A I don't know.

11 Q Is there any significance to your mind to this
12 coincidence between the similarity of bearing capacity values?

13 A May I ask that the question be repeated again,
14 please?

15 MR. ZAMARIN: Yes.

16 Please.

17 (Whereupon, the Reporter read from the record
18 as requested.)

19 THE WITNESS: Yes.

20 BY MR. ZAMARIN:

21 Q What is that significance?

22 A The foundation conditions were rather markedly

eb17

1 different between those beneath the diesel generator building
2 and those beneath the General Electric Test Reactor.

3 Q And from this did you draw any conclusions?

4 A I did not attempt to draw any conclusions. I left
5 that to my reviewers.

6 Q And do you know if your reviewers have drawn any
7 conclusions with regard to this?

8 A No, sir, I do not.

9 Q Did this suggest to you that there was something
10 wrong with the analysis that Consumers had presented with
11 regard to the bearing capacity?

12 A It suggested that one or the other could not be
13 correct, or both were incorrect.

14 Q Do you know whether it has been determined whether
15 the G. E. Test Reactor bearing capacity has been found to be
16 incorrect or not?

17 A That matter is still under review.

18 Q And you say that you gave this information to your
19 reviewer.. Are you referring to Mr. Kane?

20 A I was referring to Mr. Greeves.

21 Q Okay.

22 Did you give this information to anyone connected

e-18

1 with the Midland soils review? And by "this information" I
2 mean the fact that there was this coincidence between the
3 bearing capacity calculation for the Test Reactor and for the
4 diesel generator building.

5 A Not to my knowledge, no, sir.

6 Q Have you, since January of 1980, reviewed the
7 application amendments that have been filed?

8 A No, sir, I have not.

9 Q Prior to January of 1980, did you review the piezo-
10 meter data for the diesel generator building surcharge?

11 A No, sir, not what you could call a review.

12 Q Prior to January of 1980 did you review the applica-
13 tion amendments submitted by the licensee?

14 A I should have, but I probably didn't.

15 Q Prior to January of 1980 did you review laboratory
16 records of consolidation tests, shear strength tests, and
17 Dutch cone tests with regard to the soils at Midland?

18 A I did review the Dutch cone results in a cursory
19 manner.

20 Q Did that review lead you to any conclusion?

21 A Well, it indicated that there were some materials
22 with fairly low penetration resistances beneath the diesel

eb19 1 generator building.

2 Q Was this data obtained before or after the pre-
3 load?

4 A I believe it was before the preload.

5 Q Have you reviewed, prior to January 1980, any
6 settlement records with regard to the diesel generator build-
7 ing or other structures founded in whole or in part on plant
8 fill at Midland?

9 A Yes, sir.

10 Q And from that review did you draw any conclusions?

11 A No conclusions that had not been drawn by the
12 applicant.

13 Q Can you tell me generally what those conclusions
14 were, though?

15 A That the settlement and the differential settle-
16 ment of the building were more than had been expected.

17 Q Prior to January of 1980 did you review any
18 settlement data with regard to the diesel generator building
19 surcharge?

20 A I don't recall.

21 Q Prior to January of 1980 did you review any
22 Boris anchor measurements with regard to the diesel generator

eb20

1 building?

2 A No, sir.

3 Q You have indicated that prior to January 1980,
4 you had at least looked at or reviewed some boring logs. Is
5 that correct?

6 A Yes, sir.

7 Q And did you draw any conclusions based upon the
8 review of those boring logs?

9 A No, other than the interpretations that had been
10 made by the applicant that they were sands, clays, fill con-
11 crete under the various structures.

12 Q What other projects besides Midland have you
13 worked on since September of 1978?

14 A I can give you a list to the best of my memory.
15 I'm sure that there are plants that I have missed. The ones
16 that I recall are Bailly, G. E. Test Reactor, LaSalle,
17 Vogtle, South Texas, Allens Creek, Sequoyah, LaCrosse, a
18 number of tailings dams including Church Rock and Split Rock,
19 a lost plutonium source, and the Low Level Waste Disposal
20 Plant at Sheffield, Illinois.

21 Q What percentage of your time do you currently
22 spend with regard to Midland?

eb21

1 A Obviously right now a hundred percent, but in an
2 average week, no more than four hours.

3 Q When you say "right now" are you referring to the
4 time when you're in deposition?

5 A Yes.

6 Q Prior to January of 1980 and after some time in
7 the spring of 1979, approximately what percentage of your
8 time during that period did you spend with regard to Midland?

9 I've tried to indicate the period after Dan Gillen
10 left the review.

11 A I understand.

12 Considering the arrangements for contracts, proba-
13 bly eight hours a week.

14 Q Excluding the arrangements for contracts, about
15 how many hours a week would it have been during that period?
16 Closer to four?

17 A Probably around four or less.

18 Q In your opinion is settlement a decelerating
19 process? -- I guess we would have to say under constant load?

20 A I had never thought of it in those terms but yes,
21 I guess you can say it's a decelerating process with a global
22 coordinate reference system.

eb22 . 1 Q With a what coordinate reference system?

2 A Settlement could be considered a decelerating
3 process with reference to global coordinate reference system.

4 Q A global coordinate reference system? In other
5 words going in toward the center of the earth?

6 A Yes.

7 Q You've indicated previously that preloading is an
8 accepted procedure in some applications. Can you tell me to
9 what applications you refer when you say that it's an accepted
10 procedure?

11 A Well, it's used quite often for consolidating
12 waste materials, for reclaiming coastal areas, for garbage
13 disposal areas I guess you would call them, sanitary land-
14 fills. It's used for those purposes so that you can reclaim
15 them and use them for something useful. It's used for
16 consolidating fill material such as dredge disposal. It's
17 used for reclaiming, you know, coastal areas, swampy areas,
18 sanitary landfills, old sanitary landfills.

19 It's used in a number of applications of this kind
20 where you're primarily concerned with improving the soil
21 conditions before you construct some kind of engineering
22 facility.

eb23 1 Q Is it also an accepted application to use it
2 simply to consolidate soil or preconsolidate soil so as to
3 minimize or be able to predict future settlement?

B2 4 A Well, the purpose of it generally is to consoli-
5 date the soil so that future movements are not beyond those
6 expected, yes.

7 Q Do you consider the Midland diesel generator
8 building to be an accepted application of a preload concept?

9 MR. PATON: You say "accepted." Do you mean by
10 him?

11 MR. ZAMARIN: Yes, does he consider it to be.

12 MR. PATON: You say "accepted." Do you mean
13 "acceptable"?

14 MR. ZAMARIN: Accepted, generally accepted.

15 THE WITNESS: If I measure acceptance by what I
16 would expect ten practicing engineers to accept and I said
17 that if 50 percent of them accept it I would accept it, if
18 less than 50 percent accepted it I would not accept it, I
19 would have to classify the use here as not acceptable.

20 BY MR. ZAMARIN:

21 Q Your opinion, however, is would it be generally
22 acceptable insofar as it is based on sound engineering

eb24

1 principles?

2 MR. PATON: I'm sorry, would it be acceptable if
3 it were based on--

4 MR. ZAMARIN: As far as it is, or if it is, to the
5 extent that it is.

6 MR. PATON: I'm not trying to-- Are you saying
7 is it acceptable if it's acceptable?

8 MR. ZAMRIN: I understood his last answer to say
9 it is really not a common function. It he asked ten engineers
10 if they would do it, perhaps more than five of them would say
11 they wouldn't and therefore, he would say that it's not
12 generally acceptable; it's not the preferred way to go.

13 Obviously there are a lot of reasons for that and
14 I'll get into those. But really what I'm asking about is if,
15 in his opinion, it is generally acceptable on the basis of
16 sound engineering principles that it might involve.

17 MR. PATON: Okay. I did not understand the
18 question but if the witness understands it, obviously he can
19 answer it.

20 MR. ZAMARIN: And there are other factors. There
21 are costs. Normally you would preload before you have a
22 structure. You know, there are methods of choice for other

eb25

1 reasons an engineer might choose. And that's really all--
2 I'm trying to bring him along--

3 MR. PATON: Do you understand the question?

4 THE WITNESS: I will answer it and see if it's
5 the answer to the question you asked.

6 In those applications where engineers would agree
7 that it is an acceptable practice, then I would say that it
8 is acceptable because it does rely on accepted engineering
9 principles as to the behaviors of soils.

10 BY MR. ZAMARIN:

11 Q In the diesel generator preload then is there some
12 lack of basic or sound engineering principles with regard
13 to the preload that would cause it in your opinion to be not
14 a generally accepted application?

15 A Let me distinguish again before I attempt to
16 answer the question:

17 In situations where most engineers would accept
18 the preload procedure as an option for providing acceptable
19 foundation behavior, the engineering principles there of
20 course would be acceptable.

2.030

21 In the case of a building already constructed in
22 which a preload was applied post-construction, I think most

eb26

1 engineers would not favor or would not consider that accept-
2 able practice.

3 The relationship between the engineering princi-
4 ples involved in either case would be the same.

5 Q Okay.

6 Why is it that most engineers, in your opinion,
7 would not favor it or would not consider it an acceptable
8 practice with regard to a preconstructed structure?

9 A I think most of them would hesitate to use it in
10 a preconstructed situation because of the difficulties in
11 predicting the consequences to the structure involved.

12 For example, if you have a coastal area where you
13 wish to reclaim the unconsolidated settlements or consoli-
14 dated sediments in those areas, you can add more and more
15 fill until you bring your grade up to whatever is necessary
16 to protect from floods and whether you get two feet of
17 settlement is of consequence you just add more fill whereas
18 if the structure is already in place you're going to give
19 that structure a rather indeterminate settlement or stress
20 before in fact you do that.

21 So I think most engineers would look on it with
22 disfavor because of the difficulty in assessing the stress

eb27

1 to the building.

2 Q By that do I understand you to say that it would
3 be looked upon with disfavor because you really don't know
4 when the building is going to stop settling until it in fact
5 does stop settling?

6 A That would be a large part of it, yes.

7 Q You say that would be a large part of it. Could
8 you again tell me what the other part of it would be?

9 A Well, in the case where the structure is already
10 there the differential would be the difficult part, so it
11 would be both total settlement and differential settlement.

12 Q In your opinion, was the total settlement of the
13 diesel generator building that would occur during surcharge
14 of concern to you?

15 A Yes.

16 Q Why?

17 A Total settlement of the diesel generator building
18 indicates a consolidation process of the soils beneath the
19 generator building and it's my understanding there are
20 facilities, pipes, lines, conduits, things of this nature,
21 that would be influenced by the settlement of that building
22 and by the settlement of the fill.

eb28

1 So although-- If the settlement had been uniform
2 it may not have affected the building as such, but it would
3 indicate distress to those portions that serve that building.

4 Q And can you describe the mechanism of how it
5 would indicate distress to those portions of structures that
6 would serve that building? And by that I take it you're
7 referring to conduits, pipes, things of that nature.

8 A Well, you're going to induce shearing stresses,
9 tension. You're going to cause ovalation of conduits, pipes,
10 all items that are not expected to occur when the plant or
11 these conduits were put into place.

12 Q In referring to these structures that you just
13 talked about that would service the building, are you refer-
14 ring to those which are buried underneath the diesel generator
15 building?

16 A They're in the areas of the preloading, yes, sir.

17 Q You wouldn't be referring to any connections
18 because you're aware that the structures that were buried
19 underneath the diesel generator building were cut loose prior
20 to the preload?

21 A I'm aware of that.

22 Q With regard to the building itself, however, and

eb29

1 leaving aside for a moment the stressing of structures buried
2 beneath the diesel generator building, would the amount of
3 settlement during the preload be of concern to you?

4 A We're referring strictly to settlement, period,
5 without referring to differential settlement?

6 Q Yes.

7 A I find it difficult to separate the two phenomena,
8 total settlement and differential settlement, because an
9 averaging process masks the distress that the building is
10 undergoing in order to calculate a total settlement.

11 So in general the engineers think of total settle-
12 ment and differential settlement like a factor of one-half,
13 like the differential settlement might be -- the upper bound
14 might be one-half of total settlement. In those cases the
15 greater the total settlement, the greater the differential
16 settlement. And when one has a large total settlement then
17 one also has to consider the likelihood of large differential
18 settlements.

19 So if in fact a building somewhere settled uni-
20 formly six inches or eight inches it may not be of much
21 concern but the likelihood for differential settlements is
22 of concern.

eb30

1 Q So then the real concern is with differential
2 settlement rather than simply total settlement?

3 A Yes, I believe that's a correct statement. I
4 believe Bechtel agrees with those items. At least their
5 other applications have indicated a highly rational approach
6 to this.

7 Q In the situation of a preload of an already con-
8 structed building such as the diesel generator building,
9 if analysis after the preload application were to demonstrate
10 that differential settlements had not induced stresses
11 beyond acceptable limits, would that, in your opinion, be an
12 acceptable application?

13 MR. PATON: Could I inquire, had not exceeded
14 acceptable limits up to this time?

15 MR. ZAMARIN: What I'm referring to is differential
16 settlement that may have been induced during the surcharge.

17 MR. PATON: Were at this point within acceptable
18 limits? Is that what you're asking?

19 MR. ZAMARIN: That's right, and not overstressing
20 the structure. I understand he stated the concern with the
21 preload program is that it may induce differential settlement
22 which introduces stresses into the structure, and I'm assuming

eb31

1 then there has been an analysis subsequent to the application
2 of the preload and that analysis has demonstrated that there
3 has not been an overstressing of the structure as a result of
4 differential settlement.

5 MR. PATON: Are you saying you think we have made
6 that conclusion? I don't mean to be interferring. I'll let
7 the witness take care of it.

8 MR. ZAMARIN: All I asked him was whether that
9 would be an acceptable application of the preload.

10 THE WITNESS: Would you repeat the question,
11 please?

12 MR. ZAMARIN: You want to hear the question, I
13 take it, without all the intervening explanation of the
14 question, or do you want to hear all of that?

15 THE WITNESS: Whichever you desire, either a new
16 question or the old question.

17 MR. ZAMARIN: Would you just read back the question
18 and then you can eliminate Mr. Paton's and my exchange.

19 (Whereupon, the Reporter read from the record
20 as requested.)

21 THE WITNESS: In a hypothetical case it would, yes.

22 BY MR. ZAMARIN:

eb32

1 Q How about in a real case, based upon those assumed
2 facts?

3 A In the real case one would have to consider the
4 evidence available and form his conclusions based on all evi-
5 dence and not on analyses alone.

6 Q What kind of evidence are you referring to, for
7 example, with respect to structures like the diesel generator
8 building?

9 A In a structure like the diesel generator building
10 you would have available to you observations such as cracking,
11 such as void spaces beneath foundations, such as tilt of
12 pedestals, such as -- a very complete analyses of the strains
13 induced in the walls of that building. So these factors
14 would need to be considered as well as the computer output
15 from any analysis method that would be used.

16 And one would expect to base his conclusions upon
17 the compatibility between what is observed in the real case
18 and what the analyses would show.

C2

19 Q Assuming that the analysis took into account
20 cracking, void spaces beneath the foundations, tilt pedestals
21 and a complete analysis of the strains in the building and
22 concluded that the building had not been overstressed, in

eb33

1 your opinion then would that be an acceptable application of
2 preload?

..225

3 A Providing the analysis agreed with the observations,
4 providing that the observations do in fact meet the pre-
5 scribed limitations for that particular structure, one would
6 conclude that the preload program was satisfactory.

7 Q What do you mean when you say "providing the
8 analysis agreed with the observations"?

9 A If the analysis showed cracking in the building
10 where cracking was observed, and if the analysis showed
11 settlements and voids in certain parts of the history of that
12 building such as to reproduce in the computer the stress that
13 that building saw during its lifetime, and the output from the
14 computer agreed with the zones of cracking in the building,
15 then one would say Yes, you have properly analyzed, Yes, you
16 have properly found the stress and strain conditions in that
17 building, and Yes, you then have quantitative data to compare
18 to your structural acceptance criteria.

19 And with that you could then say the preloading
20 program had accomplished the purpose for which it was used.

21 Q Would the cracks and the locations and other ob-
22 servations with regard to the cracks be inputs into this

eb34

1 stress analysis?

2 A What I'm trying to describe is that the results
3 of the computer program, the results of the analysis made would
4 indicate that a crack should form in the building at a certain
5 point at a certain time in the history of that building.
6 And if the computer predicts the crack and you observe the
7 crack, I am saying there is compatibility there and therefore,
8 your analysis is correct based on observations of building
9 behavior and based on the calculations you have made.

10 And if in fact you take the results of your computer
11 output and you then have confidence in them, you can then
12 compare those to whatever acceptance criteria is appropriate
13 for that material and for that building.

14 So it is not inputting the cracks, it's observing
15 that the calculation agrees with what your eyes behold.

16 MR. ZAMARIN: Let's break for lunch now.

17 (Whereupon, at 12:30 p.m., the taking of the
18 deposition was recessed to reconvene at 1:30 p.m.
19 the same day.)
20
21
22

eb35

1 AFTERNOON SESSION

2 (2:10 p.m.)

3 Whereupon,

4 LYMAN WAGNER HELLER

5 resumed the stand and, having been previously duly sworn,
6 was examined and testified further as follows:

7 CROSS-EXAMINATION (Continued)

8 BY MR. ZAMARIN:

9 Q What literature have you read upon which you base
10 your experience with regard to preloading?11 A Articles that appear in Journals of the American
12 Society of Civil Engineering.13 Q I can't tell whether you're finished with your
14 answer or not.

15 A I have finished.

16 Q Okay.

17 Do you recall the number of articles that you've
18 seen in these ASCE Journals with regard to preload?

19 A No, sir, I don't.

20 Q Do you have copies of any of those articles at
21 hand -- and by "at hand" I don't mean in front of you but
22 somewhere?

eb36

1 A No. I think most of them have been discussed
2 before. I think they're in a compendium called "Design for
3 the Control of Settlement," I believe was the name of the
4 particular specialty conference which has been referred to
5 previously in the deposition of Mr. Kane.

6 Q Have you read the transcript of Mr. Kane's deposi-
7 tion?

8 A For the most part, yes.

9 Q Did you notice anything in there with which you
10 disagreed?

11 MR. PATON: Let me instruct the witness that
12 because the question directs itself to many hundreds of pages
13 of transcript that he can limit his answer in any way he
14 thinks appropriate.

15 I think that's quite a broad question.

16 MR. ZAMARIN: I think what Mr. Paton is saying is
17 if you don't recall it's perfectly all right to tell me that.
18 And obviously that's the case with regard to any question.
19 If I ask for information that you don't recall, you don't have
20 to guess or try to make it up for me.

21 THE WITNESS: I would prefer to answer to a specific
22 page or question if that's possible. I think on an over-all

eb37

1 basis I did not have too much difficulty with Mr. Kane's
2 responses.

3 BY MR. ZAMARIN:

4 Q Is there anything that comes to mind, though, as
5 you sit here now, that you recall disagreement with what
6 Mr. Kane said?

7 A Nothing that hasn't been pointed out previously
8 in depositions; with respect to bearing capacity primarily.

9 Q Okay.

10 " As you sit here now, can you recall anything else
11 beside bearing capacity that you might take issue with as
12 you recall Mr. Kane testified to in his deposition?

13 A That's the only thing that comes to mind at this
14 point.

15 Q Can you tell me in what way you disagree with
16 Mr. Kane's testimony as to bearing capacity, as you recall
17 him having testified to it?

18 A No, not anything in addition to what's already
19 been recorded in my deposition, the matter of appropriate
20 shear strengths, the consistency of the soils under the
21 diesel generator building.

22 Q Do you agree that the drained angle of friction

eb38

1 depends on the plasticity and not density?

2 A I really don't know. You'll find of course that's
3 in conflict with my previous testimony.

4 Q What's in conflict with your previous testimony?

5 A My last answer.

6 Q Okay.

7 Why is it in conflict with your testimony? I think
8 what you have said, in fairness, on page 94 and 95 of the
9 transcript you said:

10 "I would agree for static tests, those
11 two seem to be the correct correlations."

12 "Those two" meaning the drained angle of friction
13 of the soil was a function of plasticity and not of density.

14 Is it now your opinion that that answer was in
15 error?

16 A Not in error, just I think that probably an
17 answer "I don't know" is a better answer than the one I gave
18 previously.

19 Q Why don't you know now?

20 A I think that the surety and the variability of
21 the soils beneath the diesel generator building as a specific
22 topic is different than the generalities that is implied

eb39

1 by relating plasticity and drained angle of friction re-
2 gardless of density, origin of soils, and so forth.

3 So I would have to say for those soils that occupy
4 the highest shear stress areas beneath the diesel generator
5 building, I do not know if the drained angle of friction does
6 in fact correlate as has been reported with the plasticity.

7 Q Are you saying that the angle of friction depends
8 on shear stresses that are applied?

9 A No, sir.

10 Q What factors, in addition to plasticity then,
11 could the drained angle depend on?

12 A The drained angle would depend upon-- Well,
13 generally it depends partly on density. It depends on the
14 constituents, the properties of the soil itself.

15 I guess part of the reason I don't know now re-
16 flects back to an answer that I gave you with respect to two
17 different plants, GETR and Midland, that computed roughly
18 the same bearing capacity, grossly different drained angles
19 of friction. And my confidence, if I ever had a lot of
20 confidence, in the relationship between plasticity and the
21 drain angle of friction is somewhat shaken by those particu-
22 lar analyses which are claimed to be legitimate.

eb40

1 Q So in other words then your opinion with regard
2 to the correlation between drained angle of friction and
3 plasticity has changed since the taking of the first portion
4 of your deposition on October 9th, 1980?

5 A It had some influence, yes.

6 Q You say it had some influence. I take it you're
7 saying that it has changed.

8 A Yes.

9 Q You said that the drained angle of friction would
10 also depend in part on density, depending on the constituents
11 and the properties of soils.

12 Can you tell me what those constituents and proper-
13 ties of soils are that would cause or have drained angle of
14 friction depend, at least in part, on density?

15 A I think we have to remember that the soils, as I
16 understand them, beneath the diesel generator building are
17 quite variable. Some of the opinions have been expressed
18 before by consultants and by sheer rationale in terms of the
19 way the fill was placed that the fill is non-homogeneous,
20 may have had, after compaction, some voids, contains silts,
21 clays, sands and other materials, and that it's difficult
22 to assign a drained angle of friction to materials composed

eb41

1 of these kinds of constituents.

2 I'm aware, and I think others are aware that when
3 soils are not compacted in a way that is anticipated in the
4 design, that shear strength values based on drained and un-
5 drained tests can be in error. I believe it is fairly well
6 known among the profession that there's a good possibility
7 that Golden Dam failed because of incomplete consideration
8 of shear strength characteristics of less than optimum com-
9 pacted fill.

10 And my answer, changing from my previous testimony
11 to this testimony, is influenced by what I thought the question
12 was originally, which means that if you had a nice sample
13 of soil and if you went through a laboratory study relating
14 plasticity to drained angle of friction that you would get
15 a correlation that did relate with plasticity.

16 But since I don't know the conditions under the
17 Midland diesel generator building specifically, I'm not sure
18 anyone knows, then it's difficult for me to now answer you
19 with any surety that for Midland and the diesel generator
20 building specifically, whether that relationship is an
21 appropriate relationship.

22 Q I'm not sure that I understand your answer to my

eb42

1 question, however.

2 My question was: What properties of the soil bring
3 about drained angle of friction depending on density?

4 Now is it your testimony that the properties that
5 you've listed, that is, soil being variable--

6 A The soil is variable. You may have-- Let's
7 imagine, if you would for a minute, that you have a sample
8 of soil in the testing device in the laboratory composed of
9 alternate lenses of silts, sands and clays. If you determined
10 the plasticity of that sample it would likely be based on
11 the silt and clay fraction of that sample. And if you tested
12 that sample and tried to place it on the correlation chart
13 of plasticity versus drained angle strength, one could likely
14 be surprised if he found that correlation to hold for that
15 variable sample.

16 So to try to answer your question what charac-
17 teristics affect it, I would say the variability of the soils
18 and the density of the constituents, not only the clay con-
19 stituents but also the sand constituents would be reflected
20 in that drained angle of friction determination.

21 Q Since the source of all of the clay in the fill
22 at Midland is the same, will you agree that therefore the

eh43

1 plasticity of the clay would be substantially the same?

2 MR. PATON: May I ask, are you asking him to
3 assume-- You said the source of all the clay in the fill is
4 the same.

5 MR. ZAMARIN: I don't remember what I said. Did
6 I say it was or did I say did he agree?

7 MR. PATON: I'm asking you, are you asking him to
8 assume that, or are you assuming that he knows that, or what?

9 MR. ZAMARIN: I will ask that the question be
10 read.

11 (Whereupon, the Reporter read from the record
12 as requested.)

13 THE WITNESS: By sources of material I guess you
14 mean it's a glacial material?

15 BY MR. ZAMARIN:

16 Q No, the borrow area. It was all scraped off and--

17 A It's a glacial deposit is my understanding,--

18 Q Okay.

19 A -- except for the sands that drifted over the top.

20 MR. PATON: Are you asking him to assume that or--

21 THE WITNESS: I'm not finished.

22 MR. PATON: I'm sorry.

eb44

1 THE WITNESS: I'm interpreting your question to
2 be by "source" you mean geologic source.

3 MR. ZAMARIN: That's right.

4 THE WITNESS: Thank you.

5 I think it is fairly well understood that materials
6 of geologic origin are not necessarily the same in plasticity
7 or anything else. I believe you have materials classified
8 as CH, MH, CL's; a fairly wide variety of materials. I could
9 be mistaken. I haven't reviewed it in quite some time.

10 It was my impression that there was a wide variety
11 of materials present and that they're plotted on the Casa
12 Grande diagram and some are above, some are below, and a very
13 wide scatter of plasticity values of those materials.

14 So I guess I would say I'm not able to conclude
15 that all of these soils have the same degree of plasticity.

16 BY MR. ZAMARIN:

17 Q Have you reviewed the plasticity chart that was
18 supplied in response to Question 40?

19 A I don't recall reviewing it. I think there was
20 some-- I believe there was information of this type back in
21 the construction permit application.

22 Q Are you aware that the data with regard to

eb45

1 plasticity shows the plasticities of the clays all within a
2 narrow range?

3 A No, I'm not aware of that.

4 Q Okay.

5 A What do you call "narrow range"?

6 Q P.I. is 20 to 30.

7 A I'm definitely not aware that all samples obtained
8 and tested fall within that band.

9 Q Were you aware that with some minor exceptions,
10 some isolated cases, that these data with regard to plasticity
11 show the plasticity of the clays all within a narrow range?
12 And by "narrow range" I mean a P.I. of 20 to 30.

13 A I have not seen a statistical analysis of the P.I.
14 distribution in the borrow areas or in the fill.

15 Q Have you been responding to these questions with
16 the understanding in mind that we're talking about fill on
17 which these tests have been done, that is in the diesel
18 generator building area, the tank farm area, the diesel fuel
19 tank area, rather than just the entire site including areas
20 which haven't had the plant fill placed in them?

21 A I don't understand the question. Can you rephrase
22 it or reword it, please?

eb46

1 Q Okay.

2 I asked you a question earlier about whether in
3 your opinion the fact that clay had come from the same source,
4 from the same borrow area, would indicate the likelihood of
5 similar plasticity and I was referring to clay that had been
6 taken from a borrow source and used in plant fill, for example
7 in the power block area.

8 Is that what you were thinking of also when you
9 responded to those questions?

10 A No, sir. I was confining my response just to those
11 areas beneath the footings of the diesel generator building
12 that now have and will have the highest shear stresses im-
13 posed on them.

14 Q Okay. That's fine. That's as good a narrowing of
15 the area. I just wanted to make sure you weren't considering
16 all of the area of the plant site.

17 A No, sir.

18 Q If you look at just the clay in the fill beneath
19 the diesel generator building and assume that the data with
20 regard to plasticity for that clay demonstrates, with a few
21 isolated exceptions, a narrow range of plasticity, say P. I.
22 20 to 30, would you be of the opinion that the drained angle

eb47

1 of friction would depend on the plasticity and not density of
2 that clay?

3 A I would say for idealized conditions that would
4 be the case, yes, sir. And I use that term because when you
5 determine the plastic index you do a number of things to that
6 clay in the laboratory, and for those conditions after mani-
7 pulations that are done on the clay in the laboratory, yes,
8 I will agree that you do get a relationship with respect to
9 plasticity that does give you the trends that you have sug-
10 gested.

11 Q You're referring to an idealized situation, but
12 any time you go outside of the laboratory and try and apply
13 the laboratory results or any kind of a standard from the
14 laboratory to the real world you're getting outside of that
15 idealized situation, aren't you?

16 A For some kinds of tests you do; for other kinds of
17 tests you attempt to minimize that, yes.

18 Q Well, of course you'll attempt to minimize it but
19 as soon as you get outside to the real world you are no longer
20 under ideal situations that you can have under laboratory
21 control. Would you agree with that?

22 A In part.

eb48

1 Q In what part wouldn't you agree?

2 A When you attempt to reproduce field conditions in
3 the laboratory, there are a number of precautions that can
4 be taken, a number of corrections that can be taken to
5 minimize the effect of laboratory operations on the results
6 that you obtain.

7 For the case of relating shear strength -- I
8 should change that -- drained angle of friction, which is a
9 measure of shear strength through the plasticity parameter,
10 you have decided to perform a certain set of laboratory
11 manipulations to that soil sample that can seriously alter
12 the field situation.

13 I'm agreeing with you that the correlation is
14 there. I'm qualifying it with respect to its complete appli-
15 cability to the situation we're trying to evaluate, bearing
16 capacity.

17 Q I take it you're qualifying it to the extent that
18 the laboratory test results may not be reliably representative
19 of field conditions as it relates to the factor of plasticity.

20 A Rather than "reliably" we could say that the soil
21 constituents have been massaged, physically massaged by the
22 laboratory procedures that are used to establish plasticity.

eb49

1 Q And are there standard methods used to correct or
2 account for this type of disturbance that occurs in this
3 laboratory testing you refer to?

3.170

4 A I'm not aware of the corrections, no, sir.

5 MR. PATON: Off the record.

6 (Discussion off the record.)

3.190

7 MR. ZAMARIN: Back on the record.

8 BY MR. ZAMARIN:

9 Q Do you know whether the plasticity index is a
10 generally accepted measure in geotechnical engineering?

11 A I believe it is, yes.

12 Q Is the correlation between the plasticity index
13 and the drained angle of friction a generally accepted
14 correlation within the geotechnical engineering field?

15 A I don't know. It could be.

16 Q Okay.

17 Do you agree that friction angle for sand is re-
18 lated to the blow count or relative density of the sand?

19 A It can be related to either.

20 Q You say that it can be related. And your testi-
21 mony is that it is related to either blow count or the rela-
22 tive density?

eb50

- 1 A It can be related to either blow count or relative
2 density.
- 3 Q By that do you also imply that it cannot be re-
4 lated to either blow count or relative density?
- 5 A It depends on whether you accept the correlation
6 between the two parameters that you've mentioned, blow count
7 and relative density.
- 8 Q I'm sorry, you're going to have to explain that one
9 a little more. I don't understand what you're saying.
- 10 A I believe you mentioned three parameters: friction
11 angle, blow count, --
- 12 Q Yes.
- 13 A -- and relative density.
- 14 Q Okay. Let me break them down for you.
- 15 Do you agree the friction angle for sand is re-
16 lated to blow count?
- 17 A In part.
- 18 Q In what part?
- 19 A Well, blow count depends on many parameters. One
20 of them can be the friction angle.
- 21 Q Could it depend on things that totally exclude
22 friction angle?

eb51 1 A Yes-- I'm sorry, you were talking about sands only?

2 Q Yes, just sand.

3 A Then the answer would be no.

4 Q Now with regard to just sands you say that friction

5 angle is related only in part to blow count?

6 A Yes.

7 Q And what else is it related to?

8 A It's related to usually the depth of the investi-

9 gation which is a measure of the confining pressure on the

10 sampler. It can be related to the sampler itself, what kind

11 of sampler you're using. It can be related to the operator

12 who is performing the investigation. It can be related to

13 the types of equipment, the length of the drill stem that's

14 being used, the type of hammer that's being used.

15 There are many parameters that affect the blow

16 count in a penetration type investigation, and friction angle

17 is only one of those.

18 Q With regard to the properties, the physical

19 properties and the engineering properties of the sand, will

20 you agree that friction angle is related to blow count

21 determinations with regard to that sand?

22 A Could you repeat that, please?

eb52

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

MR. ZAMARIN: Will you read it back?

(Whereupon, the Reporter read from the record as requested.)

THE WITNESS: It's related, yes, sir.

BY MR. ZAMARIN:

Q Do you agree that friction angle for sand is related to relative density of the sand?

A Yes.

Q If you know the relative density of the sand could you then determine the friction angle of the sand?

A If I had a suite of laboratory tests I could relate the relative density of that sand to the friction angle, yes, sir.

Q You said if you had a what?

A A suite of tests on that particular deposit of sands, yes, I could.

Q What do you mean by a "suite" of tests?

A A series of tests conducted at different relative densities so as to establish a relationship between friction angle and relative density for that particular sand gradation, grade size, D₅₀'s, D₁₀'s, angularity of grain and so forth. Once you have that correlation then you can go in with a

eb53

1 relative density value and pick off a friction angle for that
2 sand.

3 But you need the test values to establish the
4 correlation.

5 Q Are you aware of any commonly accepted relation-
6 ships between relative density of sand and friction angle of
7 sand, in other words such that you don't have to, in each
8 instance, go through and develop your own standards for com-
9 parison?

10 A I believe there are published results of averaged
11 laboratory tests on certain types of sand. I believe that's
12 true.

13 Q Okay.

14 Would you think that competent geotechnical en-
15 gineers use those in estimating friction angle from known
16 relative densities of sand?

17 A I assume they're used, yes.

18 Q Before when I asked you about things that had an
19 effect on or were related to blow count determinations you
20 indicated such things as confining pressure on the sampler,
21 the kind of sampler, the operator, the drill stem, the hammer
22 and the types of equipment.

eb54

1 Are you talking about limitations on obtaining
2 accurate testing results?

3 A No, I'm talking about correlations -- factors that
4 affect correlations between blow count and friction angle.

5 Q Okay.

6 But it seems to me that the things that you have
7 listed were all things that, rather than being properties
8 of the soil, are actually functions of the testing process.
9 Is that right?

10 A I believe it's necessary to consider those because
11 blow count has no meaning in the laboratory sense. It's a
12 field investigation tool.

13 Q Oh, I see.

14 So what you're saying is that all of these things
15 are taken into account or have an impact upon the force that
16 the hammer exerts or the blow the the hammer exerts and
17 therefore it's part of the measure of how far the sampler is
18 driven?

19 A Yes.

20 Q I see.

21 Are there commonly accepted relationships to your
22 knowledge in the engineering field between blow count and

eb55

1 relative density of sand?

2 A There have been relationships investigated and
3 published relating blow count to relative density. There is
4 also controversy among the researchers and professionals with
5 respect to the universal applicability of this correlation.

6 Q Is the relationship between blow count and rela-
7 tive density a generally accepted and commonly used standard
8 within the geotechnical engineering field?

9 A I think the profession is probably split about
10 50-50 on that question. I think in certain cases it's use-
11 ful and may be relatively accurate and in other cases, certain
12 precautions should be taken in interpreting results of such
13 tests.

14 Q In what types of cases would, in your opinion,
15 the blow count-relative density relationship be accurate?

16 A It should be fairly accurate where the conditions
17 are similar to those laboratory conditions for which the
18 correlations have been established, and by that I mean the
19 field conditions should be reasonably consistent with the
20 conditions that were established in the laboratory tests.

21 Q Give me an example of the conditions to which you
22 refer.

eb56

1 A Well, to my knowledge there are at least two sets
2 of investigations that have been conducted to relate rela-
3 tive density to blow count, those that were done by the
4 Bureau of Reclamation many years ago and those that were
5 performed by the Army Corps of Engineers in the past half a
6 dozen years.

7 In both cases attempts were made to carefully
8 measure applied pressures and to control the energy that went
9 into the sampling spoon.

10 Where field samples are taken and where the grain
11 size distributions and saturation conditions are similar,
12 they probably can be used with some degree of confidence.
13 Otherwise some precautions should be taken to assure that
14 judgments are made on the proper side of those averages ob-
15 tained under other conditions.

16 Q What precautions are you referring to?

17 A I think we have to be assured, for example, that
18 all of the energy of the hammer is received by the sampling
19 spoon is one precaution.

20 Another precaution might be the angularity of the
21 grains, gravel particles that might be present in the materials
22 that would affect the blow count in a non-conservative manner.

eb57

1 Probably angularity of the grains is also an aspect
2 that has not been investigated but becomes a problem when
3 you're investigating tailings dams, for example.

4 Q You believe angularity would be a problem in in-
5 vestigating blow counts of sands in the fill at Midland?

6 A I'm not familiar with the sands that were used.
7 I believe they were all imported, if I'm not mistaken. I
8 believe the sands were all imported for fill at the Midland
9 site and I'm not familiar with those sands. I don't have an
10 opinion on that.

11 Q Not knowing then what the sands are with regard
12 to the fill at Midland, do you have an opinion as to the
13 relationship or correlation between blow count and relative
14 density of the sands with regard to Midland?

15 A Could I have the question read, please?

16 MR. ZAMARIN: Would you read it back, please?

17 (Whereupon, the Reporter read from the record
18 as requested.)

19 THE WITNESS: I have an opinion but it would be
20 subject to substantiation by a more detailed review.

21 BY MR. ZAMARIN:

22 Q What is your opinion?

eb58

1 A Well, I think the sands up there are fairly well
2 rounded. I think they reasonably fit into the kind of
3 correlations that have been made previously, and I personally
4 would not have a problem with using the normal relationships
5 between blow count and relative density at the Midland site.

6 Q Do you agree that the friction angle for clays
7 from the same borrow source and having a plasticity index
8 between 20 and 30, and there are only a few exceptions in
9 that range, should be about the same for all of those clays?

10 A I don't have any reason to disagree with that
11 statement. No, sir.

12 Q I don't either.

13 In your previous testimony you had stated that in
14 some past cases a preload was unsuccessful. Can you tell me
15 in what past cases, and in what way the preload was unsuccess-
16 ful?

17 A I was referring to an article in the ASCE Journal
18 that we had discussed earlier, and I believe the title of it
19 is "Design to Minimize or Prevent Settlements." And there
20 is a paper in there -- I believe it was written by George
21 Sowers -- that described a case for, as I recall, an air
22 field runway in which preloads were applied, monitored not

eb59

1 unlike the situation at Midland, and the result was not as
2 expected.

3 Q You say the result was not as expected. However,
4 I believe you also testified previously that you know of
5 no case where settlement after a preload exceeded the pre-
6 dictions, didn't you?

7 A I believe Professor Sowers' paper does indicate
8 that settlements after the preload was removed were larger
9 than had been anticipated at the time of the preload removal.

10 Q Could you supply us with some kind of a cite to
11 this compendium of literature?

12 A He's got it right there.

13 Q That was quick.

14 A I think he's read the entire Journal.

15 (Document handed to Mr. Zamarin.)

16 What was the correct title, just for the record?

17 Q This is Journal of the Soil Mechanics and Founda-
18 tions Division, "Conference on Design of Foundations for
19 Control of Settlement, Proceedings of the American Society
20 of Civil Engineers, Conference in Evanston, Illinois, June
21 16 - 19, 1964." And the citation to this is Volume 90,
22 Number SM5, September 1964, Part 1.

eb60

1 A Thank you.

2 Q Was the air field preload that Sowers reported
3 applied for the purposes of compacting or consolidating fill
4 material?

5 A I'm not sure whether it was fill or whether this
6 was a natural soil deposit that he was compacting. I suspect
7 it was a little of each.

8 Q A little earlier we talked about differential
9 settlement and preload programs, and you had indicated that
10 a major reason for lack of widespread use of preload is the
11 potential for differential settlement.

12 Does this differential settlement to which you
13 refer occur during or after the preload program?

14 A Most of it should occur during the preload program.
15 There may be a small part, hopefully a negligible part, that
16 would occur after the preload is removed.

17 Q And then the preload program, by accelerating
18 settlement, would also accelerate any differential settlement
19 that you would expect, wouldn't it?

20 A It would occur more rapidly. Yes, sir.

21 Q And once soils underneath the structure entered
22 into secondary consolidation, could the maximum future

eb61

1 differential settlement then be accurately predicted?

2 A It's possible that it could be accurately pre-
3 dicted.

4 Q What is the technique or the procedure that one
5 employs in generating an E versus log P diagram or plot?

6 A The procedure?

7 Q Yes. In other words how do you go about construct-
8 ing a plot of E, which I take it is void ratio,--

9 A Yes.

10 Q -- versus log pressure plot.

11 I mean you've got something in the lab -- right --
12 and you get some kind of data and then do you do something
13 with that data first, or do you put it right on a graph
14 paper and can you just really describe for me the process that
15 you would go through in generating an E-versus log P plot?

16 A Well, first you need a specimen of soil to work
17 with to test, and it is not uncommon to cut that sample from
18 a Shelby tube in the laboratory using a cutoff saw, extrude
19 the resulting sample of soil into a odometer which is a one-
20 dimensional consolidation device, place a stone and a cap on
21 top of the sample with a stone in the bottom to provide a
22 drainage path for the water to be squeezed out of the sample.

eb62 1 The void ratio, initial void ratio would be deter-
2 mined from generating an adjacent specimen of soil that is
3 considered to be essentially the same as the soil in the
4 sample, perform the drying and so forth, the weighing that
5 is necessary to establish the voids that are present in that
6 soil so as to get an E_0 value -- I'm sorry, not an E_0 value
7 but an initial void ratio value.

8 At that point, load is applied to the sample and
9 the movement of the top cap downward indicating compression
10 in the sample is recorded.

B4 11 A given load is held on that sample until the dial
12 indicator indicates essentially no additional settlement.
13 When that's the case the load is increased to a new value and
14 the observations are repeated to obtain another set of data.
15 And this continues progressively until you've reached a
16 reasonable expectation for that sample of soil under the work-
17 ing conditions that it will see in the field.

18 At that point there is no need for additional
19 application of additional pressure.

20 The data thus obtained is then plotted relating
21 the dial indicator reading in the odometer to the void ratio
22 that would necessarily be present in that sample versus the

eb63

1 logarithm, I believe base 10, of the applied pressure. And
2 you have then an E log P curve for increasing loads on that
3 sample.

C3

J.020

4 Q When you're reading the dial indicator are you
5 reading the displacement of the sample, the change in volume
6 of the sample?

7 A Yes, you are.

8 Q And are you saying then that you plot the change
9 in volume of the sample versus the logarithm of pressure?

10 A No, the change in volume is interpreted as a
11 change in void ratio. There's a relationship between the
12 dial indicator movement which indicates change in volume,
13 total volume, to the change in void ratio so one calculates
14 the change in void ratio from the movement of the dial
15 indicator that indicates the depression of the sample that
16 you're testing.

17 Q Could that relationship or that conversion to void
18 ratio be obtained by plotting change in volume versus log
19 of time and then the data point for void ratio be taken off
20 that plot?

21 A I'm sorry. "Lot of time" came from somewhere--
22 Could you repeat the question, please? I didn't understand

eb64

1 it.

2 MR. ZAMARIN: Would you read it back?

3 (Whereupon, the Reporter read from the record
4 as requested.)5 THE WITNESS: Yes, a series of tests of that type
6 could be used to determine the void ratio at different pressure
7 values.

8 BY MR. ZAMARIN:

9 Q You indicated that you apply a load until there
10 is no additional movement of the top cap. Is that correct?11 A I think there is some rate of movement allowed in
12 the ATSM specifications but I don't recall what that rate of
13 movement is. It's a very miniscule movement.14 Q Is there some kind of a plot that one generally
15 then does in order to determine when there is no longer any
16 movement, or when the rate of movement has become slow enough
17 to stop the test?18 A I don't recall what the testing specification calls
19 for. I think it's with respect to the dial movement but I'm
20 not sure.

21 Q Okay.

22 Do you have any reason to disagree with that plot

eb65 1 being displacement versus log of time?

2 A Displacement of the top cap?

3 Q Yes.

4 A Versus log of time?

5 Q Yes.

6 A No, I think the specifications for the test give
7 a dial reading, change in dial reading versus time for
8 stopping the test. I believe that's right but I'm not sure.

9 Q Are you familiar with the document called "NAVFACS
10 DM-7"?

11 A Yes, sir, I have a copy of that.

12 Q Could you provide us with a copy of that through
13 Mr. Jones or Mr. Paton? I would have asked Mr. Kane for that
14 since he had referred to it in his deposition but they didn't
15 want me to ask him.

16 A It's a commonly available document. Would it be
17 out of order-- Let me ask Counsel.

18 THE WITNESS: Would it be out of order to tell them
19 where to get a copy?

20 MR. JONES: Yes. Go ahead and tell them where it
21 is.

22 MR. ZAMARIN: It may be more commonly available

eb66 1 to you guys than to us.

2 BY MR. ZAMARIN:

3 Q Where would we be able to obtain one?

4 A You can obtain one from the Department of the
5 Navy. I believe it is now called Naval Facilities Command.
6 Their telephone number is in the directory. I'm not at all
7 sure it's not available in book stores in the technical text-
8 book section, perhaps at IIT or the University of Michigan.

9 MR. JONES: We will provide a copy of NAVFACS DM-7
10 tomorrow morning for Mr. Zamarin to look at. It's evidently
11 a rather thick document so, rather than copying it, we will
12 provide it tomorrow.

13 MR. ZAMARIN: Thank you.

14 BY MR. ZAMARIN:

15 Q At your previous deposition session you made
16 reference to the possible existence of fat clays beneath the
17 diesel generator building. Do you recall that?

18 A Yes, sir.

19 Q And in your opinion do fat clays exist beneath
20 the diesel generator building?

21 A I don't know. I assumed that there were some clays
22 classified as CH that were discovered in the area. I don't

eb67

1 know that there were any beneath the diesel generator build-
2 ing. There were some pocket penetrometer tests that would
3 indicate materials as soft as one might expect a fat clay to
4 be.

5 Q Are you familiar with the reported plasticities of
6 the clays beneath the diesel generator building?

7 A Evidently not.

8 Q Okay.

9 Are you aware of piezometer data which would
10 suggest the presence of fat clays beneath the diesel generator
11 building?

12 A I don't know that piezometers would indicate the
13 presence of fat clays beneath the diesel generator building.

14 Q Are you aware of the liquid limits of the clays
15 underneath the diesel generator building?

16 A I have not reviewed that data, no.

17 Q Are you aware of the theoretical shape of the
18 settlement versus log time curve for a fat clay lens?

19 A Could you repeat the question, please?

20 Q Yes.

21 Are you aware of the theoretical shape of the
22 settlement versus log time curve for a fat clay lens?

eb68

1 A I think that that was discussed at the previous
2 deposition. I believe that I attempted to sketch what I
3 thought was the shape of a settlement log time curve that would
4 result. I'm not sure what you mean now by "lens." Layer?
5 Fat clay layer? Was that the question?

6 Q Yes. I said "lens" but by that I mean a layer.
7 Is a lens the same as a layer in your parlance?

8 A It's close enough.

9 Q Okay. It's close enough in mine then, too.

10 " Would you agree that the theoretical settlement
11 log time curve for a lens or a layer of clay or a deposit of
12 clay has a point of inflection at about 75 percent consolida-
13 tion?

14 A I'm not sure if it's 75 percent consolidation or
15 50 percent, or what the number is. There is a change in
16 slope in the time settlement curve. I'm aware of that, yes.

17 Q Okay.

18 " Assume for a moment then that the theoretical
19 settlement log time curve for a lens or slab of clay has a
20 point of inflection at about 75 percent consolidation. For
21 time shorter than that corresponding to that 75 percent
22 consolidation, would in your opinion the curve bend downward,

eb69 1 and for times greater than those corresponding to 75 percent
2 consolidation, would the curve bend upward?

3 Let me withdraw that question for a moment. There
4 may be an easier way and a fairer way to do this with you,
5 rather than to ask you--

6 A I'm trying to plot what you suggested.

7 Q Yes. And maybe if I just....

8 (Discussion off the record.)

9 MR. ZAMARIN: Will you read the last question back,
10 please?

11 (Whereupon, the Reporter read from the record
12 as requested.)

13 THE WITNESS: No.

14 BY MR. ZAMARIN:

15 Q For times shorter than that corresponding to 75
16 percent consolidation what, if any, behavior would you expect
17 the curve to display? You apparently disagreed that it would
18 bend downward. Do you believe it would bend upward for
19 times shorter than those corresponding to 75 percent consoli-
20 dation?

21 A Yes.

22 Q And for times greater than that corresponding to

eb70

1 75 percent consolidation, is it your opinion that the curve
2 would bend downward?

3 A Let me rephrase my previous answers if I may.

4 Q All right.

5 A It may improve upon this bending downward, bending
6 upward situation.

7 It would be my opinion that a curve representing
8 settlement versus log time for consolidation values greater
9 than 75 percent might well be close to a straight line where
10 that straight line would still, in my view, be aimed down-
11 ward but the derivative of that curve would have a negative
12 slope.

13 For times greater than 75 percent consolidation,
14 I would expect the curvature of the representation of con-
15 solidation to be -- rather, to have a smaller radius and the
16 slope of that curve would have a larger negative value.

17 With respect to being upward and downward, as the
18 question was phrased, I probably have misinterpreted the
19 geometric figure that you represented in your question. I
20 hope that my answer has included your question. If not, I'll
21 be glad to explain it.

22 Q What is your understanding of what is meant by

eb71

1 the point of inflection?

2 A A point of inflection is usually a term related to
3 structural engineering and it means a point at which the
4 radius of curvature is infinity, and that the slope of a
5 tangent to those two lines changes sign.

4.260

6 Q If there were a very thick fat clay lens-- Strike
7 that.

8 In your opinion do there exist lenses of what you
9 have described as fat clays under the diesel generator build-
10 ing in thicknesses of five feet or more?

11 A I don't recall seeing any boring logs that would
12 give a consistent classification of clays located anywhere,
13 including the diesel generator building, that would be that
14 thick. Those zones that might be lenses layers that might
15 be considered to be soft clays did not appear to have an
16 extent of more than perhaps a few inches, maybe as much as a
17 foot at the maximum.

18 Q Would you expect the existence of fat clays of
19 thicknesses such as you've just described, and that is perhaps
20 as thick as a foot, to have more than a negligible effect on
21 the linear portion of the settlement log time curve for the
22 diesel generator building?

eb72

1 A If there was only one layer that was a foot thick,
2 it wouldn't have a lot of influence. Maybe a half an inch
3 of settlement would be all that could be attributed in the
4 future to that particular lens.

5 Q You're saying with regard to a lens that's no
6 more than a foot thick that you believe that as much as a
7 half inch of future settlement could be attributable to that?

8 A It's possible. In that range.

9 Q What is your basis for that estimation of half an
10 inch? And also I might point out that my question was with
11 regard to the settlement versus log time plot for the diesel
12 generator building after surcharge and during surcharge.
13 What we're talking about is future settlement after the sur-
14 charge program. I just want to make sure we're talking about
15 the same animal.

16 A I'm not sure we're still talking about the same
17 animal. I understand two pieces to the question. One, what
18 is the influence -- what would be the influence of a hypo-
19 thetical one foot thick layer of fat clay during the preload
20 program. I see that as one part of the question.

21 The other part of the question: What would be the
22 effect if there were a fat clay of thickness one foot after

eb73

1 the surcharge was removed and during the life of the power
2 plant.

3 Q Is my understanding correct that if a lens of fat
4 clay of no more than a foot in the fill beneath the diesel
5 generator building would have only a negligible effect on the
6 linear portion of the log time settlement curve then it should
7 have only a negligible effect, if any, on future predicted
8 settlement based upon the log time curve?

9 A The log time settlement plot that we are talking
10 about I assume is that one that has been determined for the
11 fill of the diesel generator building during the time that
12 the preload was applied.

13 Q That's correct, during the time that the preload
14 was applied and also subsequent to removal of the surcharge.
15 The plot continues through the period after removal of the
16 surcharge. And yes, that is the plot to which I refer.

17 A To answer the question requires the assumption
18 that that fat clay lens was a part of and underwent the same
19 settlement log time behavior as the entire mass of fill.
20 That's probably not a proper interpretation of the behavior.
21 For the five months that the load was on the fill it's not
22 likely that the fat clay would have reached the end of its

eb74

1 primary consolidation and so it would be still available to
2 undergo additional consolidation resulting in additional
3 settlement after the preload had been removed.

4 And a one-half inch value that I threw out as an
5 example of a possible range of consolidation of a one-foot-
6 thick hypothesized lens is based on an expectation of perhaps
7 a five percent settlement within that one-foot thick lens.

8 Q If the slope of the settlement log time curve for
9 a fat clay was less negative than the slope of the over-all
10 curve for the fill at some point in time, would that fat clay
11 lens in your opinion affect a prediction based upon the over-
12 all curve, that is, the curve including that fat clay lens,
13 in an unconservative fashion?

14 Do you want to hear that one back?

15 A Yes, please.

16 MR. ZAMARIN: Would you read that back?

17 (Whereupon, the Reporter read from the record
18 as requested.)

19 THE WITNESS: It would affect it in an unconserva-
20 tive manner, meaning that the settlements expected in the
21 future would be larger than anticipated by interpreting the
22 settlement log time curve of the entire fill.

eb75

1 BY MR. ZAMARIN:

2 Q And upon what do you base that opinion?

3 A The sketch that I have prepared that I think
4 represents the question that was asked hypothesizes that at
5 some point in time, and I'm taking that as the point where
6 the preload was removed, that the slope of the settlement
7 curve for the curve was less negative than the slope for the
8 fill as a whole which to me, at this point, would mean that
9 the degree of consolidation of the clay layer would be less
10 than the degree of consolidation of the fill as a whole and
11 therefore, at some time in the future -- I'm sorry -- at
12 increasing times the clay would still have the potential for
13 additional consolidation and therefore, the total settlement
14 of the fill would increase beyond that anticipated by an
15 extrapolation of the settlement log time curve for the entire
16 fill.

17 Q Can I just see that diagram to which you just
18 referred in that answer? I would like to mark that as an
19 exhibit. Since you're referring to something over there it's
20 going to look funny in the record unless we know what it was
21 you were referring to.

22 A All right.

eb76

1 May I label it?

2 Q Sure. Do whatever you want with it while we go
3 off the record for this discussion.

4 (Discussion off the record.)

B5 5 MR. ZAMARIN: I have marked as Exhibit Number 7
6 for identification as of today's date the diagram to which
7 you have just referred in answering my previous question.

8 (Whereupon, the document
9 referred to was marked
10 as Exhibit Number 7
11 for identification.)

12 BY MR. ZAMARIN:

13 Q Is that correct?

14 A Yes, sir.

15 MR. ZAMARIN: I would also like to mark as Exhibit
16 Number 8 the previous diagram that you drew when you were
17 drawing those settlement log time curves.

18 THE WITNESS: I think we need to confer on that.

19 (Discussion off the record.)

20 MR. ZAMARIN: Weren't those settlement log time
21 curves? Let me take a look at it first.

22 MR. JONES: I don't care if you see them, but I

eb77
1 don't know that they should be introduced.

2 THE WITNESS: Those refer to previous questions --
3 attempts to answer your previous questions.

4 MR. ZAMARIN: I understand that.

5 We will mark it as Exhibit Number 8.

6 (Whereupon, the document
7 referred to was marked
8 as Exhibit Number 8
9 for identification.)

10 MR. ZAMARIN: We understand this referred back to
11 previous questions.

12 BY MR. ZAMARIN:

13 Q If a piezometer were located in an area of fat
14 clay, would you expect to see a high pore pressure reading in
15 that piezometer under the surcharge conditions?

16 A It would depend on the type of piezometer that was
17 installed.

18 Q Okay.

19 How about a Casa Grande type piezometer?

20 A With an open piezometer one would expect a very
21 small response because of the need to squeeze a large volume
22 of water out of the clay, and there would be essentially no

eb78

1 response.

2 Q And in a closed type piezometer you would expect
3 to see a more sensitive or a greater response?

4 A Yes, sir.

5 Q Do you know which type of piezometers were used
6 with regard to the diesel generator building surcharge at
7 Midland?

8 A No, sir, I do not.

9 Q Where on the Midland site have you observed, if
10 anywhere, dry-placed fill or apparently dry fill?

11 A I have observed fill materials in the excavations
12 that were made in the diesel generator building to expose the
13 conduits that had been bonded to the footings of the diesel
14 generator building.

15 I observed fill in a test pit that was excavated
16 adjacent to the service water pump house and in a test pit
17 excavated I believe to the east of the auxiliary building to
18 investigate the condition of fill related to a compressed air
19 line that had broken and created a bubbling phenomenon in the
20 fill and water in one of the trenches.

21 To my knowledge, those are the only observations
22 of fill material that I recall at the Midland site.

eb79

1 Q What did you notice about the fill that was re-
2 vealed by the excavations inside the diesel generator building?

3 A Conditions for observation were not ideal. As I
4 recall it was winter and a protective cover had to be put
5 over the working area. Artificial light of course was neces-
6 sary in the excavations. And about the only thing that could
7 be observed there was the displacement between the bottom
8 of the footings and the top of the fill, a void of some
9 lateral extent between the footing and the fill.

10 I observed some large-grained material in the clay
11 fill.

12 Other than that, that's all the observations I
13 recall.

14 Q What was this large-grained material in the clay
15 fill that you observed?

16 A It was a -- I would call it a cobble, part of the
17 glacial material that was used to fill that area.

18 Q You say you saw a cobble?

19 A One that I noticed, and a number of others
20 that were observable in the face of the excavation.

21 Q Can you describe this cobble for me so I would know
22 it if I saw it?

eb80 1 A I can bring it tomorrow if you would like to see
2 it.

3 Q Oh, you've got it?

4 A Yes, sir.

5 Q All right. Well, why don't you describe it and
6 then tomorrow we'll find out whether I would know it if I saw
7 it.

8 A It's roughly the size of a Michigan grapefruit
9 and it's grayish-black in color. It's rounded and has some
10 chips in it, indicating that it had been involved in glacial
11 processes many years ago and would be of the kind of material
12 you would expect in the borrow pit areas used to construct
13 dikes and fill and so forth.

14 Q What's the size of a Michigan grapefruit? I've
15 never heard of that. About the size of a 12-inch softball?

16 A Approximately the size of a 12-inch softball.

17 Q And was this hard material like a rock?

18 A Yes.

19 Q Did you observe what you would consider to be dry
20 materials or materials that in your opinion had been placed
21 dry while you were looking in this excavation in the diesel
22 generator building?

eb81

1 A I don't recall seeing anything that would impress
2 me as being placed in a dry condition, no.

3 Q Have you reviewed any boring data in the diesel
4 generator building area with regard to the moisture content?

5 A I believe I reviewed information of that kind
6 perhaps a year and a half or two years ago but I don't recall
7 being impressed with any particular aspect of it.

8 Q Do you recall whether from that data you received
9 any information or impression that any of the fill had been
10 placed dry of optimum?

11 A From the information presented I would not be able
12 to draw that kind of conclusion. I was looking mainly at
13 water contents with respect to -- like the densities, rather
14 than whether or not it was placed near or above optimum.

15 Q Do you have an opinion as you sit here now as to
16 whether the fill beneath the diesel generator building was
17 placed near or above optimum wetness?

18 A I don't have any way of knowing that. I don't
19 have any personal observation of the consistency of the fill
20 at optimum so I would not know whether the fill that I saw
21 or touched was near, above or below the optimum moisture
22 content.

eb82

1 Q Have you ever heard anyone claim that the fill in
2 the diesel generator building area was dry of optimum?

3 A I guess I have heard that judgment made as a
4 possibility or even a likelihood, yes.

5 Q By whom did you hear a judgment made of that as a
6 likelihood?

7 A I can't recall. It would have been at one of the
8 early meetings, perhaps the first meeting that we had in
9 December '78.

10 Q And do you recall whether it was a member of the
11 staff who made that comment?

12 A It was not a member of the staff I'm sure.

13 Q Do you recall whether it was someone from Consumers
14 Power Company?

15 A It could have been someone from Consumers or some-
16 one representing Consumers at that meeting.

17 Q Is it possible that what you recall is someone
18 speculating on what might be the case if soil were placed dry
19 of optimum?

20 A I think it had more to do with why is this fill
21 settling than whether it was placed dry or wet of optimum.

22 I suspect that most engineers would come to the

eb83

1 same judgment, realizing the source of the borrow materials.

2 MR. ZAMARIN: Could you read that back, please?

3 (Whereupon, the Reporter read from the record
4 as requested.)

5 BY MR. ZAMARIN:

6 Q When you say that most engineers would come to the
7 same judgment, what judgment are you referring to?

8 A The judgment that Consumers suspected -- I think
9 it was Consumers who suspected that the fill could have been
10 placed dry of optimum and then, as it absorbed the water,
11 groundwater, on lake filling it would soften and allow com-
12 pression to occur.

13 I believe that was the hypothesis offered early
14 on in the investigation.

15 Q And has anyone ever told you at any time subse-
16 quent to that that they have moved toward proving that hypo-
17 thesis?

18 A Not to my recollection.

19 Q Have you discussed this question of whether fill
20 had been placed dry of optimum with Joseph Kane?

21 A I probably have, yes.

22 Q Do you recall any of your discussions with Joe

eb84

1 Kane about the possibility of fill having been placed dry of
2 optimum?

3 A I know we discussed it many times but I'm not
4 certain that I can answer yes, that dry of optimum placement
5 of fill was our major discussion topic. I really can't
6 answer that positively.

7 Q I'm not asking whether it was a major discussion
8 topic. I'm just asking if you recall the gist or substance
9 of any conversations with Joe Kane with regard to that subject.

10 A I think we discussed the reasonableness of that
11 hypothesis, yes.

12 Q And was your discussion about the reasonableness
13 of that hypothesis centered about the fact that it was a
14 possible explanation for the settlement behavior of the fill?

15 A Yes, sir.

16 Q You have seen at one time or another, have you not,
17 the settlement log time curve for the diesel generator
18 building?

19 A Yes, I have.

20 Q And in your opinion is any portion of that curve
21 due to closing of cracks in clay balls?

22 A It's likely that a part of it is due to that, but

eb85

1 I don't know that that's a fact.

2 Q And if in fact part of the curve is influenced by
3 closing of cracks in clay balls, would that affect the over-
4 all reliability of that curve in predicting future settlement
5 in your opinion?

6 A I don't know the answer to that question and I
7 suspect few others do.

8 Q You suspect few others do?

9 A I suspect few others do know the answer.

10 Q Okay.

11 In your opinion is it very likely that the settle-
12 ment log time plot could represent in the first branch of
13 that plot the closing of cracks in clay balls under the
14 diesel generator building and then, in the second branch,
15 that is, after the change in slope in that plot, primary
16 consolidation, and that no secondary consolidation settlement
17 data is reflected on that graph at all?

18 A That's possible.

19 Q Is it likely? And when I say "likely," I'm asking
20 would that be a reasonable interpretation of that plot from
21 a geotechnical engineering standpoint?

22 A Yes, I think that's a reasonable working hypothesis.

e-86

1 Q When you say that's a reasonable working hypo-
2 thesis, are you saying that that is a reasonable interpreta-
3 tion of that plot from a geotechnical engineering viewpoint?

4 A Yes.

5 Q And what do you base that opinion on?

6 A That opinion is based on the observation when the
7 settlement began, almost as soon as the loads were applied,
8 which would indicate we're not consolidating anything, we're
9 just squeezing this spring a little tighter and causing it
10 to move downward.

11 It is also based I think on the rapidity with
12 which -- not rapidity, the speed with which consolidation
13 occurred on initial loading. I think most people would look
14 at that and feel that that was the way a sand would behave
15 or the way a spring would behave, rapid initial consolidation.

16 The latter part of the curve, one could hypothe-
17 size, would be the onset of -- call it secondary consolida-
18 tion of the cracks or primary consolidation of the clay, or
19 part each would be a reasonable interpretation as well.

20 Q The Army Corps of Engineers are your consultants
21 with regard to the geotechnical matters on the soils issue,
22 are they not?

eb87

1 A Yes.

2 Q Do you have confidence in the competence of their
3 personnel?

4 A Yes, sir.

5 Q Are you aware of any calculations that were done
6 by anyone within the Army Corps of Engineers with regard to
7 the number of days that they believed it would take to reach
8 secondary consolidation under the surcharge loading of the
9 diesel generator building?

5.310

10 A I'm not aware of such calculations, no.

11 Q If such calculations had been done by one of the
12 Army Corps of Engineers personnel and those calculations
13 showed a figure of 42 days from the time of the loading of the
14 surcharge to secondary consolidation, would your interpreta-
15 tion of the log time settlement plot that you just described
16 to us as a hypothesis change at all?

17 A I don't know why it would.

18 Q You don't.

19 Well, you've hypothesized that what we have perhaps
20 on that plot is the primary branch of the plot being closing
21 of cracks and the secondary branch of the plot being the
22 secondary consolidation of the cracks and the primary

eb88

1 consolidation of the clay.

2 It seems to me that would be inconsistent with the
3 calculation by one of your consultants in whom you have
4 confidence that secondary consolidation under the load and
5 the conditions of the diesel generator building would occur
6 at 42 days.

7 A I'm not aware of that calculation.

8 Q I asked you to assume that calculation in my
9 previous question.

10 A All right, I assume that calculation.

11 Q In other words assume that one of the personnel
12 from the Corps has calculated 42 days as the date of secondary
13 consolidation. And would that factor, if you were aware of
14 that, cause you to change your thinking at all about the
15 hypothesis with regard to the closing of cracks representing
16 the first branch and the primary consolidation of clays being
17 represented by the second branch of that plot?

18 A I think you have to look at the basis on which the
19 calculations were made and I'm assuming that basis is some
20 kind of laboratory test made on a sample of the fill. And
21 I would interpret the closing of the cracks in the sample
22 in the same way as I would interpret the settlement of the

eb89

1 building.

2 In other words if you had a sample and if there
3 were cracks in that sample and if you put it in the con-
4 solidometer and if you recorded the settlement -- consolida-
5 tion behavior time, log time or log pressure or whatever you
6 have to work with, you could measure the closing of those
7 cracks in the laboratory and you could make a calculation
8 that showed primary consolidation as a -- I don't want to use
9 the word "mind set" -- as a working hypothesis in the same
10 way that you could use primary consolidation as a working
11 hypothesis.

5.360

12 Whether in fact the cracks are closing or whether
13 in fact primary consolidation is occurring I really don't
14 know, and the calculations would not show the difference. It
15 would be one and the same physical phenomenon. The interpre-
16 tion and the words used to describe that behavior would be
17 different.

18 Q Are you aware that there is a means of calculating
19 the predicted time to secondary consolidation in a given soil
20 situation without the use of laboratory sampling and data?

21 A You can do it based on the physical characteristics,
22 soil parameters and assumptions of drainage paths. You

eh90

1 certainly can.

2 Q If that were the procedure that was followed by
3 the Army Corps of Engineers personnel, and based upon those
4 calculations that were done by the Army Corps of Engineers
5 where the time to secondary consolidation was calculated to
6 be 42 days, would you still feel that the primary branch of
7 the log time settlement curve for the diesel generator build-
8 ing representing closing of cracks and the secondary branch
9 representing only primary consolidation is a reasonable
10 hypothesis?

11 A I would consider the calculational evidence as
12 supporting the fact or supporting the hypothesis that the
13 initial settlement was in fact primary consolidation and not
14 the closing of cracks.

15 Q Did you see any clay balls in the test pit
16 adjacent to the service water pump house?

17 A I have not seen what I would call clay balls in
18 the fill anywhere. I think the term "clay balls" is another
19 useful word to describe the possible presence of voids or
20 macro voids, as Dr. Peck calls them, in the fill. Those balls
21 are probably envisioned by different people in different
22 ways. I suspect Professor Peck and others familiar with the

eb91 1 borrow pit operation-- I suspect the clay ball represents
2 what is peeled from the bottom of that borrow pit and
3 probably went into the grader in a round shape and is not
4 what you would consider to be a homogeneous, small, base-
5 ball sized piece of material.

6 So I don't expect one would see that representa-
7 tion of a clay ball in the borrow pit.

8 Q Did you see in the excavation at the diesel
9 generator building anything that looked like the clay things
10 that have cracks in them that are going to be closed under
11 the surcharge load we've been talking about?

12 A No, I did not see them in that borrow pit. I've
13 imagined them from the results of the pocket penetrometer
14 work that was done in the test pit early in the investigation.

15 Q And which test pit are you referring to now?

16 A It's one that was I believe somewhere in the sub-
17 mission, probably before January of 1979.

18 Q I mean do you know what the location of that test
19 pit was?

20 A The location was interior to the diesel generator
21 building, and I believe it was in the eastern bay, as I
22 recall, the eastern bay and close to the north wall, one of

eb92

1 the eastern bays and close to the north wall.

2 Q So you have never seen any of these cracks that
3 were part of that hypothesis about closing but you imagine
4 those based on that penetrometer data?

5 A That's right. Those test pits were closed before
6 we were notified.

7 Q How is it that the data from that pocket penetro-
8 meter leads you to imagine these cracks?

9 A The wall of the test pit was marked off in I
10 believe three-inch squares and a pocket penetrometer was used
11 within these squares and a plot of the results -- not a
12 plot of the results but a plan view of the results was
13 presented. And by looking at these results you see a very
14 wide variation in the unconfined compressive strength attri-
15 buted to the clays on the wall of the test pit.

16 And it's difficult to understand how zero com-
17 pressive strength could be obtained on a fill from a borrow
18 area composed of glacial till unless in fact that penetro-
19 meter reached what I would consider to be a crack or a void
20 between the different lifts or between the slabs of material
21 that were laid down in the fill.

22 Quite satisfactory results, fairly high unconfined

eb93

1 strengths were obtained at other points on that cross-
2 section. I personally have simply formed that as a model of
3 what I see the fill representing.

4 Q Would one of those areas of zero compressive
5 strength represent a spot where somebody swiped a cobble?

6 (Laughter.)

7 A I feel that would be unlikely, sir.

8 Q Did you ever discuss this pocket penetrometer
9 result with anyone else within the NRC, to your recollection?

10 A Not in the manner I have just discussed it with you.
11 I thought that everyone would -- not everyone, but the inter-
12 pretation of how the fill came to be in such a rather -- or
13 came to give wide values to pocket penetrometer resistance
14 could be interpreted by those who reviewed the data.

15 Q So your answer is no, you don't recall having
16 discussed it?

17 A No, I did not discuss that aspect.

18 Q If none of the penetrometer readings had presented
19 indications of zero compressive strengths, would that then
20 indicate an absence of cracks or voids between the different
21 lifts or slabs of material in that area?

22 A Not necessarily, but far less likely.

eb94

1 Q What does the pocket penetrometer measure?

2 A I believe a pocket penetrometer is calibrated in
3 terms of the unconfined compressive strength in tons per
4 square foot. If it's not that number it's twice or half of
5 that number. Either way, zero comes out zero.

6 Q Is that compressive strength to which you refer
7 related to shear strength?

8 A Yes, sir.

9 Q How?

10 A Well, the unconfined compressive strength is
11 roughly half the shear strength of that material.

12 Q Is this penetrometer data-- Strike that.

13 Would the presence of these imagined cracks or
14 voids between different lifts or slabs of material that we've
15 been talking about tend to increase or decrease, in your
16 opinion, drainage paths available for dissipation of pore
17 water pressure during surcharge -- drainage rates or paths?

18 A It probably in fact wouldn't make much difference.

19 Q Why not?

20 A Well, the cracks become filled with water. The
21 cracks are discontinuous. In order to force the water out
22 from any of these voids it has to have some place to go. If

eb95 1 the void is discontinuous then it must go through fill at some
2 other point.

3 Q How do you know the voids would be discontinuous?

4 A How do I know they'd be discontinuous?

5 Q Yes.

6 A The slabs of fill would have finite bending
7 strength and they could only span a given distance before
8 they're supported at some point, just from a mechanic's point
9 of view.

10 Q What you're saying is if they were continuous
11 then they would just fall together?

12 A Yes.

13 Q They've got to be discontinuous so as to have
14 support along their length?

15 A Yes.

5.680 16 Q Is it possible that these voids which you imagine
17 could interact or interface with areas of granular soil so
18 as to provide some type of continuous drainage path?

19 A It's possible.

20 Q And is it likely under circumstances existing
21 under the diesel generator building that that would happen,
22 at least to some extent, and thereby increase the drainage

eb96

1 rate during preload?

2 A To answer that question you need to realize that
3 the clay can drain horizontally or vertically. The shortest
4 path in terms of distance would be vertical, so that the
5 water in the voids would move up above the present water
6 table and be dissipated at that point.

7 The cracks I would anticipate, considering how the
8 fill was laid down, would be primarily in a horizontal plane
9 and considering drainage in the horizontal direction, it
10 would have to move a considerable distance in order to dissi-
11 pate itself. So that's why I responded that it probably
12 wouldn't make a lot of difference as to whether the cracks
B6 13 were there continuous, discontinuous, or whatever.

14 Q In your opinion as a geotechnical engineer is
C4 15 it possible that effective drainage paths exist underneath
16 the diesel generator building of a shorter distance hori-
17 zontally than vertically?

18 A Sure, it's possible.

19 Q Do you think it's likely?

20 A It is likely for those parts of the fill adjacent
21 to the diesel generator building in which we have some confi-
22 dence that sand was placed beneath those, say, footings. In

eb97

1 those areas they probably did drain to that same boundary.

2 (Recess.)

3 BY MR. ZAMARIN:

4 Q What would you predict the pocket penetrometer
5 value to be for a sand seam near the surface of the fill in
6 the area of the diesel generator building? Would you expect
7 it to be close to zero?

8 A I would expect, since it's near the surface or at
9 the surface, it would be zero. Since those particular pocket
10 penetrometer values were taken I believe at least at a depth
11 of five feet, even if sand lenses had been present I would
12 expect the pocket penetrometer to give a recording somewhere
13 above zero.

14 Q Like what above zero?

15 A Oh, one ton per square foot, perhaps two tons
16 per square foot.

17 Q And you're talking about pocket penetrometer
18 values for sand seams five feet below the surface you would
19 expect to be one or two tons per square foot?

20 A Interspersed between the clay layers and loaded
21 by the clay layers, in that vicinity.

22 Q I believe you stated in the first portion of your

6.035

eb98

1 deposition that sections of the dike near the service water
2 pump structure were safety-related or necessary for assurance
3 of safety.

4 Are there other areas or portion of the dike which
5 you believe are necessary to safety or necessary for insurance
6 of safety?

7 A I believe that I included all of the areas in the
8 previous deposition. But that would include any parts of the
9 dike that could affect the return lines for the service water
10 pond or that could possibly affect the capacity of the pond
11 itself.

12 Q What do you mean by affect the capacity of the
13 pond?

14 A The pond has a finite capacity and if soil should
15 move into the pond, it would displace water, reducing the
16 capacity of the pond, the volume of the pond such that greater
17 than expected temperatures would be obtained when that pond
18 was being used.

19 Q You're talking about the operating cooling pond
20 and not the emergency cooling pond?

21 A No, I'm talking about the emergency cooling pond.

22 Q I see.

eb99 1 Do you believe that there is a likely problem with
2 the dikes at Midland?

3 A "Likely" implies to me a good possibility, at
4 least a 50 percent chance of a failure affecting the safety-
5 related portion of the pond or the facilities related to the
6 pond. I honestly don't know what that probability would be.
7 I guess it would be less than 50 percent.

8 But in any case it must be a very low likelihood
9 of failure in order to be acceptable for safety purposes.

10 Q And do you have any evidence that would lead you
11 to believe that it is higher than that very low level which
12 would be acceptable?

13 A I have no confidence that the level is very low
14 because I have no basis to evaluate the ability of those
15 slopes to remain stable.

16 Q That wasn't my question.

17 A Your question was would I have any information to
18 indicate that there is a safety problem now or likely to be
19 in the future.

20 Q That's correct.

21 A And my answer would be no. And I must qualify
22 that:

eb100 1 I have no information to answer that the dikes are
2 safe, which is my job to do.

3 Q In your opinion is it customary engineering prac-
4 tice to take borings in dikes or earthen embankments in
5 order to obtain samples for laboratory testing after the
6 embankment or dike is in service for retaining water, or after
7 the pond or whatever it is that it is built adjacent to is
8 filled?

9 A It is not at all unusual to use borings or test
10 pits to evaluate the quality of the fill that's placed.
11 Generally that operation is done either before the reservoir
12 is filled or in times when the reservoir is at a very low
13 stage.

14 Q My question was with regard to the time when the
15 reservoir is filled.

16 A It's not uncommon to do it that way, to take
17 borings when the reservoir is filled.

18 Q And take borings for the purpose of obtaining
19 samples for laboratory testing as opposed to, for example,
20 installing piezometers?

21 A No, laboratory testing, yes.

22 Q Can you tell me all of the situations of which you're

eb101

1 aware where such borings have been taken?

.120

2 A I'm aware that borings were taken on a Corps of
3 Engineers project in northwestern Mississippi. I'm struggling
4 now with the name of that project. It begins with an "S"
5 but I can't put the rest of it to it.

6 The purpose of those borings were to investigate
7 the density of the fill material that had been placed in
8 order to make an assessment of the earthquake stability. I
9 believe the project was Sardis Reservoir.

10 I'm aware of a very small embankment similar to
11 the size that's in place at Midland being bored, sampled,
12 tested and subsequently pressure grouted in order to alleviate
13 an underseepage condition that had developed in that full
14 reservoir. That project was carried out on the grounds of
15 the Waterways Experiment Station in Vicksburg, Mississippi.

16 I'm aware of, although I did not participate first-
17 hand, an investigation of a large dam I believe in Wyoming
18 or Montana which is a Corps project in which borings were
19 placed in the downstream portion of that embankment for
20 purposes of obtaining samples testing and assessing its
21 resistance to earthquake effects.

22 So it's not uncommon.

eb102

1 Q So in your opinion then it is a customary practice
2 to take borings in order to obtain samples for laboratory
3 tests in dams or earthen embankments after their reservoirs
4 are filled?

5 A If it's necessary, yes.

6 Q Okay. If it's necessary.

7 By that do you mean if there has been some kind of
8 a problem demonstrated or evidenced that needs remedial
9 action and that therefore information has to be obtained
10 with regard to the extent and the nature of that remedial
11 action?

12 A No. By "necessary" I mean if it's desirable for
13 safety to assess the resistance or potential for resisting,
14 say, an earthquake and if the consequences of that earthquake
15 are unknown with respect to that dam, then it becomes neces-
16 sary to assure the safety of that particular facility and
17 borings then are a necessary part of the assessment of that
18 safety.

19 Q Had any problem been exhibited with the Sardis
20 Reservoir or Dam prior to the taking of borings?

21 A No problems that I'm aware of. I recognize it
22 was constructed many, many years ago using construction

eb103

1 practices that have later been learned to be questionable
2 and with that doubt came the necessity to reassess the
3 stability of the dam.

4 Q What about the Wyoming dam in which downstream
5 portions had borings taken? Had there been any problem or
6 concern exhibited there which led to or prompted the taking
7 of borings in that embankment after it had been filled?

8 A The dam did not exhibit any distress, no. Again
9 it was a matter of assuring that it would respond safely in
10 case of an earthquake.

11 Q What was it that prompted the curiosity with
12 regard to that dam?

13 A The concern with the safety of people downstream
14 from those dams, people and property downstream of those dams.

15 Q Was there something that happened between the
16 time that the dam was being constructed and the time the
17 borings were taken that caused someone to become concerned?

18 A I think the realization that earthen embankments
19 can in fact perform unsatisfactorily, based on experience in
20 Alaska in '64 and San Fernando in '71, that there was a
21 reasonable concern about the ability of those dams to behave
22 properly.

04 1 In other words it was a consideration not recog-
2 nized at the time those dams were initially constructed.

3 Q You referred to something in Alaska in '64. What
4 was that?

5 A There was an earthquake in Alaska and soils be-
6 haved in a way that had not been observed or recognized or
7 analyzed in the past. I'm speaking now of the slides that
8 occurred at Turnagain Heights in which liquefaction phenomena
9 on apparently thin seams of sand caused a large portion of
10 the area to slide into the sea. And it was that behavior
11 that prompted I believe the profession to look more closely
12 at the behavior of certain types of materials during earth-
13 quakes.

14 Q You also made reference to the San Fernando Valley
15 in February of 1971. What happened there?

16 A There was an earthen embankment that performed
17 unsatisfactorily to the point where there was some worry
18 that the downstream residents could suffer damage, again the
19 performance of a particular type of material and engineered
20 construction during an earthquake.

21 Q In your opinion could the taking of borings in
22 a dike, the reservoir of which has already been filled,