

1 UNITED STATES OF AMERICA  
2 NUCLEAR REGULATORY COMMISSION

3 BEFORE THE ATOMIC SAFETY AND LICENSING BOARD

4 ----- )  
5 IN THE MATTER OF: ) Docket Nos. 50-329-OM  
6 CONSUMERS POWER COMPANY ) 50-330-OM  
7 (Midland Plant, Units 1 and 2) ) 50-329-OL  
8 ----- ) 50-330-OL

9 The Discovery Deposition of HARI NARAIN SINGH,  
10 a witness herein, taken pursuant to Notice of Taking  
11 Deposition, before Matthew W. Betz, CSR-2010, Registered  
12 Professional Reporter, a Notary Public within and for the  
13 County of Wayne, State of Michigan, at the McNamara Building,  
14 Detroit, Michigan, on Thursday, December 18, 1980, commencing  
15 about 9:45 o'clock in the forenoon.

14 VOLUME I


15 APPEARANCES:

16 ISHAM, LINCOLN & BEALE  
17 (By Mr. Ronald Zamarin and  
18 Mr. Alan S. Farnell)  
19 One First National Plaza  
20 Chicago, Illinois 60603

21 and

22 JAMES E. BRUNNER, ESQUIRE  
23 212 West Michigan Avenue  
24 Jackson, Michigan 49201  
25 Appearing on behalf of Consumers Power Company

WILLIAM D. PATON, ESQUIRE  
UNITED STATES NUCLEAR REGULATORY COMMISSION  
Washington, D.C. 20555  
Appearing on behalf of the Nuclear Regulatory  
Commission



8103110811 XA

215 PP

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

CONTENTS

WITNESS

PAGE

Hari Narain Singh

Cross Examination by Mr. Zamarin

3

Afternoon Session

Cross Examination by Mr. Zamarin, continued 117



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

SINGH

Detroit, Michigan

Thursday, December 18, 1980

About 9:45 o'clock, A.M.

- - -

H A R I N A R A I N S I N G H, was thereupon called as a witness herein, and after having first been duly sworn by the Notary Public to tell the truth, the whole truth, and nothing but the truth, testified as follows:

- - -

MR. ZAMARIN: This is the deposition of Hari -- is it Narain?

MR. SINGH: Yes.

MR. ZAMARIN (Continuing): Singh, taken pursuant to Notice and agreement of counsel and it will be taken in accordance with the rules of procedure of the Federal District Court and the regulations of the Nuclear Regulatory Commission.

- - -

CROSS EXAMINATION

BY MR. ZAMARIN:

Q Mr. Singh, the Notice of Deposition called for production of certain documents.

A Uh-huh.

Q (Continuing): And as modified by agreement of counsel, quite

SINGH

1  
2 simply it called for production of any documents which were  
3 copies of documents other than those in the public document  
4 room or other than unmarked copies of documents which were  
5 either transmitted to or from Consumers Power Company or  
6 Bechtel in this matter.

7 Do we have and has there been production  
8 to us previously of all such documents that are within your  
9 possession or control as I have just described?

10 A Yes. One thing I would like to ask. I have been preparing  
11 some questions for use with witnesses of Consumers Power.  
12 Shall I present that before --

13 Q (Interposing): You have what?

14 A I have prepared some questions to be asked in the depositions  
15 of certain people we are going to depose them in the future,  
16 and I have certain questions for them and that is in my custody  
17 now.

18 Q I see. Who is it that asked you to write those questions?

19 A I write them and consult with NRC people.

20 Q Okay, who was it that asked you to write them? Did anyone  
21 ask you to write those questions?

22 A Yes, Mr. Joe Kane. I talk to him and we have some kind of  
23 agreement that, "Do you have anything you would like to ask,"  
24 and then we prepare some and we discussed them and finalize.

25 Q Yes, I'd like to see those.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

SINGH

MR. PATON: Just a minute, please. My recollection is that a similar situation came up with Mr. Kane, and I think you asked to have questions produced by Mr. Kane that were written by him for a similar purpose as has just been indicated by Mr. Singh, and we indicated at that time that we would not produce those documents and that's what we expect to do with Mr. Singh.

MR. ZAMARIN: I think you better put on the record the reason why, because obviously I don't think you have any right to protect him with respect to Mr. Singh.

MR. PATON: I don't think I am required to put on the record a legal argument as to why we are not going to produce the documents. One of the reasons is that they involve our preparation for the hearing in this case.

MR. ZAMARIN: I am not asking for a legal argument. There was a production request and it either requires you to produce them or state a privilege.

MR. PATON: I have just stated for the record what the reasons were that we felt that we didn't want to produce those documents.

MR. ZAMARIN: Okay, your objection is because --

MR. PATON (Interposing): I am not objecting. I am just not producing the documents.

1 SINGH

2 MR. ZAMARIN: My understanding of pro-  
3 cedure is you produce them or state an objection.

4 MR. PATON: We are not producing them,  
5 that's correct.

6 MR. ZAMARIN: But you are not objecting  
7 to the production on the basis of --

8 MR. PATON (Interposing): The word  
9 "objecting" is your word. You asked me to produce documents  
10 and I have indicated that we are not going to produce them.  
11 If you want to call that an objection, fine. I don't object  
12 to your calling it an instruction.

13 MR. ZAMARIN: Really what I am saying is  
14 that on a production request you have a choice of doing one of  
15 two things, producing or stating an objection, and I just want  
16 to know what your objection is so that we can deal with that.  
17 You have to do one or the other.

18 MR. PATON: You have asked us to produce  
19 documents and I am indicating to you we are not going to pro-  
20 duce these documents, and you have asked me to make a state-  
21 ment on the record and I told you that I don't feel obliged  
22 to put all my legal reasons as to why we are not doing it on  
23 the record, but one of the reasons we are doing it is, that  
24 we are not going to produce the document, is that these are  
25 documents that Mr. Singh has been asked to prepare in

SETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-8828

OAKLAND (510) 967-7831

1 SINGH

2 preparation of our case.

3 MR. ZAMARIN: All right. Well, off the  
4 record.

5 MR. PATON: Off the record.

6 (Whereupon there was a short discussion  
7 held off the record.)

8 MR. ZAMARIN: Being unpersuaded by your  
9 lack of stated objection I do want production of those docu-  
10 ments and consider the failure to have produced them to be in-  
11 consistent with the notice to produce and notwithstanding that  
12 I will proceed as best I can with the deposition in the ab-  
13 sence of those documents which should have been produced and  
14 whenever we get to the end of the other questions I will  
15 simply have to adjourn subject to coming back if and when we  
16 do get the documents.

17 Q (By Mr. Zamarin, continuing): For whom did you prepare  
18 questions?

19 A Prepare for NRC.

20 Q With regard to what Consumers witnesses?

21 A Almost all.

22 Q All? Tell me each of their names and then I am going to ask  
23 you each of the questions that you prepared for each of these  
24 people.

25 A Questions was prepared, I have prepared for Dr. Peck.



SINGH

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Q All right.

A And I prepare a few questions for Mr. Afifi, and for Mr. Dahr. That's all.

Q Okay. Did you prepare any for Hendron?

A No.

Q Davison?

A No, I'm going to work on that but I have not prepared.

Q Okay. Are there any others that you are going to work on?

A No, I can't recall, but maybe.

Q Okay. You can call me up and let me know when that happens.

A Sure.

Q How many pages of questions have you prepared for Peck, Afifi and Dahr?

A I can't tell. I don't know. I haven't counted the exact number, but it has been retained and discussed and sorted down.

Q Who have you discussed them with?

A Well, I discussed with the NRC lawyers, attorneys, Mr. Kane, Mr. — the other lawyer, Mr. Bradley Jones. That's all.

Q Okay. Tell me as best you can recall each of the questions that you have prepared for Dr. Peck?

A I can't remember, but in the ballpark I can tell. Along these lines, but the exact questions it is very difficult to remember all these things. Mostly the questions were on shear modulus.

SINGH

1  
2 Q What else?

3 A Settlement.

4 Q Okay.

5 A (Continuing): Piezometers and that's all.

6 Q That's all? Okay, let's be a little more specific about what  
7 you want to know from Dr. Peck about shear modulus?

8 A I am not talking about Dr. Peck, Dr. Afifi, I can't answer  
9 for Dr. Peck right now.

10 Q Have you prepared any questions for Dr. Peck?

11 A Yes, but I am not going to tell now because --

12 MR. PATON (Interposing): Wait a minute.

13 MR. ZAMARIN: Whoops, I think you are.

14 MR. PATON: Let's go off the record.

15 A (Continuing): For Dr. Peck I am not on shear modulus, that is  
16 for piezometers.

17 Q That's what?

18 A For piezometers.

19 Q Okay. What are the specific things that you want to know or  
20 that you have prepared for Dr. Peck about piezometers?

21 A Well, I wanted to see where the piezometers are located and  
22 I wanted to find out what is the kind of soil in which the  
23 bottom of the piezometer rests, and I didn't find anywhere  
24 from documents that I can pinpoint and say that is in clay or  
25 sand because no document has been produced by the Consumers

SINGH

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Power, so I wanted to know, ask Dr. Peck how do you know that the piezometers are in clay.

Q Anything else?

A No, I -- at this stage that is my only thing.

Q That's all you want to know from Dr. Peck is where the tip of the piezometer is located?

A Yes because if the piezometer is not in the clay you are not getting --

Q What else have you prepared or do you intend to prepare with regard to questions for Dr. Peck?

A Intend to? I have to study again a little more. I will talk about the settlement.

Q What about settlement?

A The settlement, I assume that settlement is not, is not settlement of the clay actually, okay. Here there is load versus settlement, but the load is not directly going on the ground, it is transmitted to the foundation, the footings, somewhere else, some other element, you know what I mean?

Q Yes.

A So there is a rigidity of the footing which makes the situation like that, and whatever you load you put here (indicating) and that is not going there, it is going somewhere else.

MR. PATON: Let me instruct the witness when you say, "It is not going there. It is going somewhere else."

SINGH

1

2 else," the problem is putting it on the record. When you say,  
3 "It is not going there" when it is typed up it doesn't show,  
4 okay, so be careful. When you say "there" indicate where  
5 "there" is.

6 A Okay. So there is some problem there. I see some problem on  
7 the settlement versus load. That ground is not direct and on  
8 that basis I am going to ask a question from Dr. Peck.

9 Q What question would you ask him?

10 A Is this the correct settlement of the soil underneath.

11 Q What if he says, "Yes"? Then what would you ask him?

12 A I wouldn't agree with him.

13 Q You wouldn't ask him anything else?

14 A Well, he has a right, it is a free country. I say I will not  
15 agree with him.

16 Q Okay. Why wouldn't you agree with him?

17 A Because in my belief when we do consolidation tests and put  
18 the soil on that and the load on that the entire load is  
19 transmitted right below the load, but in this case part of the  
20 load, through the flexibility of the footing is going in  
21 other locations.

22 Q Do you view the pre-load of the Diesel-Generator Building  
23 as one giant consolidation test?

24 A It is not a consolidation test, no.

25 Q I know, that's what I am getting at.

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-2666

OAKLAND (510) 847-7661



SINGH

- 1
- 2 A No.
- 3 Q You view that as a field test, don't you?
- 4 A It is a kind of -- no, it is not a test. I consider that you  
5 are trying to increase the strength of the soil. A test is  
6 something else. A test is to find out parameters, but it is  
7 not a test, you are trying to increase the strength of the  
8 soil. I agree with that.
- 9 Q Okay. Have you ever heard the expression "field test"?
- 10 A Yes.
- 11 Q What is a field test?
- 12 A A field test we do just like you do dewatering test, you do  
13 a field test like a permeability test. That is a field test.
- 14 Q Can you tell me what a field test is without just giving  
15 examples?
- 16 A A field test is done in situations when determining the settle-  
17 ment parameters.
- 18 Q And in your opinion the surcharge program of the Diesel-  
19 Generator Building at Midland could not be viewed as a field  
20 test, is that right?
- 21 A Yes, I would view this thing. You are -- here you are test-  
22 ing the structure not the soil in this case.
- 23 Q I see, and are you testing at all the structure-soil inter-  
24 action?
- 25 A You are testing soil-structure interaction, I agree with that.



SINGH

- 1
- 2 Q That is about the whole ball of wax with the Diesel-Generator  
3 Building, isn't it?
- 4 A Sure.
- 5 Q Okay. Can you tell me to where the load is being transmitted  
6 during the surcharge?
- 7 A Well, under the footing of the Diesel-Generator Building the  
8 soil is not uniform. In certain areas it is stiffer and in  
9 certain areas it is very compressible, acting as a spring  
10 in the area where there is this compression the footings  
11 bend and due to this bending action the load is not uniform  
12 under the bottom.
- 13 Q All right, and you indicated before that the load was going  
14 some place else other than --
- 15 A (Interposing): Yes. The load you apply reacts from the  
16 bottom. The total load on the footing remains the same but  
17 under the footing they are not uniform.
- 18 Q What is the significance of this observation of yours?
- 19 A Significance of this will be we have a load displacement  
20 diagram and that load is not realistic because that is based,  
21 that entire load placement on the footing is going on the soil  
22 below that.
- 23 Q I see, and I am still not clear. Some of the load is trans-  
24 mitted to the soil?
- 25 A No, it is all transmitted to the soil one place and the other

SINGH

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

The entire load is going on the soil, but suppose you put the two tons of load here (indicating) --

Q (Interposing): Are you saying that it isn't being uniformly transmitted to the soil?

A Uh-huh, yes, yes, yes.

Q So that would mean that with respect to certain of that soil underneath the Diesel-Generator Building, in your opinion it is being subjected to greater loads than those that are indicated on the load displacement diagram, is that right?

A Let me answer, at certain locations some is more and some is less.

Q But the sum of all of those loads will be equal to the total of the load?

A Sure.

Q Okay. Why is that a problem?

A It is a problem that under the very soft foundation, on the soft area, and there are also a more stiff area, and in the future it is going to be subjected to more load.

Q Yes.

A (Continuing): And then it will settle more.

Q What is this future load you are referring to?

A Well, the structure is designed for eight or nine combinations of loading.

Q Eight or nine combinations of load?

SINGH

- 1
- 2 A Yes, a lot of combinations of load. It is for tornado, wind  
3 load, live loads, small loads, so you can see those things,  
4 they might come later.
- 5 Q So in your opinion environmental loading will induce addition-  
6 al settlement in the building?
- 7 A No, it might fracture the foundation because --
- 8 Q (Interposing): Go on.
- 9 A (Continuing): What happen because the load is not uniformly  
10 distributed on the foundation, it has induced some bending in  
11 the footing for which the footing has not been designed.
- 12 Q Have you observed such bending?
- 13 A Well, you have to remove the foundation, because I was not  
14 supposed to, I got the paper and see the documents and I con-  
15 cluded that settlement is not uniform. There are differ-  
16 ences in settlement and --
- 17 Q (Interposing): So as you sit here now this is just an opinion  
18 that you have?
- 19 A It is not opinion from the information you have given to me.  
20 Opinion is from just guessing. It was from what the informa-  
21 tion is you have given and from that I am predicting.
- 22 Q Opinion isn't formed from guessing. If you ever tell me you  
23 have an opinion I am going to ask you for the facts on which  
24 you base it, so I disagree, opinion is not formed from guess-  
25 ing.

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-2888

OAKLAND (510) 587-7821

1 SINGH

2 Tell me all of the facts upon which you  
3 base your statement which you call an opinion that the founda-  
4 tion is, I think you said, "bending"?

5 MR. PATON: Is that what you said?

6 A Bending, yes.

7 Q Okay, do you understand my question?

8 A Yes, I saw the settlements on the corners, east and west --  
9 let me see, it was north, okay, east, west, where it was more  
10 in the middle, and that means the foundation had warped.

11 Q Had warped?

12 A Just like this (indicating), warped.

13 Q And warping means bending?

14 A Yes.

15 Q How much was that warped?

16 A Well, I didn't calculate. I saw the warping and someone else  
17 has to do the calculation.

18 Q Somebody has to do it and you review it?

19 A Yes, the applicants do this thing.

20 Q I see. It is not your job to do that?

21 A Oh, no, no, no, no.

22 Q Did you ask anybody for that calculation?

23 A No, I have not asked. I have already given my report that  
24 there will be bending in that and somebody should check this  
25 thing. I have given my comment.

1

SINGH

- 2 Q To whom did you give that comment?
- 3 A Well, NRC.
- 4 Q To whom, to Mr. Kane?
- 5 A I don't send to Mr. Kane, it goes to NCD, North Central  
6 Division and from then it goes to NRC.
- 7 Q To Jim Simpson?
- 8 A I give it to Bill Otto, and he give it to the chief engineer-  
9 ing divisor, and from there where it goes I don't know.
- 10 Q Who is the chief engineer?
- 11 A The chief engineer is Mr. McAllister — Chief Engineering  
12 Division, not Chief Engineer.
- 13 Q The Chief of the Engineering Division is Mr. McAllister?
- 14 A Uh-huh.
- 15 Q What is his first name?
- 16 A Phil, I should say Phillip.
- 17 Q Phillip? Okay. Is he located in Chicago?
- 18 A No, he is located in our office.
- 19 Q He is here?
- 20 A Right here, yes.
- 21 Q All right. Did you keep a copy of this report?
- 22 A Yes, I keep it. Ultimately it goes to the NRC file.
- 23 Q Did you keep a copy?
- 24 A Yes, I keep a copy.
- 25 Q I don't recall ever seeing a copy of that report.



SINGH

1

2 A You don't see?

3 Q I don't think so.

4 A Well, you have to see it to refresh your memory. It is there.

5 MR. PATON: The Witness indicates that was  
6 included in his first papers that he gave you, if you want to  
7 ask him about that.

8 A It may be some other language but the meaning was the same.

9 MR. PATON: If it helps any, I believe,  
10 if I understood what the Witness just told me, is that that  
11 information is in the Core Report which I think is dated  
12 July 7th, which was attached to the staff's letter of August  
13 4th. I believe that's correct.14 MR. ZAMARIN: All right. I was thinking in  
15 terms of a Hari Singh report when he said "his report."16 MR. PATON: Well, I think that is the  
17 situation.18 MR. ZAMARIN: Okay, yes, we do have more  
19 copies of that than we will ever need.20 Q (By Mr. Zamarin, continuing): Other than the settlement  
21 markers on the corners, east and west, which were more than in  
22 the middle, do you have any other fact upon which you base  
23 your statement that the foundation was warped?24 A No, sir, that is the information I got and on that basis I  
25 wrote this in my remarks.

SINGH

2 Q So it is just based on that that you have the opinion or  
3 form the conclusion that there was warping in the foundation?

4 A Uh-huh.

5 Q Do you have any evidence to suggest that warping has produced  
6 stress in the structure that is not tolerable by the structure.

7 A No. I would like to see that somebody should calculate and  
8 give it to me and I will review it.

9 Q Would that be a structural matter as opposed to a geotechnical  
10 matter?

11 A I think it is a foundation -- I consider this as part of geo-  
12 technical engineering. It is foundations. The foundation I  
13 consider that.

14 Q What else do you want to know from Dr. Peck besides where the  
15 tips of piezometers are, how he knows what the soils are like  
16 where the tips were resting and whether the building bent or  
17 not?

18 A This is just -- I can't tell because I haven't made up my mind.  
19 I might study some more and think up some more.

20 Q Okay. I won't hold you to it but tell me what you think you  
21 might ask?

22 A I can't see anything now, but tomorrow something might pop up.

23 Q And you will call me? When you say that you had prepared  
24 questions it was only with regard to where the piezometer  
25 tips were located and where there has been warping in the

SINGH

- 1  
2 Diesel-Generator Building which has exceeded allowable stress  
3 A That I am not going to ask Dr. Peck about.  
4 Q Who do you want to ask that from?  
5 A I have retained the question and NRC has given it to the  
6 applicants and somebody will answer.  
7 Q In the 5050-4F questions I think there was one about warping?  
8 A Yes.  
9 Q In these questions that are contained in this document that  
10 nobody will give us a copy of, is there anything in there be-  
11 sides quest'ous about where the tip of the piezometers are?  
12 A At that time, after writing that report I did further study  
13 and in further study then I found something I am not giving  
14 in that report.  
15 Q What is it that you found?  
16 A No, I did some more study on the information I got from  
17 Consumers Power.  
18 Q Yes?  
19 A (Continuing): And then I am beginning to doubt that. I  
20 request borings for all the piezometers in September and the  
21 say, "We don't have any record," but those records which I  
22 sent to you, the 5050-4, I went in June or July, so I am  
23 waiting for information from Consumers Power regarding the  
24 piezometers and then I will make my decision.

25 MR. ZAMARIN: Would you read back the 1:

SINGH

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

answer, please (to Reporter)?

(Whereupon the Reporter read back the  
previous answer.)

Q (By Mr. Zamarin, continuing): You told us earlier that you  
had some written questions for Consumers Power Company with

A Yes.

Q I think that is what you said?

A Yes, uh-huh.

Q Okay, and in those written questions, the ones that would be  
asked of Dr. Peck, are there any that have anything to do with  
something besides where the piezometer tip was?

A It is concerning piezometers. No, it is all concerning  
piezometers.

Q What besides where the tip rests and the type of soil where  
the tip rests are you asking about piezometers?

A Because the piezometers give the pore pressure developed in  
the soil right where it is located.

Q No, I didn't ask why. I asked what else about the piezometers  
you want to have Dr. Peck questioned about?

A I am questioning that Dr. Peck got information from Consumers  
Power about the piezometers that vary the pore pressure  
dissipation, pore pressure level up, did he inquire whether  
these pore pressure which is developed is actually in clay  
is it in the sand.

1

SINGH

2 Q Have you ever seen a table anywhere that listed the types of  
3 soil in which the piezometers were located?

4 A I saw this thing, yes.

5 Q Where?

6 A Oh, in one of the questions, I think it was number 14 or some  
7 thing out of 27.

8 Q What did that tell you?

9 A I wanted to verify from the boring log.

10 Q You mean you don't believe what is in that table?

11 A No, no, it is not a question of believing. I am a reviewer.  
12 I don't believe anything until I verify it.

13 Q I see, so if somebody tells you a stove is hot you have to put  
14 your finger on it?

15 A No, somebody say it is like that, and then I say who did it  
16 and how it is done, give that record to me, that it has been  
17 done by a qualified geotechnical engineer and then I will see  
18 the borings and then I make the decision.

19 Q Did anyone ever tell you that that is what your function  
20 should be as a reviewer in this case?

21 A Yes, I am reviewer.

22

23 MR. PATON: I am not sure it was entirely  
24 clear that that is what your function should be. I don't  
25 know what you mean by your question. He said he was a reviewer and what do you mean by your question now?

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 561-2625

OAKLAND (510) 267-7821



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

SINGH

MR. ZAMARIN: Would you read the question  
back?

MR. PATON: Listen to his question and  
answer it.

A Yes, I did listen to his question.

(Whereupon the Reporter read back the  
previous question.)

Q (By Mr. Samarin, continuing): That was with regard to your  
previous answer when you said it was to verify everything  
like that.

A Yes, I am the reviewer.

Q Did anyone ever tell you that this is what you are supposed  
to do and that is that you are supposed to verify things like  
that?

A No, once I am reviewer I know my responsibility.

Q How do you know that?

A I have reviewed for the last ten years on these things.

Q I see, so it is just based on what you have been doing for  
the past ten years that you know what to do now?

A Yes.

Q Okay. Were the reviews that you did within the last ten  
years all NRC reviews?

A No.

Q Were any of them NRC reviews?

1

SINGH

- 2 A No.
- 3 Q This is the first one?
- 4 A First NRC.
- 5 Q I am sorry?
- 6 A This is first for NRC.
- 7 Q About how many questions is it that you have prepared for  
8 Consumers witnesses?
- 9 A Well, I can't remember exactly now.
- 10 Q Oh, give me an approximate number. More than 100?
- 11 A Oh, no.
- 12 Q More than one?
- 13 A More than one, definitely.
- 14 Q More than ten?
- 15 A No, not more than ten, no.
- 16 Q More than five?
- 17 A Yes.
- 18 Q Somewhere between five and ten?
- 19 A Uh-huh.
- 20 Q Okay, and these are all of the questions that you have pre-  
21 pared for any Consumers witness, just between five and ten  
22 questions?
- 23 A It is five broken down into others, but it is asking mainly  
24 about the piezometers.
- 25 Q Oh, you were asking about five areas and one of the five would

SINGH

- 1
- 2 be piezometers?
- 3 A Piezometers and this can be broken into many questions.
- 4 Q Okay. Now, in these questions that you prepared did you just
- 5 write "piezometers" or do you actually have questions?
- 6 A Just like I told you previously.
- 7 Q Okay, and you have told me all about the questions with re-
- 8 gard to piezometers that you have. There is nothing else,
- 9 is that right?
- 10 A Yes.
- 11 Q Okay. What about any other areas or any other questions that
- 12 you have for any Consumer witnesses? Tell me what those are.
- 13 A Well, I will have some more -- I have not decided, you know,
- 14 because I might think tomorrow because I have to continue on
- 15 that.
- 16 Q Well, no, I am not saying, you know, that you have to promise
- 17 that you are not going to have any more, all I want to know
- 18 is what you have got now?
- 19 A Yes.
- 20 Q Okay, do you have anything other than what you have already
- 21 told me about?
- 22 A No, only for Dr. Peck, he is going to be deposed in the future.
- 23 Q Yes.
- 24 A So I have only for him so far.
- 25 Q Okay. What would you like to know from Davison?

SINGH

1  
2 A Well, I would like to know about the caissons --

3 MR. PATON (Interposing): Is your ques-  
4 tion directed to what questions he has already prepared for  
5 Dr. Davison?

6 MR. ZAMARIN: He said he was going to  
7 work on it. He said he hadn't done it yet.

8 MR. PATON: And you are asking him what  
9 he is going to do in the future?

10 MR. ZAMARIN: Yes, what he'd like to  
11 know.

12 MR. PATON: Just a minute. Your question  
13 is, "What would you like to know from Dr. Davison"?

14 MR. ZAMARIN: Almost precisely, yes.  
15 It would be a lot easier if you'd let me see that document,  
16 Bill, we would save a couple of hours.

17 MR. PATON: Your question, do I understand  
18 your question to be, you said something like, "What do you  
19 want to know from Dr. Davison," and are you indicating his  
20 present state of mind? In other words, what he now wants to  
21 know from Dr. Davison or are you asking him to predict in the  
22 future what he will want to know from Dr. Davison?

23 MR. ZAMARIN: Both.

24 MR. PATON: Well, I will let him answer  
25 the question of what he presently thinks he wants to know from

SINGH

1  
2 Dr. Davison but I don't think he should answer a question  
3 about what you wonder what he is going to think in the future  
4 about what he wants to ask Dr. Davison.

5 MR. ZAMARIN: Well, let's ask him the one  
6 first and then I am going to ask the other one, and as I  
7 understand your objection it is the most bizarre objection  
8 that I have ever heard.

9 MR. PATON: Mr. Zamarin, your comments  
10 regarding the objection being bizarre, I think --

11 MR. ZAMARIN (Interposing): It is.

12 MR. PATON (Continuing): I think it could  
13 hardly be more straightforward. You were asking him to pre-  
14 dict something in the future.

15 MR. ZAMARIN: Yes.

16 MR. PATON: And you characterize that as  
17 a bizarre objection? I don't think there is anything I can  
18 do to prevent you from following your course of conduct. I  
19 object to it. I don't think it is appropriate.

20 MR. ZAMARIN: I know you don't.

21 MR. PATON: I would ask you to stop that  
22 sort of conduct and let's go ahead and you can have the  
23 answer to the question that I am going to allow him to answer  
24 and then you can ask him again anything that you might want to

25 MR. ZAMARIN: Yes, I will ask it again.



SINGH

MR. PATON: I will ask the witness to tel

1  
2  
3 Mr. Zamarin whatever that question was, which I think was  
4 something like, "What do you want to know from Dr. Davison,"  
5 and please respond to your present thinking of what you now  
6 think you want to know from Dr. Davison, if you can respond  
7 to that question. I am really not so sure that I am clear on  
8 the purpose, but if you can respond to that question please  
9 so.

MR. ZAMARIN: Do you know what the quest

is?

A Yes.

12  
13 Q All right, tell me. I don't mean to tell me what the questi  
14 is, but I mean to give me an answer to the question that I  
15 asked you.

A I would like to know where those caissons will be placed.

Q All right.

A And how it will be built.

18  
19 Q Could you be more specific about what you want to know about  
20 how it would be built?

21 A Yes, because I don't see very much space there so I, as a  
22 matter of fact I read in your report, June or July, and that  
23 is what.

24 Q Okay, is there anything else that you'd like to know from  
25 Dr. Davison?

1 SINGH

2 A Not at this stage. I have nothing.

#2 3 Q Okay. I am not asking you for things that you definitely  
4 want to ask, but is there anything else that you might want  
5 to know from him?

6 MR. PATON: You mean now? Is that what  
7 he knows now or what he may think of in the future?

8 MR. ZAMARIN: Both, and if he hasn't  
9 thought of anything yet with regard to the future then,  
10 obviously, that is not going to be within his mind right now.

11 MR. PATON: That's fine. I thought that  
12 was the distinction you were drawing. I am not objecting to  
13 him telling you what he knows now. I thought you were asking  
14 some questions about what he may think in the future.

15 MR. ZAMARIN: That's impossible.

16 MR. PATON: Fine. That was my objection  
17 to the question. I think you have changed your question.

18 MR. ZAMARIN: No, I haven't. It is the  
19 same question.

20 MR. PATON: Okay, if you can answer the  
21 question go ahead.

22 A In the future I can't tell. I don't know.

23 Q (By Mr. Zamarin, continuing): I am not asking you just for  
24 things that you definitely you know you want to ask him now.  
25 What I am asking you is are there some other things that you

SINGH

1

2 might want to ask him but you just haven't decided yet?

3 A No. No, I have nothing in my mind.

4 Q Okay, so all you have been able to come up with so far is  
5 that you might want to know from Davison where the caissons  
6 are going to go?

7 A Uh-huh.

8 Q And how they are going to be placed because you don't see  
9 much room in there to put too many of them, is that right?

10 A Yes, and what I want I have already written in my report.

11 Q All right, so there is nothing other than what is in the  
12 July 7th, Corps report that you have thought of with regard  
13 to Davison?

14 A At this time I don't think of anything. Maybe after two we  
15 I might.

16 Q And then you will call me.

17 So far you have told me that you prepar  
18 between five and ten questions and all I have gotten are th  
19 piezometers, and a warping of the Diesel-Generator Building

20 A (Interposing): And the caissons.

21 Q And the caissons.

22 A It is written also, I have already sent it.

23 Q All right, I am talking about this document --

24 A (Interposing): Okay, this document -- you are not talking  
25 about the report?

SINGH

1

2 Q No.

3 A Okay.

4 Q I am talking about the document that I want to look at, and  
5 that —

6 A (Interposing): No, go ahead, go ahead.

7 Q Go ahead, what were you going to say?

8 A These five questions include all that, they have been asked and  
9 these are already asked from Dr. Afifi, so it is not what I  
10 am going to ask new already.11 Q Oh, okay. Do you have any problems with the pre-load other  
12 than wanting to know where the tips of the piezometers were  
13 located?

14 A What do you mean? I don't understand. What problem?

15 Q Well, do you have any disagreements with the way the pre-load  
16 was conducted other than not knowing where the tips are?17 MR. PATON: May I inquire, you are now  
18 asking him about the entire pre-load program, not anything to  
19 do with questions that he intends to ask a witness, but now  
20 you are asking about the pre-load program, any problems he  
21 may have with the pre-load program?

22 MR. ZAMARIN: Yes.

23 A I did review to check the stability of the foundation. I  
24 want exactly the shear strength parameters to check the  
25 foundation is stable, the settlement is less, and if the

1

SINGH

2 pre-load gives this correctly then I will accept it.

3 Q If the pre-load does what?

4 A Gives correct, I will accept it.

5 Q If the pre-load does what?

6 A The shear strength parameters or settlement parameters,  
7 compressibility, if that pre-load will give it to me so tha  
8 I can review the whole thing I will accept it.

9 Q Does the pre-load usually give compressibility parameters?

10 A Yes, you can. The pre-load, the function of the pre-load is  
11 to strengthen the foundation and go and test it afterwards  
12 undisturbed samples and find out the strength and then I wil  
13 get it and make my decision on that.

14 Q So far as you are concerned then after any type of a pre-load  
15 of a surcharge program, such as was done with the Diesel-  
16 Generator Building, no matter where it was done and what type  
17 soil it was done on you feel that it was necessary to then  
18 go and take undisturbed samples and do consolidation tests  
19 and determine compressibility and shear strength?

20 A You know, it depends what kind of soil. Supposing you go an  
21 test a granular soil, you can't take undisturbed samples of  
22 you have to do some kind of related density or some kind of  
23 test, but anyway at review I would like to know, and I have  
24 to know the parameters, the shear strength parameters and th  
25 settlement parameters.

SETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 261-2022

WARREN (313) 267-7221



SINGH

1

2 Q In your opinion then a field test such as was done with the  
3 Diesel-Generator Building does not provide reliable --

4 A (Interposing): You are telling all the time test --

5 Q (Interposing): Let me finish my question, okay?

6 A Okay.

7 Q Otherwise we are going to have a real mess on the transcript.

8 MR. PATON: If you have trouble with the  
9 questions just tell me.

10 MR. ZAMARIN: But just wait and let me  
11 finish my question.

12 MR. PATON: Wait until he finishes his  
13 question.

14 MR. ZAMARIN: Could you read back at  
15 least what I started in my question (to Reporter)?

16 (Whereupon the Reporter read back the  
17 previous question.)

18 Q (By Mr. Zamarin, continuing): In your opinion then a field  
19 test such as was done with the Diesel-Generator Building  
20 does not provide reliable predictions of future settlement,  
21 for example?

22 A No, how do you know if you have reached the actual settlement  
23 or not?

24 Q Are you of the opinion that the soil beneath the Diesel-  
25 Generator Building is in secondary consolidation?

SINGH

- 1
- 2 A I don't believe in secondary.
- 3 Q You don't believe or you don't think it is in secondary  
4 consolidation?
- 5 A It is not from the information that has been submitted to me.
- 6 Q Tell me every fact on which you base your disbelief with re-  
7 gard to the soil being in secondary consolidation?
- 8 A Because the soil doesn't satisfy all the assumptions in which  
9 the consolidation theory is based. First to have a dry soil  
10 beneath the footing.
- 11 Q All right. Now how dry was the soil beneath the footing?
- 12 A I don't know.
- 13 Q You don't have any idea, do you?
- 14 A It is dry. It is not fully saturated, that's what I am  
15 telling you.
- 16 Q How do you know that?
- 17 A Because the water table is not there, it is below.
- 18 Q Anything below the water table is not saturated, is that right?
- 19 A Below the water table it is saturated, but I do not believe  
20 100 percent saturated.
- 21 Q So what you are saying is then you refer to the soil beneath  
22 the footings as dry soil because it is not 100 percent  
23 saturated, is that right?
- 24 A It is partially saturated due to the capillary action.
- 25 Q All right. What do you base your statement on that it is

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-2625

OAKLAND (510) 557-7531

SINGH

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

only partially saturated and that only due to capillary action?

A Capillary action, definitely will rise above the water table.

Q All right. What I am asking you is though what evidence do you have that the soil beneath the footings was dry or only partially saturated?

A I want evidence, what evidence the applicant have given that it is saturated.

MR. ZAMARIN: Would you read that back, please (to Reporter)?

(Whereupon the Reporter read back the previous answer.)

Q You are saying you want Consumers to give you evidence that it is saturated?

A Yes.

Q Okay, but you don't have any evidence that it is not saturated?

A No, because I am a reviewer. I want to see that thing that it is saturated.

Q You are not from Missouri, are you?

A I am not from Missouri.

MR. PATON: That's his job.

Q I understand that you want that information from Consumers and you feel that you have to be shown as a reviewer, but my

SINGH

1

2 question is do you have any evidence as you sit here now that  
3 the soil beneath the footings is not saturated?

4 A I want that because my review depends on the information given  
5 to me.

6 Q I understand that, but that's not my question. My question  
7 is do you have any evidence that the soil beneath the footings  
8 is not saturated?

9 A How I know that? How do I know that until that information  
10 is given. I have to base it on that.

11 Q I think your answer is "no, you don't have any evidence."

12 A That's what I am telling you, I don't have any evidence.

13 Q Okay, good. When you thought that the table with regard to  
14 the soil types for each piezometer that was included with the  
15 5050-4F responses was not enough or did not provide enough  
16 backup information, did you request additional clarification  
17 from Consumers?

18 A Yes.

19 Q When?

20 A I don't remember. Somewhere in April we asked give all the  
21 boring information regarding the piezometer holes.

22 Q During the surcharge program, in your opinion where was the  
23 water, the ground water table level?

24 A After the surcharge? During the surcharge? The surcharge  
25 was four or five months.

SINGH

- 1
- 2 Q Yes.
- 3 A (Continuing): So at the end of the surcharge, you mean?
- 4 Q No, I mean during, but give me -- all right, where do you  
5 think it was at the end of the surcharge?
- 6 A I don't know, 622, 622 or 621.
- 7 Q Okay. And upon what do you base your statement that it was  
8 at 621 or 622?
- 9 A I saw after the removal of the surcharge piezometers were  
10 going down. There are certain fluctuations and then it  
11 stabilized in that range, somewhere in 622, 623, it depends  
12 at what different locations it might be.
- 13 Q Okay, so in your opinion the level at which the piezometers  
14 stabilized after the surcharge removal was the level of the  
15 ground water table at the time of removal, is that it?
- 16 A Yes, I would say it was.
- 17 Q What do you think the ground water table was at the mid point  
18 in time of the surcharge? Let's say around June?
- 19 A It might be less than that.
- 20 Q Do you think it was less than 621?
- 21 A It is not 621, somewhere 622-623, but all places I saw this  
22 thing it was almost 622, 623 and it depends on what type of  
23 soil is there.
- 24 Q Do you think it ever got up to 625?
- 25 A I don't believe it went there, no.



SINGH

1  
2 Q Do you think it ever got up to 624?

3 A I say 623, I believe maximum.

4 Q Wait, you started out at 621 and now we have you at 623.

5 A But you say a different period.

6 Q I see, okay, so you think that at some time during the sur-  
7 charge it got up to a maximum of 623, is that right?

8 A At some locations, yes, because it is a big building.

9 Q All right, the overall ground water table level during the  
10 surcharge of the building in your opinion reached what maxi-  
11 mum level?

12 A 623 maximum.

13 Q And how do you know that it didn't get up to 624 or 625?

14 A Well, the piezometers start going down, so I say when it is  
15 stabilized, then I say that is where the water table is at  
16 that time and again it started going up from the pond water  
17 into the location through seepage.

18 Q How long do you think it would take for seepage from the pond  
19 to affect the ground water level at the Diesel-Generator  
20 Building?

21 A Well, I can't predict that unless I have all the information  
22 and that information should be given by the applicant to me  
23 for review. I would like to have that.

24 Q What do you want to know? What information?

25 A All the permeability, soil permeability in different location.

SINGH

- 1
- 2 distance.
- 3 Q Distance?
- 4 A Distance, aquafer distance.
- 5 Q What is aquafer?
- 6 A Aquafer means a stratum, a water building stratum in which the
- 7 water flows in clay. It doesn't flow at all.
- 8 Q What else do you want to know besides aquafer, layer thickness,
- 9 permeability in the soil and distance?
- 10 A Distance.
- 11 Q Anything else?
- 12 A I can't think of anything now.
- 13 Q Okay.
- 14 A Head of water.
- 15 Q In your opinion at the conclusion of the surcharge was the
- 16 soil that was saturated in secondary consolidation?
- 17 A No, I didn't get the question correctly.
- 18 Q Okay, in your opinion --
- 19 A (Interposing): Uh-huh.
- 20 Q (Continuing): At the end of the surcharge program --
- 21 A (Interposing): Uh-huh.
- 22 Q (Continuing): Let's say in August of 1979, was the soil
- 23 beneath the Diesel-Generator Building that was saturated in
- 24 secondary consolidation?
- 25 A The soil was saturated below a certain level, but there are

SETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-2625

OAKLAND (510) 867-7631

1

SINGH

2 plenty of soil that were not saturated.

3 Q Do you remember what my question was?

4 A Yes, you say it is saturated and in secondary consolidation.

5 Q Okay, I am asking you if in your opinion at the end of the  
6 surcharge program, say August, 1979, was the soil that was  
7 saturated beneath the Diesel-Generator Building in secondary  
8 consolidation?

9 A Was it? I don't have any evidence for that because you  
10 haven't given to me.

11 Q You don't have any evidence as to whether --

12 A (Interposing): No.

13 Q (Continuing): As to whether the soil that was saturated was  
14 in secondary consolidation?

15 A No, I don't have any evidence.

16 Q None?

17 A None.

18 Q Have you looked at the settlement versus log time?

19 A Oh, that's for the total, not -- that's for the total thick-  
20 ness.

21 Q Based on that settlement versus log time --

22 A (Interposing): Yes, that is for the total thickness.

23 Q Let me finish my question, that one you anticipated wrong.

24 Based on the settlement versus log time  
25 curve, do you believe that the soil beneath the Diesel-Generat

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-2622

OAKLAND (510) 587-7821

SINGH

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Building is in secondary consolidation today?

A No.

Q Why not? What is it about that curve, what is it about that curve that causes you to not believe that the soil beneath that building was in secondary consolidation?

A The curve represents the saturated --

Q (Interposing): I'm sorry, I didn't understand a word of what you said.

A The curve was for a dry soil partly and partly saturated.

Q When you say that it was for a dry soil --

A (Interposing): Partially.

Q Partially, you don't know that, but you haven't been shown proof that it wasn't dry, right?

A No, but I see the water table and the footing is up above the water table.

Q In your opinion the only way you can get an accurate settlement versus log time curve is to have the ground water table at the level of the footing?

A Any way you can prove that it is saturated, any way you can saturate anything, I don't care.

Q Okay, so again what I am saying is when you say that the curve was for a partially dry soil, you don't have any evidence that it was dry, it is just that you don't have any evidence that it was saturated either, is that right?



SINGH

1

2 A I don't take it as correct, unless I see the attached soil  
3 is saturated I don't accept it.

4 Q Okay, and in your opinion unless the entire soil is saturated  
5 the curve is inaccurate or unreliable, is that right?

6 A Sure.

7 Q Okay. Do you know anybody outside of the NRC or Corps of  
8 Engineers that would agree with you on that?

9 A Everybody will agree that if it is not saturated then the  
10 consolidation theory is not applicable to that.

11 Q Okay, and on the extent of the soil beneath the Diesel-  
12 Generator Building that may not be saturated, in your opinion,  
13 is of such a nature that any qualified geotechnical engineer  
14 would say that the settlement log time curve would be inaccur-  
15 ate with respect to that, is that correct?

16 A Would you repeat your question, I don't hear it.

17 MR. ZAMARIN: Could you read it back,  
18 please (to Reporter)?

19 (Whereupon the Reporter read back the  
20 previous question.)

21 MR. PATON: I don't think the Witness can  
22 speak for any qualified engineer. I think he can express his  
23 own opinion. I mean you want to speak for the entire communi-  
24 ty of all qualified engineers?

25 MR. ZAMARIN: He just did in his previous



SINGH

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

answer and I am just now clarifying that is all.

A I am telling you that I don't agree with that.

Q (By Mr. Zamarin, continuing): I am asking you if you think there is any qualified geotechnical engineer who would disagree with you?

A With me?

Q Yes, on that point?

A It depends. I don't speak for others, I speak for myself.

Q I am not asking you to speak for anybody. Do you think anybody would disagree with you?

A No, sir, I'm a geotechnical engineer and I ask people, I talk to them about what the state of the art is and according to that everybody would agree.

MR. PATON: I am not sure I understood.

A According to the state of the art, it means that everybody will agree with me, that it is not accurate curve.

Q Okay. In your opinion since the area of the soil which may be unsaturated, being perhaps as much as five feet, we are talking about a soil layer of 28 to 30 feet, would the soil layer that we know is saturated tend to control the behavior of the soil with respect to the settlement log time curve?

A Yes, it will be an impact, a significant impact.

Q I asked if in your opinion it would control it?

A What do you mean by "control"? I would say it would have an

SETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-2000

OAKLAND (415) 967-7821

SINGH

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

impact. It would --

Q (Interposing): How much of an impact would it have?

A I can't say because I haven't run test on that.

Q Do you know of anyone within the Corps of Engineers who believed that the surcharge program had brought the soil under the Diesel-Generator Building to a state of secondary consolidation?

A I will speak for myself, that it has not reached, and I can speak for -- I have discussions with Mr. Otto. He wouldn't agree. These are the two geotechnical engineers that are here. I don't talk to any other District.

MR. PATON: Let me instruct the witness that within the Corps of Engineers if you have heard of anyone in the Corps of Engineers make a statement with respect to that subject or seen any papers written by anybody employed by the Corps of Engineers with respect to that subject, to relate that information, if you have heard it or seen it. The question was addressed to anybody in the Corps of Engineers so if you have heard that or seen any information relevant to the question, and, frankly, I am not sure I could repeat the question for you right now --

A (Interposing): No, I would like to listen to that question again.

MR. ZAMARIN: Would you read it back,

SINGH

1  
2 please?

3 (Whereupon the Reporter read back the  
4 previous question.)

5 Q (By Mr. Zamarin, continuing): You want me to help you?

6 A I didn't answer correctly. What do you mean by anybody  
7 in the Corps of Engineers?

8 Q Do you know of anybody in the Corps of Engineers who has  
9 reached the conclusion --

10 A (Interposing): Reached the conclusion it is already -- okay,  
11 I'm sorry, go ahead, reached the conclusion --

12 Q (Continuing): Reached the conclusion that the soil beneath  
13 the Diesel-Generator Building was in secondary consolidation?

14 A No.

15 Q Have you ever heard of a guy named Willis Walker?

16 A I have heard of him.

17 Q What?

18 A I have heard his name.

19 Q Okay. Have you ever seen any calculations that he has done  
20 with regard to the Diesel-Generator Building' surcharge?

21 A No, I haven't seen any calculations. I have gone through the  
22 papers but I don't remember what calculations he has done.

23 I might have gone through that.

24 Q I see, but you don't remember?

25 A No.

SINGH

- 1
- 2 Q What he concluded or whether you agreed with him or not?
- 3 A No, but I have gone through that, but I don't remember what  
4 calculations he did.
- 5 Q Do you recall if in reviewing Willis Walker's work you dis-  
6 agreed with any of his work?
- 7 A I say I don't remember what was that.
- 8 Q You don't remember whether you agreed or disagreed with any-  
9 thing he did?
- 10 A He has done some calculations but for his purpose, for his  
11 purpose to get a ballpark way of getting the idea of the  
12 project, but I didn't see anything like that in secondary  
13 consolidation or primary consolidation, I didn't see anything.
- 14 Q What did Willis Walker do with regard to the Midland soils  
15 review?
- 16 A He worked a couple of weeks on that project.
- 17 Q What did he do during those two weeks?
- 18 A He reviewed partly.
- 19 Q Yes.
- 20 A (Continuing): And he didn't complete in certain areas. He  
21 was working partly on dewatering.
- 22 Q Anything else? What was he doing? Did he do any calculations  
23 on the amount of load, the amount of time that load should re-  
24 main on the Diesel-Generator Building?
- 25 A No, I am not aware of that, no. No, not of my knowledge.



SINGH

1

2 Q Is Willis Walker an engineer?

3 A Sure, he is an engineer.

4 Q What kind of engineer?

5 A Civil engineer.

6 Q Was he competent to do the work that he was doing with regard  
7 to Midland?

8 A He is competent, yes, sir.

9 Q Our discussion of the ground water table level beneath the  
10 Diesel-Generator Building followed from the question that I  
11 asked you about whether there were any elements associated  
12 with the surcharge program which caused you to doubt or dis-  
13 agree with the conclusion that the soil beneath the building  
14 was in secondary consolidation, and one was, that you men-  
15 tioned, was of the ground water table level. Was there any-  
16 thing else?

17 A Yes.

18 Q What?

19 A I don't believe the accuracy of the load time versus settle-  
20 ment curve what is here because that definitely does not  
21 represent the settlement of the soil. You are measuring  
22 partly the deflection of the footings.

23 Q Okay, anything else?

24 A And the soil which is not saturated.

25 Q Anything else?



SINGH

2 A Yes, the load is not kept long enough.

3 Q Oh, how do you know that?

4 A Well, if you put long enough, six, seven months or eight months I don't know what will be the time --

6 Q (Interposing): How do you know it wasn't long enough?

7 A Because you have not given any calculations for me to see.

8 Q Willis Walker did.

9 A I don't remember. I say I am not aware of them.

10 Q So that if Willis Walker did calculations that determined it was on long enough would you be then of the opinion that it was on long enough?

13 A I will see because I am different reviewer. I might be different in my review. I will get all the data which he has used first. I will test the accuracy of those datas. If those datas are okay then I will say, okay. If they are not I am not going to accept it.

18 Q Do you think Willis Walker might have used erroneous data?

19 A No, but based on summary inspections, okay, like this soil, and as the reviewer I have to be satisfied, so for that purpose I have to find out and if it is not accurate, just like I have seen a lot of places people say oh, eight or nine inches, but that is not correct.

24 Q Do you have a whisper of evidence that the surcharge wasn't on long enough?

SINGH

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

A I don't have evidence to say or to accept that the surcharge was kept long enough.

Q That wasn't my question. My question was can you give me a whisper of evidence that it was not on long enough?

A But I don't go and get data from outside, I get from you and you have not given me some.

Q I understand that. That's not my question. Okay, my question is simply this, give me every little thread of evidence that you have that surcharge load was not maintained long enough?

A Okay, to predict anything in my work on surcharge I will have to have some data or I will not say anything. Engineers don't conclude without data.

Q I am not asking you to conclude anything, I am asking you to give me what evidence, if any, you have that the load wasn't on long enough?

A How do I know?

Q That's my point. You don't, do you?

A I don't have any data.

Q You know how much the building settled during the pre-load program?

A Yes. I don't know exactly what is settlement, but total I have, total settlement ever since '78 up to November when you started.

Q Tell me what that is?

A In one corner it was eight and a half -- no, approximately

SINGE

1

2 eight and a half inches. Yes, in southeast corner, but this  
3 is approximate. I am not telling this exact.

4 Q All right. Give me some figure for some other corners?

5 A I don't remember from west corner. West have two corners,  
6 I don't know exactly what is that but it was less than this.

7 Q How much less?

8 A I don't know exactly.

9 Q Do you know generally?

10 A No, I don't, no.

11 MR. PATON: Well, he says he doesn't know  
12 exactly.

13 MR. ZAMARIN: He has qualified his answer.  
14 I have to ask it.

15 Do you know generally?

16 A Not exactly.

17 Q Do you know how much of the building settlement during the  
18 surcharge was foundation deflection?

19 A No.

20 Q Do you have any idea?

21 A No.

22 Q Couldn't even guess?

23 A No.

24 Q Are you certain that at least some of it was foundation de-  
25 flection?

SINGH

1

2 A It was some part of it.

3 Q Are you certain?

4 A Yes, that's why I have given this in the report, I have  
5 written this thing.

6 Q How can you be certain?

7 A Because the foundation was warped.

8 Q That is what I am saying, how can you be certain that it was  
9 warped?10 A From your diagrams you have sent, settlement diagrams given  
11 to me.

12 Q Do you know what a Borros anchor is?

13 A Yes, I have read about that.

14 Q Okay. Is there any Borros anchor data provided with regard  
15 to the surcharge?

16 A They have given some.

17 Q Did you look at it?

18 A Yes, I look at it.

19 Q What did it tell you?

20 A Borros anchors tell you the settlement away from the footing.

21 Q Settlement of what?

22 A Settlement of the -- not foundation, of the soil, 20 feet.

23 Borros anchors are at different levels.

24 Q The Borros anchors tell you something about whether the soil  
25 has settled, right?

SINGH

1  
2 A If it is accurately predicted.

3 Q Do you have any reason to believe that the Borros anchor in-  
4 formation that you were given with regard to the surcharge  
5 was not accurate?

6 A Well, there is some question by Dr. Peck, he has asked about  
7 some temperature correction and all these things to be made.  
8 Let me see, in July or August I read somewhere that he had  
9 asked for Consumers Power temperature correction in the  
10 Borros settlement and all these things.

11 Q Asked for what correction?

12 A Temperature.

13 Q Oh, temperature?

14 A Temperature, yes.

15 Q Okay.

16 A So if these corrections are made on all these things --

17 Q (Interposing): Okay, with all of that in mind did you have  
18 any reason to doubt the accuracy of the Borros anchor informa-  
19 tion?

20 A If all the things are done correctly then I will not have any  
21 objection.

22 Q Do you have any information that all of the things weren't  
23 done correctly with regard to the Borros anchors?

24 A I don't have, but I have read certain documents questioned by  
25 your own consultants that they doubt it, they question the



SINGH

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

readings, surveyed recordings and all these things.

Q Questioned them from the viewpoint of whether there had been a correction made by temperature?

A Temperature, that the surveys last done were correct with regard to this type of question. I don't know, I want to find out.

Q What did you want to find out?

A The actual report, all the readings of Borros anchors and all these things and see.

Q You have never seen that?

A No, I never seen these things.

Q Who did you ask for it?

A No, I was hunting, you know, and then I saw couple of Borros anchors but they have already more than 20.

Q You say you recently saw these things. What did you recently see that you are referring to?

A It means this Borros anchor information which I wanted to use, and there was a remark from, what do you call it, by John Dunicliff, John Dunicliff which is one of the applicant's consultants and he questioned the accuracy of certain of this.

Q What Borros anchor information other than this letter from Dunicliff that you looked at and have you studied?

A That's all I have because he questioned accuracy and then I say, well then maybe something wrong with that.

1

SINGH

2 Q So you didn't bother to look at it because you figure there  
3 would be something wrong?

4 A Because there is nothing for me, nothing available to me.  
5 How I can test the accuracy and give the results but you don't  
6 give the details.

7 Q Did you ask anybody for the details?

8 A No, this is what I disclose a few weeks ago. This was a new  
9 discovery, I have never gone through it before.

10 Q So up until a few weeks ago you didn't have any need or desire  
11 to see the details?

12 A No, Borros anchors you have not given me the settlement or the  
13 log versus settlement curve of the market that is on the  
14 building.

15 Q Is there anything, any other information that you have which  
16 would cause you to believe that the Borros anchor information  
17 had not been done correctly?

18 A No, I don't have any.

19 Q Is there anything else that would cause you to doubt the  
20 reliability of the predictions based on the surcharge program?  
21 You have given ground water table, warping of the footings?

22 A Yes, I would like to separate the affect of the deflection  
23 of the footing from those curves which you have drawn and  
24 then, and the correct procedure so that procedure satisfies  
25 me how to separate the two deflections.

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 561-2622

OAKLAND (510) 587-7821

SINGH

1

2 Q Is there anything else?

3 A No.

4 Q Have you ever seen any data with regard to the water content  
5 of the soil that in your opinion was above the water table  
6 level under the Diesel-Generator Building?

7 A At what time that sample was taken?

8 Q At any time? Have you ever seen such data?

9 A Yes, I have seen such data.

#3 10 Q What did that data tell you?

11 A Well, it says the water contained 20 percent, 15 percent may-  
12 be, various, I don't remember what they are.13 Q Do you remember whether it indicated whether the soil was  
14 partially saturated or fully saturated or the extent of the  
15 saturation of that soil?

16 A No.

17 Q You don't remember?

18 A No, I don't remember this.

19 Q Would that be important to you at all in determining or  
20 reaching a conclusion about the surcharge program results?21 A Sure, I would like the soil condition at the time of surcharge,  
22 not ten years ago, five years ago, at that time when the sur-  
23 charge was done.

24 Q Do you recall what my question was?

25 A Yes. You wanted to know what water the soil contains.

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-3888

OAKLAND (510) 567-7821

1 SINGH

2 MR. ZAMARIN: Would you read back the  
3 question (to Reporter)?

4 (Whereupon the Reporter read back the  
5 previous question.)

6 Q Would you answer that question?

7 A If you would like to hear it. I was referring to the moisture  
8 content data that I believe was in response to question four.  
9 The water contained on the samples, I wouldn't consider those  
10 things again.

11 Q You wouldn't consider them what?

12 A In the water, in the surcharge program.

13 Q Okay, why not?

14 A Because they are not the water contained at the time of sur-  
15 charge.

16 Q What do you mean by the term "dry soil"?

17 A Dry soil means it is not -- partly, maybe completely dry or  
18 maybe some moisture in there, it is not fully saturated,  
19 partially saturated, yes, sir.

20 Q Okay, so when you use the term "dry soil" you are referring to  
21 any soil that is not fully saturated?

22 A No, I don't consider that. I just use dry, it means maybe  
23 some soil completely dry but some of them have maybe few  
24 percentage of water due to capillary action.

25 Q How high would the percentage of moisture in the soil be and



SINGH

1

2 have Hari Singh still call it dry?

3 A I would say it is air dry.

4 Q It is air dry?

5 A Air dry, yes.

6 Q Come on, I don't know what that means?

7 A Air dry is when you dry -- air dry has some water but it is  
8 hard to tell what the percentage is.

9 Q I am just trying to understand what you mean when you say  
10 "dry;" so what you are saying is it is when you referred to dry  
11 soil under the diesel generator building --

12 A (Interposing): It means air dry.

13 Q It means air dry?

14 A Yes.

15 Q What is the moisture content of air dry soil?

16 A It depends on humidity outside.

17 Q Is there any information that you have that indicates that at  
18 the time of the surcharge program the soil beneath the Diesel-  
19 Generator Building was air dry?

20 A No, I don't have any evidence.

21 Q Is there any data that you have ever seen that suggests that  
22 the soil beneath the Diesel-Generator Building at the time of  
23 the surcharge was other than air dry?

24 A No.

25 Q The last time we got any documents from you was on October



SINGH

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

15th, 1980?

A Uh-huh.

Q Has any document that hasn't gone either to Consumers or the public document room come into your possession or control in the last six weeks?

A We got some more material and I was turning to that and I have written some letters.

Q You have written some letters?

A Yes. Maybe one letter I should produce that. I remember one letter.

Q Maybe you should. What I am going to suggest is that --

A (Interposing): It might be in the branch file.

Q The branch file?

A Yes, branch file.

Q What branch?

A Tech Branch, where I work.

Q Okay, there is a branch file here in Detroit?

A Yes.

Q In this building?

A Yes.

Q For the Midland Project?

A No, no -- yes, NRC, we call it NRC.

MR. ZAMARIN: I'd like to see that.

MR. PATON: Well, if you want to see that

SINGH

1  
2 then, you know, there have been a number of your witnesses,  
3 including a Mr. Ferris who didn't bring a file because it  
4 wasn't within his grasp, and there are all kinds of files  
5 around that are out there in the next room or something.  
6 Your witnesses have established a precedent for that.

7 MR. ZAMARIN: We have given you the entire  
8 geotechnical file in Ann Arbor. We gave you 151,000 documents,  
9 so don't now tell me that you are not going to give us a  
10 group file here.

11 MR. PATON: You better talk to Mr.  
12 Farnell about what Mr. Ferris did. He has got a group file  
13 and he didn't bring a single piece of paper because all his  
14 papers were in the group file.

15 MR. ZAMARIN: I want to see that file and  
16 I want to see it today.

17 Q (By Mr. Zamarin, continuing): The documents that were pro-  
18 duced on October 15th, 1980, where did they come from?

19 A What?

20 Q The ones that were produced in the middle of October. We got  
21 some documents, where did they come from?

22 A Which documents are you talking about?

23 MR. PATON: What he is getting at is  
24 did they come from your department or from —

25 A (Interposing): Yes, that's the branch file, that's all the

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-2622

OAKLAND (510) 887-7821

SINGH

1

2 branch file and that has all been Xeroxed and given to you.

3 Q Okay, so that was the branch file?

4 A Yes.

5 Q And had there been any additions to the branch file since  
6 October 15?

7 A Sure, there must be, but I don't know because it is kept by  
8 somebody else and there is correspondence between NRC and the  
9 Chief of the Technical Branch and Product Manager, so that  
10 correspondence, I don't know.

11 MR. ZAMARIN: I'd like to see whatever is  
12 there.

13 MR. PATON: Okay, consistent with what  
14 we did before we will take a look at the branch file and see  
15 if there is any additional information there, even though,  
16 frankly I think it is 100 percent inconsistent with what Mr.  
17 Ferris did. I think Mr. Ferris has a group or section file  
18 and he didn't bring, to my knowledge he didn't bring a piece  
19 of paper because he said that was some other offices or some-  
20 thing. I am not sure what the reason was.

21 MR. ZAMARIN: I think that's right, and  
22 my understanding, however, is that with regard to the Corps  
23 and with regard to the Geotech Section in Ann Arbor that if  
24 there was a group file that that would be produced and that  
25 is what was done for you in Ann Arbor. In fact we went way

SETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-2666

OAKLAND (510) 867-7661

1 SINGH

2 beyond that and people came in and went through every document  
3 we had.

4 MR. PATON: What was this with Mr. Ferris?

5 What was the explanation for that?

6 MR. ZAMARIN: I don't know.

7 MR. FARNELL: That was a file that was not  
8 under his possession and control, very simply, if you want to  
9 request it.

10 MR. PATON: Well, this file is a group  
11 file. Is it not the same?

12 MR. ZAMARIN: He can't go into it?

13 MR. PATON: Sure, he can. It is the  
14 same as Mr. Ferris could have gone up to his file. The  
15 analogy absolutely does not break down. We are going to pro-  
16 duce the file, but, you know, it is just difficult for me to  
17 understand why we can't be consistent in the position that  
18 you have taken today and why Mr. Ferris came to that deposi-  
19 tion and didn't bring any papers although obviously he  
20 admitted there were many papers in the same office that related  
21 to the Midland Plant.

22 MR. ZAMARIN: I have no trouble understand-  
23 ing that.

24 MR. PATON: Well, let me ask you if there  
25 is some explanation I would appreciate your putting it on



1

SINGH

2

the record. All he said was that he didn't own the file or something.

3

4

MR. ZAMARIN: So it wasn't within his possession or control.

5

6

MR. PATON: Is there some difference between what we are doing today and what Mr. Ferris was doing? Hari doesn't own the Corps file either.

7

8

9

MR. ZAMARIN: Well, we are sitting in the building where the file is.

10

11

MR. PATON: It is here because we are at the building, and Mr. Ferris was in that building but, you know, we are going to give you the file but I don't understand why Mr. Ferris didn't bring any papers.

12

13

14

15

MR. ZAMARIN: More importantly I think when we first learned that Sherif Afifi had no individual file that we then agreed to go beyond what the Sherif Afifi production called for in the deposition and produce instead for you their group file with the understanding that that would be the same type of production that would be done with regard to the Corps of Engineers. That we would do that.

16

17

18

19

20

21

22

MR. PATON: You say we had some understanding that we would do the same thing for the Corps of Engineers? That I don't frankly recall.

23

MR. ZAMARIN: I do.

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 461-2828

OAKLAND (510) 567-7821



1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

SINGH

MR. PATON: I would stipulate to that but I don't understand any such agreement. I don't recall it. Is it your statement that we had such an agreement?

MR. ZAMARIN: Yes.

MR. PATON: Do you know of anybody else that is aware of it?

MR. ZAMARIN: You should be, you and I had a telephone conversation and made that decision.

MR. PATON: Oh, you and I decided that on the phone?

MR. ZAMARIN: Yes, when I told you that Sheriff didn't have any personal file.

MR. PATON: In any event we are going to produce the files that you requested.

MR. ZAMARIN: Why don't we take a few minutes. Is there some place to get coffee around here?

(Whereupon there was a short recess after which the deposition again continued.)

MR. ZAMARIN: Why don't you go ahead and make your statement and then I will clarify that.

MR. PATON: There was a request made for questions written by Mr. Singh which he prepared for use in depositions and he stated that he had written such questions.

To my knowledge he has written such

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-2020

OAKLAND (510) 887-7651

SINGH

1  
2 questions and he gave the only copy of those questions to Mr  
3 Jones, one of the attorneys for the NRC, and I am told that  
4 Mr. Jones gave those, the only copy of those questions to me  
5 along with some other information which I gave to Mr. Kane,  
6 and Mr. Kane's best recollection is that those, the only copy  
7 of those questions is now in his office in Bethesda, so we  
8 are physically unable to provide those questions at this time  
9 but I do not raise objection to your inquiring of Mr. Singh  
10 as to the nature of those questions.

11 Q (By Mr. Zamarin, continuing): Before we go on to something  
12 else, while we are talking about these questions, is there  
13 anything in those questions that you gave to Mr. Brad Jones  
14 and that Brad Jones gave to Mr. Paton and Mr. Paton gave to  
15 Mr. Kane and Mr. Kane put in his office in Bethesda, that you  
16 didn't tell us about already this morning?

17 A I think based on my recollection there are four or five  
18 questions. Piezometers are mainly it, but I don't know what  
19 are the exact other questions, but it was piezometers mostly

20 Q All right, but there may be something regarding overlapping,  
21 but you don't remember exactly?

22 A No.

23 Q And we are talking about this one page with regard to these  
24 questions that went through this chain that Mr. Paton described  
25 for us?

1 SINGH

2 A Yes.

3 Q Okay. In response to a question before we broke you had  
4 indicated that you had requested piezometer logs or at least  
5 more particular information about the data that was in that  
6 table in the 5050-4-F question, and at that time we were  
7 talking about the Diesel generator building.

8 To your knowledge was the information that  
9 was requested with regard to the data in that table with  
10 regard or pertaining to borings taken for installation of  
11 piezometers in the dike area as opposed to the Diesel  
12 generator building?

13 A In 55-F, no, I didn't -- excuse me, which report you are  
14 talking about?

15 Q Okay, this morning we talked about a table in 5050-4-F that  
16 had information about the soil types for each piezometer  
17 location at the Diesel generator building?

18 A Uh-huh.

19 Q And you said that you wanted more specific information, for  
20 example, boring information?

21 A Yes, boring.

22 Q With regard to those piezometers, and I asked you did you  
23 ever ask anybody for that and you said, "Yes."

24 Okay, now, I know and I am told that there  
25 has been a request for such information but that that request

SINGH

- 1
- 2 was with regard to piezometers that were installed for the
- 3 dikes?
- 4 A Uh-huh.
- 5 Q Not for the Diesel generator building, is that what you were
- 6 thinking of when you said it had been requested or are you
- 7 aware of some other request?
- 8 A No, I am not aware. When I came to look for this, before it
- 9 was requested, I believe in my plans, but I didn't request,
- 10 but other persons working on that project did.
- 11 Q Okay, and is it your understanding that that request for
- 12 boring log information with regard to piezometers pertained
- 13 only to piezometers in the dike area and not the piezometers
- 14 in the Diesel generator building?
- 15 A I can't say this thing. The request is for all the piezometers
- 16 I believe.
- 17 Q Okay. Do you know whether there is any boring log for the
- 18 piezometers that were involved in the Diesel generator
- 19 building?
- 20 A That I want to find out. I didn't find any.
- 21 Q And no one has ever told you that there isn't any?
- 22 A I saw some in the September submission from applicant that
- 23 these piezometer logs are not maintained. I don't remember
- 24 whose piezometers they were, but these piezometers I don't
- 25 find. I never found any.

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 521-2222

OAKLAND (510) 567-7421



SINGH

1

2 Q I have marked as Exhibit Number 1, a document that was  
3 provided to me this morning, which appears to be a resume.  
4 Is this a correct and current resume of yours?

5 A Yes. There is something that is typed wrong.

6 Q Wait, wait, what are you going to change?

7 A The date is wrongly typed here. I worked in '78 there in  
8 Pennsylvania.

9 Q Okay. Make that correction. I was wondering how you could  
10 have been in two places at once.

11 A No, no, that is a mistake. It is March '78 I left. I thought  
12 it is ready.

13 Q With the correction that you have just made and as it exists  
14 now this is, to the best of your knowledge, a correct and  
15 current resume?

16 A Yes.

17 Q Your experience from 1956 through 1965 in Indiana, did any  
18 of that include experience in the geotechnical area?

19 A I was civil engineer there so I take some borings, not  
20 borings, but tests for the foundations of the buildings as  
21 this kind of thing.

22 Q What kind of buildings were you involved with?

23 A Double story buildings, technical, residential buildings,  
24 hospital buildings, this kind of thing.

25 Q Mostly two or three stories?



1

SINGH

- 2 A Yes, three story maximum, nothing beyond that.
- 3 Q Did you have any experience up until 1965 with the settlement  
4 log time curve?
- 5 A No.
- 6 Q In your experience as a graduate student and RA at the  
7 University of Colorado, did any of that involve geotech?
- 8 A No.
- 9 Q In your work as a civil engineer for the Pennsylvania DOT --  
10 A (Interposing): Uh-huh.
- 11 Q (Continuing): -- did any of that involve geotechnical matte  
12 A Civil engineers designed foundations, piles, caissons, that  
13 is considered geotechnical.
- 14 Q That was limited pretty much to bridges for the Department o  
15 Transportation?
- 16 A Yes, yes.
- 17 Q And for the Arizona State Highway Department I notice that  
18 you worked there for about four months?
- 19 A Six months, I believe.
- 20 Q April to September?
- 21 A Well, five or six months.
- 22 Q For a guy who likes to be precise I am surprised. Was that  
23 again mainly with regard to bridges?
- 24 A It was foundation exploration mostly on drillings and all the  
25 things. I was supervisor of the crew and it was for foundati

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 461-5000

OAKLAND (510) 567-7001

SINGH

1  
2 engineering.

3 Q Foundations for what?

4 A Bridges.

5 Q Why did you leave the Pennsylvania Department of Transport

6 A Well, I worked there eight years and the Department was  
7 saturated completely, no chance of promotion, they didn't  
8 to relieve me from there, but I wrote to the District there  
9 there and there is no hope of promotion. I completed the  
10 work and they were laying off people as a matter of fact,  
11 I was not in that. I say, "When you can't promote me I go  
12 somewhere else."

13 Q Was your employment with the Arizona State Highway Depart  
14 simply a temporary employment?

15 A No, no, I was sent there as Civil Engineer I and within t  
16 months I got promotion to Civil Engineer II, but I was lo  
17 for, at that time I just got United States Citizen, just  
18 year ago, so I was looking for a bigger department where  
19 scope is unlimited for higher promotion and all these thi  
20 so I thought I would go to the Federal Government. Even  
21 the DOT I only had maximum of Number II, maybe III, but h  
22 I have unlimited scope, XIV, maybe XV, so that was the or  
23 intent I change the job.

24 MR. ZAMARIN: Okay, could you read ba  
25 that last question, please, or that last answer (to repor

1 SINGH

2 (Whereupon the reporter read back the  
3 previous answer.)

4 Q What did you do when you first came to the Corps in October,  
5 1978?

6 A I was reviewing drawings, project sheet piles.

7 Q Sheet piles?

8 A Yes, and then also a project called breakwaters, design of  
9 breakwaters, construction projects and then designing eart  
10 anchors and similar projects pertaining into the soils  
11 structure interactions.

12 Q What branch were you in when you first came to the Corps?

13 A Technical Branch.

14 Q The Technical Branch?

15 A Yes.

16 Q How long were you in the Technical Branch?

17 A I am still there.

18 Q Weren't you transferred from some branch or from some other  
19 section?

20 A No, it is the same branch. The Geotechnical Branch is part  
21 of the Technical Branch. I change the section.

22 Q Okay, what section were you in when you first came?

23 A Design Section.

24 Q And now you're in what?

25 A Geotechnical Section.

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 861-8888

OAKLAND (510) 587-7631

SINGH

1  
2 Q Is there something like a F & M Section?

3 A It was but they change the name now to geotechnical.

4 Q What did F & M stand for?

5 A Foundation and Material.

6 Q When did you go from the Design Section to Foundation and  
7 Material?

8 A I believe in May of 1980.

9 Q Was it when you were assigned to work on this NRC project

10 A Yes, they keep moving people from here to there so they a  
11 me there.

12 Q What is the difference between the function of the Design  
13 Section and the Geotech Section?

14 A Geotech Section and Design Section practically both do des:  
15 both do design identical, plus Geotech does borings and log  
16 for soil. That's the additional duty.

17 Q I see. Do you know why you were selected to be the guy who  
18 would work on this NRC assignment?

19 A There are a lot of people so they asked me. I said, "Okay,  
20 that's all right with me."

21 Q But do you know why they asked you?

22 A Because they were looking for a GS 12 with some experience.

23 Q A GS 12?

24 A Yes, with some experience, so I had experience, professional  
25 experience. I was registered and that is because, generally



1 SINGH

2 because it is important work so they asked me and I said  
3 "Well, all right."

4 Q Was your transfer to the Geotech Branch from the Design E  
5 related to your coming over to do the NRC work?

6 A No, I didn't get the intent of that question.

7 Q All right, in other words, why did you get transferred fr  
8 the Design to the Geotech section?

9 A It is not transfer, it is temporary assignment. Maybe la  
10 one year, two year.

11 Q Why were you temporarily assigned from the Design Section  
12 the Geotech, was it so you could work on the NRC project?

13 A Yes, exactly.

14 Q Why was it necessary to change sections in order to work c  
15 the NRC project?

16 A Because Mr. Otto is the geotechnical engineer chief, he is  
17 civil engineer but we call it geotechnical, so I have to w  
18 under him.

19 Q You had to get into his section to work under him since he  
20 was the guy that was --

21 A (Interposing): Who direct me.

22 Q I see. When did you start work on the Midland Project?

23 A May 1st -- second week of May, 1980.

24 Q And you hadn't done anything prior to May of 1980 with reg:  
25 to the Midland Project?



SINGH

1

2 A No.

3 Q Does anyone review your work?

4 A Sure.

5 Q Who?

6 A Bill Otto.

7 Q Who besides Bill Otto?

8 A Not here in Detroit, nobody. It goes to NCD, North Central  
9 Division, Chicago.

10 Q Who reviews it there?

11 A It goes to Geotechnical section and chief is James Simpson.

12 Q Jim Simpson?

13 A I don't know if he reviews but under him somebody reviews. I  
14 don't know, but he is the Chief.15 Q When you first started what were your responsibilities with  
16 regard to the Midland project work?17 A First one or two months I was going through all the documents,  
18 papers and answer some questions. I spent almost two months  
19 with that to understand the complete project.

20 Q What did you review?

21 A In the meantime I was going through what the legal questions  
22 were and writing my comments, what I don't understand, what  
23 I understand, what I need so that is what I was doing side by  
24 side.

25 Q On those comments that you made with regard to what you

- 1
- 2 understood and what you didn't understand, did you put a
- 3 that in writing?
- 4 A No, it was just scribbling paper.
- 5 Q What did you do with that scratch paper on which you made
- 6 those notes?
- 7 A The final draft that was typed, it was sent to you, your
- 8 office. Final draft -- what do you call it, it was in Ju
- 9 or July, I don't know, but somewhere it was sent to the
- 10 applicant.
- 11 Q Are you talking about the July 7th --
- 12 A (Interposing): Yes it was the final outcome of my effort
- 13 the effort which had been done before by some people.
- 14 Q Did you take somebody's place when you were assigned to ti
- 15 or did you just join?
- 16 A No, I joined this same team.
- 17 Q Did your responsibilities with regard to the Midland proje
- 18 change all the time?
- 19 A No, I didn't get your question correctly.
- 20 Q All right. You haven't been reviewing answers to question
- 21 since May of 1980. You started doing something else at
- 22 some time, didn't you? Your responsibilities changed?
- 23 A From 1980?
- 24 Q From May of 1980 to today?
- 25 A Yes, when I join it changed, yes.

SINGH

1

2 Q When did it change and what did it change to?

3 A I am not working on other projects, I am only working on NRC  
4 Midland project.

5 Q Okay, and what are you doing now?

6 A Well, you have sent some more documents so I have to go through  
7 that. I have not gone through it completely so I have to read  
8 these things.

9 Q How much of Amendment 85 have you gone through?

10 A Amendment 85?

11 Q Yes, isn't that -- didn't you get something here within the  
12 last three weeks?

13 A Yes, but I have not gone through that.

14 Q Have you looked at any of it?

15 A Just cursory, I saw on the top, but it needs in depth, so I  
16 can't remember anything.

17 Q What have you been doing for the last three weeks?

18 A Well, I am reviewing these papers and I have some questions  
19 on these things.

20 Q You are reviewing what papers?

21 A This writing questions for the depositions, attending the  
22 meetings, I was in Chicago last week for one week complete.

23 Q What were you doing in Chicago?

24 A In the depositions.

25 Q Oh, at the deposition of Walter Ferris?

1

SINGH

2 A Uh-huh.

3 Q Oh, I see, so most of your time since you received that  
4 latest submittal from Consumers has been sent with regard to  
5 preparation for the hearing?

6 A Yes, mostly.

7 Q When do you plan to review that information that was provided  
8 by Consumers?

9 A Next week, because I want to get it done, because my next  
10 assignment --

11 Q (Interposing): You take it home with you Christmas Day and  
12 work on it.

13 A No, I don't take home because, no, I can't ensure safety of  
14 those papers and those papers are strictly to be in the office.

15 Q Are you the lead reviewer?

16 A Yes, for Midland.

17 Q What does that mean?

18 A It means as a reviewer I am a leader. Otto checks my review.  
19 Mr. Otto checks and reviews my review.

20 Q Who do you lead as a leader?

21 A Oh, there is Ron Erickson. Sometimes I get help from John  
22 Grundstrum.

23 Q Okay. Really what I am getting at is as a leader does that  
24 mean that you make the final technical decisions of the  
25 people you lead?

SINGH

1

2 A Oh, no, people I lead. You have a voting log, make a chart  
3 of these things.

4 Q But you can tell someone to do stuff?

5 A Oh, yes, uh-huh.

6 Q Did you tell Willis Walker to do anything?

7 A Not at that time. I was in Geotechnical Section.

8 Q Do you consider yourself to have a solid geotechnical back-  
9 ground?

10 A I consider myself.

11 Q What?

12 A Yes.

13 Q Yes? Who makes final technical decisions with regard to  
14 Midland?

15 A Here Bill Otto makes final decisions.

16 Q How about ultimate final decisions, do you know who makes the

17 A Jim Simpson.

18 Q Jim Simpson?

19 A Yes.

20 Q Do you have any idea of anyone who is above Jim Simpson who  
21 makes final technical decisions?

22 A No, that I don't know.

23 Q When you were first assigned to work on the Midland Project  
24 were you the group leader or whatever you call it, the lead  
25 reviewer?



SINGH

1

- 2 A Just lead reviewer.
- 3 Q Right out of the box?
- 4 A Yes. There are not any subordinates as a matter of fact.
- 5 Q I understand. They just do what you tell them to do?
- 6 A I just request of them.
- 7 Q Who else was working at the Midland Project in the Corps at  
8 the time that you came on board in May of 1980?
- 9 A Ron Erickson and John Grundstrum.
- 10 Q When did they start working on it?
- 11 A I don't know. I just saw them in their room, I don't know,  
12 for the last --
- 13 Q (Interposing): Had they done very much work when you came on  
14 board?
- 15 A Yes, they have done work. They have prepared the draft to  
16 some extent partly.
- 17 Q Whose idea was it to request additional borings? Was that  
18 yours?
- 19 A No, it was requested before I join, but I agree with them.
- 20 Q Whose idea was it?
- 21 A I can't say this thing. It must have been from the Chief.
- 22 Q It must have been what?
- 23 A From the Chief of the Geotechnical section.
- 24 Q Otto?
- 25 A Yes.

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT 313 961-2022

OAKLAND 512 957-7621

SINGH

1

2 Q You won't mind if we tell him you told us we ought to take  
3 his deposition, do you?

4 A No, he is our leader.

5 One thing I would like to correct. This  
6 idea of borings must have been originated with somebody else,  
7 some other reviewer, but Otto, Mr. Otto is the final authorit  
8 He says yes or no, so h must have approved, but whether it  
9 originated from him I can't tell that.

10 Q Were you given any guidance with regard to what constituted  
11 adequate acceptance criteria when you got this NRC assignment

12 A Yes, I can.

13 Q What were you told?

14 A Is to satisfy all the requirements of the code which is  
15 prevalent now in the state of the art.

16 Q What code?

17 A From the Concrete Institute, ACA Code.

18 Q What else?

19 A And NRC requirements.

20 Q What NRC requirements?

21 A They have guides for testing. I don't remember the exact  
22 number, but they have it.

23 Q Are you familiar with them?

24 A Yes, I have gone through that as it portends to my area.  
25 I have not gone through all of them.

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-2025

OAKLAND (510) 887-7631

SINGH

1

2 Q What do you think of those NRC reg guides?

3 A I say it is pretty good. They are the requirements that you  
4 have to comply with. The rule is there.

5 Q Is it your understanding that with respect to a nuclear projec  
6 there can never be a deviation from those NRC regulatory  
7 guides?

8 A No, no, no, sir, unless the rule has changed, but in the  
9 middle of the game you can't change the rules.

10 Q In the middle of the game you can't change the rules?

11 A No.

12 Q So in this case there hasn't been any deviation, is that what  
13 you are saying?

14 A No, I have the guidance from the NRC. I can't deviate there  
15 from that.

16 Q Who told you?

17 A That is ethics.

18 Q That is ethics?

19 A Sure, because when the guidance is given to you we have to  
20 stick to that.

21 Q So what you are saying is that you would not consider and  
22 exercise your engineering judgement with regard to anything  
23 that deviated from the NRC reg guides in this case, is that  
24 right?

25 A If it is not acceptable to me I will not take that job as a

SETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-2822

OAKLAND (313) 987-7021

1 SINGH

2 reviewer.

3 Q That's not what I am saying.

4 A That's what I am telling you.

5 Q What you said is that there can be no deviation from the  
6 RNC reg guides?

7 A For me I will not deviate from them, but if they changed the  
8 rule and then give it to me, then definitely.

9 Q Okay. What I am talking about is if something is presented  
10 to you by Consumers which in your technical judgement is  
11 adequate is it your position that if it differs from the NRC  
12 reg guides that you would find it to be inadequate?

13 A I have to look at it because there are relative things.  
14 It depends on what kind of a structure we are building. If  
15 we are building a dog house or a nuclear power plant. If it  
16 is a nuclear power plant they know better, the Corps of  
17 Engineers knows if it is a nuclear power plant because they  
18 have the experience and they are doing work every day on that  
19 so I will accept their criteria and what factors they allow.  
20 If they allow a factor of maybe two or maybe three, so I will  
21 stick to that three, not to two, and so that many times is  
22 what is there.

23 Q What regulatory guides have you used in your review?

24 A Oh, for the testing, soil testing.

25 Q What does it say about that?

SINGH

- 1
- 2 A This is laboratory testing.
- 3 Q It says what?
- 4 A Laboratory testing, laboratory testing.
- 5 Q Okay, what does it say about laboratory testing?
- 6 A The soil parameters should be tested to design parameters.
- 7 Q Does it specify when tests have to be taken?
- 8 A Sure, if you design a building then you investigate the foundation, test the soil parameter for any project you do and then design it and construct it.
- 9
- 10
- 11 Q And then do what?
- 12 A And test it again. You test during construction these things. Suppose you are putting concrete, you test it that you have put it the right concrete, you test the soil that you put on the embankment every 500 cubic yards or the fill, it depends upon the type.
- 13
- 14
- 15
- 16
- 17 Q Do you know what the purpose of the Diesel-Generator Building is?
- 18
- 19 A Yes.
- 20 Q What?
- 21 A To house the diesel generators in it.
- 22 Q And what is the function of the building? You say to house what is it supposed to do?
- 23
- 24 A Protect the diesel generators.
- 25 Q And in your opinion is the Diesel-Generator Building as it



SINGH

1

exists today capable of protecting those diesel generators?

2

3 A It has been designed. I didn't get your question correctly.

4

Q I think you did.

5

A No.

6

MR. ZAMARIN: Why don't you read it back,

7

please (to Reporter)?

8

(Whereupon the Reporter read back the  
previous question.)

9

10 A I have to review it thoroughly. How can I tell you.

11

11 Q Has anybody, to your knowledge, in the Corps or in the NRC  
12 reviewed it?

13

13 A In my knowledge, no, not in my knowledge.

14

14 Q Do you intend to conduct such a review?

15

15 A If I get the information which I wanted.

16

16 Q What information is that?

17

17 A Borings have been asked, soil parameters have been asked.

18

18 Q Borings?

19

19 A Borings and to take the soil, undisturbed samples and test it  
20 and furnish the parameter calculations.

21

21 Q And have you looked at any settlement data with regard to the  
22 Diesel-Generator Building since the surcharge was put on?

23

23 A Put on means from --

24

24 Q (Interposing): Put on, in other words have you looked at  
25 settlement data from, let's say, January of 1979 through

1

2

September of 1980?

3

A

September, yes, I have looked at that.

4

Q

And does that provide any information to you with regard to the way that building is going to behave in the future?

5

6

A

That provides some information, yes.

7

Q

Tell me what you learned from that?

8

A

I learned that building is settling.

9

Q

All right, and did you learn that it settled less than a tenth of an inch since August of 1979 when the surcharge removed?

10

11

12

A

Yes, I have seen, uh-huh.

13

Q

Does that tell you anything about what you might expect in future behavior of that structure?

14

15

A

Yes.

16

Q

What does it tell you?

17

A

Generally when you remove the surcharge and then what happens after that the settlement continues, it takes a straight line and then again goes down, so that is where you are in the position of that straight line portion, your settlement is going straight for the time.

18

19

20

21

22

Q

And then it goes down again?

23

A

Yes, yes.

24

Q

When does it go down again?

25

A

I got the publication by Stanley Johnson, Corps of Engineers,

SINGH

1

2

1970 and look at this publication and he say that --

3

Q (Interposing): Well, you tell me when it is going to go down:

4

A The time factor you have to find that, I have not done the experiment, somebody has to determine how much time or after what time it will again go down.

5

6

Q What will cause it to go down again?

7

A I don't know the cause because I haven't done any experiment on that, sir. I use -- I drive an auto but I am not an auto mechanic, the same thing, I use it as a tool.

8

9

10

Q You don't have any idea what is going to make it go down again?

11

12

44

A It depends on the experiment, something has to. I am not a researcher in that area so you have to determine what time they say that it goes straight and then it goes down.

13

14

Q And who says this?

15

A It is the same paper by Stanley Johnson.

16

Q Stanley Johnson?

17

A Yes, sir.

18

Q He did a paper that says you will have a period of straight line settlement versus log time and then all of a sudden it is going to go down?

19

20

A No, no, you remove the surcharge and go back and then suppose you put load again, the load is going to come on that, the Diesel-Generator, and then the settlement goes like that

21

22

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-2622

OAKLAND (510) 887-7321

SINGH

1

2 (indicating) and then again it goes down.

3 Q Oh, I see, you are talking about then if your increased load

4 that you are going to experience a steeper slope on the

5 settlement curve, right?

6 A Theoretically. I don't know what is the time there because

7 it goes -- the settlement won't show up at all, just like

8 your graph is now. I have seen the graph. It is a straight

9 line. There is no down, but that is temporary.

10 Q And will it --

11 A (Interposing): Again, I don't know how much it will go. I

12 can't say until I have complete data on that.

13 Q Without data of a significant additional load will it still

14 go down?

15 A What do you mean by significant?

16 Q You don't know what I mean by significant load?

17 A Yes. A load without the imposition of a load that would be

18 in excess of the surcharge loading?

19 A The surcharge load which you removed already?

20 Q Yes.

21 A If there that is that much load again it will go down again.

22 Q You base this on Stanely Johnson?

23 A He has this paper he has published on this, but even that,

24 I don't believe you are in that. His statement was in secon-

25 dary and this wasn't secondary.

SINGH

1  
2 Q Are you responsible for the caissons?

3 A I will be responsible for the caissons.

4 Q Pilings?

5 A Pilings, yes.

6 Q Pipe stress analysis?

7 A The soil-structure interaction. I will be responsible for the  
8 pipe and maybe different kinds of stresses, internal stress,  
9 but the structure-soil interaction I will be responsible for  
10 that.

11 Q Do you expect the settlement of the Diesel-Generator Building  
12 to ever exceed that which would have been experienced had the  
13 surcharge been left on?

14 A I didn't get the question directly.

15 MR. ZAMARIN: Would you read it back,  
16 please (to Reporter)?

17 (Whereupon the Reporter read back the  
18 previous question.)

19 MR. PATON: You mean if the surcharge was  
20 left on for 40 years, is that what you mean?

21 MR. ZAMARIN: Yes.

22 MR. PATON: Forever?

23 A That I can only predict how much actual load you are going to  
24 put on Diesel-Generator Building more than surcharge load or  
25 less than surcharge load.

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 261-3828

OAKLAND (510) 537-7431



SINGH

1

2 Q No, I am just talking about if the surcharge load had remain-  
3 ed and that was the load for the building?

4 A It will be the same. That is the load of the building, what  
5 the surcharge load, that will be the same.

6 MR. PATON: You have to tell him what load  
7 is going to be put on otherwise --

8 MR. ZAMARIN (Interposing): Assuming the  
9 same or less load.

10 MR. PATON: Than the surcharge?

11 MR. ZAMARIN: Yes.

12 MR. PATON: Okay, all right.

13 Q (By Mr. Zamarin, continuing): If the surcharge had remained  
14 in place --

15 A (Interposing): Uh-huh.

16 Q (Continuing): In the Diesel-Generator Building and that were  
17 the total load of the building with the exception of environ-  
18 mental loads, in your opinion would the Diesel-Generator  
19 Building settle at a rate of settlement in excess of that  
20 which is indicated on the settlement log time curve?

21 A Okay, I don't believe in that settlement log time, I told you  
22 many times.

23 Q I am not asking whether you believe in it.

24 A Based on that I don't predict anything.

25 Q I am not asking you to predict anything, I am asking you

SETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT 13131 941-3688

OAKLAND 513 487-7881

SINGH

- 2        whether in your opinion if the load had been maintained would  
3        the rate of settlement for the Diesel-Generator Building have  
4        exceeded that which is shown on the settlement log time curve?
- 5    A    I already told that, that what you have told, what you have  
6        send, that settlement is to exceed what is shown on your --
- 7    Q    (Interposing): The rate, the settlement will exceed it?
- 8    A    I am talking about a total rate. I can't tell what will be  
9        the rate.
- 10   Q    In your opinion the total settlement would exceed that which  
11        is shown on the log time curve?
- 12   A    Which you have predicted from the log time curve that way,  
13        and after 40 years that will exceed.
- 14   Q    And you base that statement on the fact that there is certain  
15        information that you don't have as opposed to certain informa-  
16        tion that you do have, is that right?
- 17   A    Yes, I believe that we have reached that stage of the secon-  
18        dary consolidation.
- 19   Q    What evidence do you have that it is not in secondary con-  
20        solidation?
- 21   A    First evidence is the -- your total settlement is measured  
22        partly from the deflection of the footing. It is not totally  
23        on the soil. The deflection has been also included in that,  
24        plus your piezometers showing excess pore pressure at the  
25        time of the surcharge.

SINGH

- 1
- 2 Q In your opinion at the time of the surcharge the piezometer  
3 data showed that excess pore pressure was still be dissipated
- 4 A Yes.
- 5 Q Okay, anything else?
- 6 A No, that's all the information I have from the data I got from  
7 Consumers Power.
- 8 Q How did you reach the conclusion that excess pore pressure  
9 was still being dissipated at the time of surcharge removal?
- 10 A Well, some of the piezometers, at least almost all of them,  
11 some more, some less, indicates that when you remove the sur-  
12 charge it didn't stay there, it went a few feet down, and  
13 that is excess pore pressure.
- 14 Q The deflection downward --
- 15 A (Interposing): There was no deflection.
- 16 Q Wait, wait, let me finish my question, okay?
- 17 A Yes.
- 18 Q The deflection downward in the level of the piezometer read-  
19 ings at the time of surcharge removal in your opinion is an  
20 indication of excess pore prssure that was not dissipated?
- 21 A Not that. Actually it is when finally the piezometer level  
22 stabilized that was the actual pressure, anything above that  
23 was excess pore pressure.
- 24 Q All right, tell me again what evidence you have that at the  
25 time of surcharge removal there was still excess pore water

SINGH

- 1
- 2 pressure being dissipated?
- 3 A When you remove the surcharge after maybe a week or two, the
- 4 level of the piezometers went down and the pond was still on
- 5 the level, was at the same level as when the surcharge was
- 6 removed, so why that piezometer will go down by a few feet?
- 7 What was that pressure, where the pressure came from? Why did
- 8 it vanish when the surcharge was removed?
- 9 Q Do you have any idea?
- 10 A No, I don't. I have only idea that it is excess pore pressure,
- 11 you see.
- 12 Q It was excess pore pressure that was suddenly dissipated?
- 13 A Sure, once you remove the load there is nothing to cause excess
- 14 pore pressure.
- 15 Q What would cause it to dissipate and go down like that?
- 16 A Because the load is gone and naturally the water will go down.
- 17 Q Yes, and how does that indicate to you though that there was
- 18 excess pore pressure that was still being dissipated at the
- 19 time the surcharge was removed?
- 20 A When the surcharge was removed then there was some -- when you
- 21 put the load on the materials, saturated material, naturally
- 22 and try to squeeze it out and this water not go out from that,
- 23 then the excess pressure there builds up and tries to get out
- 24 from that spongy thing, some porous thing, and it will take
- 25 time to get out from there, take sometimes month, two months,



SINGH

1  
2 three months, it depends on the permeability of the material  
3 and if you remove -- if the water had not gone out and you  
4 remove the load then that pore pressure will disappear because  
5 there is no load and they don't want to get out.

6 Q How would you have expected the piezometers to behave if in  
7 fact excess pore pressure had been dissipated?

8 A Well, the piezometers temporarily will be disturbed because  
9 it move around, but, again it will go to the same elevation  
10 at the time of removal of surcharge.

11 Q So you'd expect a drop in piezometer level, a rebound and  
12 then it would restabilize to the ground water level?

13 A To stabilize it takes some time. I don't know what procedure  
14 you use, how many truck were there unloading the sand from,  
15 but I can't say how this fluctuates. It depends on the  
16 situation there.

17 Q Okay, but at the same time are you saying that you can say  
18 that the piezometers behavior that was observed out there is  
19 inconsistent with the dissipation of the excess pore water  
20 pressure?

21 A I didn't say inconsistent. It went down. I indicated that  
22 it was excess pore pressure right there, what else?

23 Q You just told me -- rebound would make it go down.

24 A That is temporary, the rebound. It is not permanent, it is  
25 temporary. I don't know how much time it will take but



SINGH

1

2 permanently it will stabilize at the actual water table.

3 Q Is there a way in which you can determine while the surcharge  
4 is in place if all excess pore water pressure has been dissi-  
5 pated?

6 A Well, once you remove the surcharge and piezometer stays there.

7 Q Is there a way by which you can find out while the surcharge  
8 is on --

9 A (Interposing): Uh-huh.

10 Q (Continuing): If all the excess pore water pressure has been  
11 dissipated?

12 A First you have to find out, do the analysis from the perma-  
13 bility, how much time it will be, it will take to dissipate.

14 Q Okay.

15 A (Continuing): And calculate. Say it takes six months for  
16 this load --

17 Q (Interposing): Did Willis Walker ever do that calculation?

18 A I am not familiar with that. I am not aware of that.

19 Q Would it surprise you if he did and he found out that in 42  
20 days it would occur?

21 A No, I will not comment on that.

22 Q I have here what is Figure 1 to what has been previously  
23 marked as Consumers Exhibit Number 8 for identification as  
24 of 10-15-80 at the Kane deposition. This is a September 14,  
25 1980 report by Consumers and in Figure 1 there is a plot of

SINGH

1

2 the data for piezometer PZ-307

3 A Uh-huh.

4 Q I'd like you to take a look at that and tell me where on that  
5 plot you find excess pore water pressure indicated? You can  
6 even mark it with this pencil if you like.

7 A How can I say excess when this is nothing available -- let  
8 me see when the surcharge was removed. Is there anything here  
9 I think you have changed some drawing somewhere else.

10 Q No, I don't think so. Why don't you look at it while I find  
11 a men's room.

12 (Whereupon there was a short recess after  
13 which the deposition again continued.)

14 A (Continuing): In this drawing I don't know, but from what  
15 information I have there are a lot of them.

16 Q Are you suggesting that this drawing has been changed?

17 A I have not received this drawing, that I am telling you.

18 Q You mean mbody gave you a copy of that submission of September  
19 14, 1980?

20 A No, in review drawings, what I have got are the review draw-  
21 ings concerning question number 27. I have not this section.

22 Q You have never seen --

23 A (Interposing): I might have seen, but --

24 Q (Interposing): Let me finish my question. You have never  
25 seen that plot of piezometer PZ-307

SINGH

1  
2 A That is piezometer 30. I do this from question 27, I didn't  
3 review this. I review from your response to the question.  
4 I don't review from these things.

5 Q Can you get for me what you reviewed?

6 A Well, that is your response to question number 27, and no-  
7 where in any of these things is it indicating like that.

8 Q Where is your material on question 27 that you reviewed?  
9 Downstairs?

10 A In my office, yes, sure.

11 MR. PATON: We can get that.

12 MR. ZAMARIN: Are you going to bring that  
13 up after lunch?

14 MR. PATON: After lunch, Hari?

15 A Yes.

16 Q (By Mr. Zamarin, continuing): Before we leave Figure 1, I  
17 want to make sure I understand your answer. Is it that in  
18 looking at the plot of piezometer elevations versus time for  
19 piezometer P2-30 shown in Graph C of Figure 1, that you cannot  
20 identify anything on that plot that shows this excess pore  
21 water pressure that you told us about?

22 A From this graph it is not very distinct, but I have graph that  
23 is clear and distinct of this piezometer 30, I don't remember,  
24 but from there it is not very distinct.

25 Q Is it distinct at all?

SINGH

MR. PATON: Let me see this (indicating).

1  
2  
3 Q (By Mr. Zamarin, continuing): What I am saying is can you  
4 mark anything on that graph that shows me what you are refer-  
5 ring to when you say that the piezometer data showed an increas  
6 in pore water pressure?

7 A There is temporary disturbance when you remove the surcharge  
8 and then it goes up.

9 Q Would you call that rebound?

10 A Well, when you remove this thing it is rebound and water rush  
11 from all directions so there will be a disturbance.

12 Q And you expect that?

13 A I expect that, definitely, but finally it come down to where  
14 it belong to, and from that here (indicating) we don't show  
15 that.

16 Q It doesn't show what?

17 A Going back down. There is a disturbance which is there but,  
18 again, it stays on the same level for a few inches. I don't  
19 care, but it remains almost same. The graph is so small that  
20 it is very hard to pinpoint in this case here the disturbance  
21 at this point (indicating).

22 Q Okay, you are showing a disturbance at around August of 1979?

23 A Yes.

24 Q At removal of surcharge?

25 A Yes.

SETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 461-2928

OAKLAND (313) 587-7621



SINGH

- 1
- 2 Q Okay, and show me what else on this graph troubles you? Are  
3 you saying that that rebound —
- 4 A (Interposing): This is not very -- I want a little more from  
5 here (indicating). It is going little up and then going down.  
6 I don't know how the pond level is, it depends on the pond  
7 level.
- 8 Q Here is the pond level right above it in Figure B.
- 9 A Yes, so it is going up, but from here it is not very distinct.
- 10 Q Wait a minute. Let's see, you have got it going up a little  
11 bit after the rebound and you would expect that to gradually  
12 go up, wouldn't you?
- 13 A No, but here the drawing is so small that I don't know how  
14 much it went down. I can -- if it is -- if it is less than  
15 this (indicating). This is lower, but I don't have any way  
16 that I can calculate, you see, the amount. I am talking  
17 about what will be this amount (indicating).
- 18 Q Anybody got a straight edge? There. Okay, why don't you use  
19 that straight edge and do what you want to do?
- 20 A No, no, this is not engineering tool.
- 21 Q That's all right, it is a legal tool. You can use it. Trust  
22 me. Go ahead.
- 23 A Oh, my line may not go straight, you know.
- 24 Q You have to draw parallel to this line. Use this (indicating).
- 25 A I don't know, this is not good.

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT 3131 261-2628

OAKLAND 3131 557-7551



SINGH

- 1
- 2 Q Pretend you are on a desert island and don't have anything.
- 3 A If this line is parallel to this (indicating)?
- 4 Q Yes?
- 5 A Then this drop, from this line, from the day we start to re-
- 6 moving it here, the day you start to remove and if you draw
- 7 a line parallel to it that will be your datum line, so —
- 8 Q (Interposing): Let me do something for you here. I don't
- 9 think you tried real hard to do that. What are you using
- 10 over here (indicating)?
- 11 A Right here.
- 12 Q Okay, you are using the point immediately prior to rebound.
- 13 A But that line is parallel to the bottom line.
- 14 Q Okay. That's pretty close, isn't it?
- 15 A Sure, I don't know how many feet this is, that I would con-
- 16 sider that.
- 17 Q So you have a little area there, and I am going to mark that
- 18 with a delta, right?
- 19 A Yes.
- 20 Q And that area --
- 21 A (Interposing): Not area, the depth.
- 22 Q Well, we will call it the area which the graph represents,
- 23 and the change of elevation of the piezometer level, that you
- 24 consider to be or represent what?
- 25 A That's where the excess pore pressure existing in the surcharge

1

SINGH

2 was removed. It should be dissipated, this one and then  
3 surcharge.

4 Q So what you would have expected is after this rebound the  
5 piezometer level to never go below the point at which the  
6 piezometer read immediately prior to the rebound, is that  
7 right?

8 A Yes, it will stay there. Maybe, you know, it is a soil -- it  
9 is not a very defined material, a few inches, I don't care.

10 Q Okay, can you estimate what this delta is based on the scale  
11 that you have on the left of this figure?

12 A From here, no, I can't because this graph is so small.

13 Q Okay. How much of a delta in your opinion could there have  
14 been and not have demonstrated to you that there was excess  
15 pore water pressure that hadn't been dissipated?

16 A The first thing is the piezometer swing from the excess pres-  
17 sure in the clay or not, I don't have any evidence, you haven't  
18 given evidence to prove that, showing the pore pressure in the  
19 clay, the compressible material.

20 Q Have you ever requested that kind of information?

21 A When you give it to me I will review it.

22 Q Have you ever requested that information?

23 A Well, we asked for boring logs and all these things, informa-  
24 tion on piezometers to find out where those piezometer tips  
25 are.

SINGH

1

2 Q So if you knew where the piezometer tips were then you would  
3 know whether the piezometers were showing all of the pore  
4 pressure?

5 A If it is in clay.

6 Q Have you got my question in mind?

7 A No.

8 Q If you knew where the tips were would you then know whether  
9 the piezometers were showing all of the pore pressure?

10 A If I see the tips, if I see the tips then I see that it would  
11 develop pore pressure or not.

12 Q Okay. How much of a delta, and I am referring now back to  
13 this diagram, the Figure 1, how much of a delta and by delta  
14 I refer to the difference in piezometer level between the  
15 point at which the piezometer level was immediately prior to  
16 the removal of the surcharge and rebound and the lowest level  
17 to which the piezometer reading went subsequent to the remov-  
18 al of surcharge but prior to the lowering of the pond, how  
19 much of that delta could there have been without causing you  
20 to conclude that there was excess pore pressure that wasn't  
21 dissipated?

22 MR. PATON: I think the Witness has  
23 already stated that he couldn't answer that question unless  
24 he knows whether the piezometer was in the clay or the sand,  
25 but if I am wrong about that the Witness can correct me.

SINGH

- 1
- 2 A I don't know the location of the piezometers.
- 3 Q Assume that piezometer PZ-30 was in clay.
- 4 A Uh-huh.
- 5 Q How much of a delta would there be before you would conclude
- 6 A (Interposing): No, no, you can't conclude without that. I
- 7 I can't take out from my pocket and take this thing, I have
- 8 to know this condition.
- 9 Q You have to know what?
- 10 A The condition, what condition it was.
- 11 Q I am telling you that the tip of that piezometer is in clay.
- 12 A How much clay, ten feet clay? Suppose there is clay and
- 13 immediately there is sand, six inches, it will dissipate
- 14 because that six inches of clay will not hold very much.
- 15 Q Are you telling me then that without knowing where the tip
- 16 is located with regard to the type of material in which it is
- 17 located and the type of material adjacent thereto so you will
- 18 have some idea of the drainage --
- 19 A (Interposing): Yes.
- 20 Q (Continuing): That you don't know whether a delta of two
- 21 inches or two feet, for example, might not indicate that there
- 22 is still excess pore pressure that wasn't dissipated?
- 23 A Well, any drop after the removal of the surcharge, any drop
- 24 in the piezometer level, not immediately because immediately
- 25 after disturbance there will be some drop, I consider that

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT 313 961-2932

OAKLAND 313 987-7621



SINGH

- 1
- 2 was excess pore water pressure. Not immediately after remov-
- 3 al, but it would take some time to stabilize the situation.
- 4 Q Could it take as long as, oh, three months to stabilize per-
- 5 haps?
- 6 A It entirely depends on which kind of soil on how it will
- 7 stabilize. In case of porous material it will stabilize very
- 8 fast.
- 9 Q Very fast?
- 10 A Yes.
- 11 Q In the case of cohesive soil it could take as long as 90 days
- 12 to stabilize?
- 13 A I will not guess. I won't guess because different soils have
- 14 different permeability.
- 15 Q Surely as a geotechnical engineer you have some idea what the
- 16 soil is like under the Diesel-Generator Building, don't you?
- 17 A I have some idea.
- 18 Q Okay, and based upon the idea that you have with regard to
- 19 the soil under the Diesel-Generator Building would it have
- 20 taken as long as 90 days for that pore water pressure to
- 21 dissipate after removal of the surcharge?
- 22 A You have not given me the exact, you have not given me the
- 23 permeability of the soil under the Diesel Building.
- 24 Q That's right.
- 25 A I don't have that one.

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-3023

OAKLAND (510) 887-7821



SINGH

- 1
- 2 Q Right, but you have got some idea what the soil is like?
- 3 A No, I don't. I will not guess. No way.
- 4 Q I see, and you don't -- you can't form any kind of an opinion
- 5 without having every little piece of data in black and white?
- 6 A I am a reviewer. If I do I will be cheating.
- 7 Q I am asking you for purposes of this deposition, I am not ask-
- 8 ing you for the purposes of review.
- 9 A No.
- 10 Q Is it within your ability as a geotechnical reviewer or a
- 11 geotechnical engineer or civil engineer based on all of your
- 12 experience, knowledge and expertise, is it within your
- 13 ability to give an opinion as to whether or not, based upon
- 14 your knowledge of the soil beneath the Diesel-Generator
- 15 Building that it could take as long as 90 days after removal
- 16 of the surcharge for the ground water table level to stabilize?
- 17 A No, sir, I wouldn't.
- 18 Q You don't have that ability?
- 19 A I have ability but because you didn't give me the data --
- 20 Q I am asking you if you have the ability to answer that question
- 21 on the data I have just described to you?
- 22 A No.
- 23 Q No, you don't have the ability?
- 24 A Not with the data which you have given to me, but I have
- 25 ability with the data which I want. I can do it.

SINGH

1

2 Q I understand that, so you can't give any estimation with the  
3 data that I gave you?

4 A No.

5 Q Okay, good. How much excess pore pressure would you have  
6 expected if there had been no drainage in the soil beneath  
7 the Diesel-Generator Building during the surcharge?

8 A How much excess pressure?

9 Q Yes?

10 A It depends on the type of the clay.

11 Q I said assume no drainage, and based on your knowledge of  
12 what the soil properties are underneath the Diesel-Generator  
13 Building, what would you estimate?

14 A Well, a surcharge of 2.1, almost 2.2 keps, and divide it by  
15 6, 2.2, if there is no drainage, but it will take some time  
16 to develop because water don't drain very quickly through the  
17 clays, and it would take a lot of time to develop and water  
18 squeeze out, and then you see the rise in the tip of the  
19 piezometer.

20 Q How long would it take to develop that kind of pressure if  
21 there were no drainage, based upon what you understand of the  
22 soil layers underneath the Diesel-Generator Building to be?

23 A I don't have any idea of the permeability of the soil. By  
24 seeing the blow count, you can't find out permeability, you  
25 can find out by the soil drain, but that is not correct.

SETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 261-3832

OAKLAND (510) 557-7531

SINGH

1

- 2 Q So you have no information available to you that based upon  
3 your ability would allow you to estimate?
- 4 A No, I don't know how you can do that.
- 5 Q How do you determine permeability of the soil?
- 6 A Well, you can do test of the fill.
- 7 Q Test of the fill?
- 8 A Yes, and see the situation.
- 9 Q And how do you go about doing that?
- 10 A Well, you draw, put a pump there, you have to pump a well.
- 11 Q A well, w-e-l-l?
- 12 A Yes, well, and then you put some piezometers at certain angles,  
13 it depends and then you measure the draw down.
- 14 Q As you pump the water out of the well?
- 15 A Yes, draw down and based on that there are some, I am not  
16 familiar, but I can't exactly tell how to do this because you  
17 have to reference the Thiesmeter.
- 18 Q A Thiesmeter, T-h-e-i-s-m-e-t-e-r?
- 19 A Yes, one method of doing it and then you calculate this.
- 20 Q Would you disagree with the statement as relates to the  
21 Diesel-Generator Building that when the surcharge reached its  
22 maximum level the rate of settlement decreased rapidly and  
23 as anticipated excess pore water pressure developed when the  
24 load was applied and dissipated rapidly indicating rapid  
25 consolidation of the fill?

SINGH

- 1
- 2 A How can I find out because this is your statement, not mine.
- 3 Q I am asking you if you agree or disagree with that statement?
- 4 A It dissipated because your piezometer might be located in the  
5 sand so there it would dissipate quickly. What you say may be  
6 true but that may not be true, and if your piezometer is in  
7 the clay it will not dissipate that fast.
- 8 Q Well, knowing there the nature, the variable nature of the  
9 fill under there wouldn't you expect there to be rather short  
10 drainage paths though out there?
- 11 A I will agree with that, yes.
- 12 Q And wouldn't that lead you to expect rather rapid dissipation  
13 of excess pore water pressure?
- 14 A What do you consider rapid in that area, what is rapid?
- 15 Q Would you expect it to take a year based on what you under-  
16 stand the drainage paths to be?
- 17 A If you compare a hundred years and one year, so then one year  
18 is rapid in comparison. If you compare one day and one year  
19 one day is rapid in comparison to one hundred, so I mean these  
20 are related things.
- 21 Q Tell me what you would expect based on your knowledge of the  
22 fill underneath the Diesel-Generator Building and the short  
23 drainage paths as to whether you think the excess pore water  
24 pressure dissipation would occur in a matter of 90 days?
- 25 A No, I wouldn't go on days like that unless I have complete --



SINGH

1

2 I wouldn't say 90 days or any days, I wouldn't say.

3 Q So you don't have any information available to you --

4 A (Interposing): Yes.

5 Q (Continuing): Upon which in light of your ability as a geo-  
6 technical engineer you could make that kind of an estimation,  
7 is that correct?

8 A Right.

9 Q Have you ever read a letter from a R. B. Peck and S. Afifi,  
10 dated July 23rd, 1979, which is located at Tab 75 in Volume  
11 4 of Consumers Power Company Responses to NRC Requests  
12 Regarding Plant Fill?

13 A I might have read but I don't remember the contents.

14 Q The letter addressed the question of the rate of settlement  
15 decreasing rapidly when the surcharge reached its maximum  
16 level?

17 A Uh-huh.

18 Q And as anticipated excess pore water pressure developing when  
19 the load was applied and dissipating rapidly indicating rapid  
20 consolidation of the fill?

21 A Yes.

22 MR. PATON: Was the question has he read  
23 that letter? Is that the question?

24 MR. ZAMARIN: I am in the middle of it.

25 MR. PATON: I thought you were finished.

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-3833

OAKLAND (513) 557-7501



1 SINGH

2 MR. ZAMARIN: No, would you read it back,  
3 please (to Reporter)?

4 (Whereupon the Reporter read back the  
5 previous question.)

6 Q (Continuing): And based upon that information as to what was  
7 contained in the letter from Dr. Peck do you recall having  
8 read that?

9 A Yes, I remember that.

10 Q And did you disagree with it when you read it?

11 A I would like to see Dr. Peck concluded thing based on the  
12 results of the piezometers and this piezometers, I don't .  
13 believe have, a lot have not been placed at proper place where  
14 it should be placed. I saw this thing, the continuous drain-  
15 age and then there will be immediatly sand.

16 Q Yes.

17 A (Continuing): I approximately compare with the boring logs  
18 you have given to me. It is not exactly, I know that, but  
19 that's the only way I had, your boring logs there, and it is  
20 that the piezometers are located near by and based on that I  
21 concluded that most of your piezometers are located near the  
22 sand which quickly dissipate, so Peck has concluded based on  
23 your results. He don't know where the results come from, so  
24 he is right.

25 Q Well, is it possible in your mind that because of the varied

SETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-3022

OAKLAND (510) 557-7431

SINGH

- 1
- 2 nature of the fill that there is a lot of sand drainage and
- 3 that, therefore, you would expect rapid, and when I say rapid
- 4 I mean in the order of perhaps 90 or 100 days dissipation
- 5 of all of the excess pore water pressure?
- 6 A There are places it is rapidly, but there are layers of
- 7 clay there somewhere.
- 8 Q How big?
- 9 A Fifteen feet.
- 10 Q You think that there are layers of clay 15 feet thick there?
- 11 A Yes, sir, I have seen it.
- 12 Q How wide, more than three inches?
- 13 A No, that was thicker, that is the southwest corner.
- 14 Q Do you know how the fill was placed under the Diesel-Generator
- 15 Building?
- 16 A I don't know how it was placed, but I care what is there at
- 17 the present time.
- 18 Q Do you know how the fill was placed underneath the Diesel-
- 19 Generator Building?
- 20 A No.
- 21 Q Do you know if it was in 20 foot lifts?
- 22 A No.
- 23 Q Do you know if it was in less than 20 foot lifts?
- 24 A No, I don't know.
- 25 Q Would you expect to find a 15 foot layer of clay if it was

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-8838

OAKLAND (313) 587-7631

1 SINGH

2 placed in 20 foot lifts?

3 A Sure.

4 Q Knowing the nature of the fill that is underneath the Diesel-  
5 Generator Building?

6 A Yes, it was there.

7 Q I see, and you think that that is likely?

8 A No, I saw the borings one place, I am talking from the borings.

9 Q You saw a boring that indicated a 20 foot thick layer of clay?

10 A No, I told you 15 feet.

11 Q So you say there is a boring that demonstrated a 15 foot thick  
12 layer of clay?

13 A Yes, it is continuous, so I consider all from top to bottom.

14 Q I see. In what boring log did you find this? Where did you  
15 find this evidence of a 15 foot thick layer of clay?

16 A Yes, uh-huh.

17 Q In what boring logs?

18 A I don't remember the number, but I have it. I will bring it  
19 after lunch and you can see.

#5 20 Q All right, would you do that, please?

21 A Yes. At one location I told you.

22 Q You have looked at the settlement data that was collected  
23 during the pre-load?

24 A Settlement, yes, but it is not purely settlement.

25 Q In your opinion does that indicate that settlement slowed down

SINGH

1

2 during the pre-load at some point?

3 A Yes, sure.

4 Q Pardon me?

5 A Yes.

6 Q Yes? How do you explain that deceleration in settlement if  
7 the soil was not in secondary consolidation?8 A Well, still it could be primary and as you load this thing the  
9 rate of settlement is going to decrease even in primary area.  
10 Primary consolidation in the beginning. Suppose primary is  
11 spread on certain land, for example, say over six months, so  
12 first three months it will be very fast settlement, but as you  
13 go toward the secondary the rate will decrease.14 Q Would you expect the rate then to always decrease as you move  
15 towards secondary consolidation?

16 A Yes.

17 Q And never increase?

18 A No, if you put another load on then --

19 Q (Interposing): I am talking about under a constant load?

20 A No, general load it will decrease unless something unusual  
21 happens I don't know.

22 Q Like what?

23 A Somebody dug a hole or something disturb somewhere, it might  
24 be, but normal situation it will be decreasing the rate of  
25 this consolidation.

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-3885

OAKLAND (510) 557-7831

SINGH

1

2 Q How many building surcharge programs have you been involved  
3 in?

4 A I am not involved in any.

5 Q How many surcharge programs in general have you been involved  
6 in?

7 A None.

8 Q How much information with regard to surcharge programs other  
9 than at Midland are you aware of?

10 A Well, I have read the Corps of Engineers' publications and  
11 Navy publications.

12 Q Which ones were those?

13 A One is in Florida, the name is Mayport Airport, it was on St.  
14 Johns Rivermouth. This was surcharging there and they put  
15 with the surcharge ten feet high permanent load which it in-  
16 volved and they surcharge it and build the airport.

17 Q What were they surcharging? What type of soil?

18 A The surcharge was with some dredge material from the bay.

19 Q Some dredge material?

20 A Yes.

21 Q What did you learn from reading that?

22 A I learned that the surcharge reduce, compressed the soil and  
23 give it strength and they found that they take the borings  
24 before surcharge and after surcharge.

25 Q What else did you learn?



SINGH

1

2 A On that basis was a good correlation.

3 Q What else did you learn?

4 A That is what I learn, that is successful way of doing a  
5 structure one building.

6 Q Was that homogeneous material that was being surcharged?

7 A Well, it is not homogeneous, that somebody can use as homo-  
8 geneous, it is not homogeneous soil in general. It is a rela-  
9 tive thing.

10 Q Would the soil in surcharge with an airport be considered to  
11 be homogeneous material?

12 A I just told you soil is not homogeneous material in general.

13 Q I see, so then as far as geotechnical engineering goes no one  
14 would ever consider any soil to be homogeneous material?

15 A No, they assume and based on that they develop the formulas.

16 Q So let's use homogeneous as it is used by geotechnical en-  
17 gineers and was the material that was surcharged at that air-  
18 port homogeneous material?

19 A Yes, I consider it that, yes.

20 Q Is there a difference between surcharging homogeneous material  
21 and varied or non-homogeneous material?

22 A In what respect?

23 Q In the analogy that can be drawn between the experience at  
24 that airport and the Diesel-Generator Building at Midland?

25 A In both cases they use this, it will increase the strength of

SINGH

- 2 the soil in both cases.
- 3 Q All right, but as far as the reliability and applicability of  
4 laboratory testing there would be a difference, wouldn't there
- 5 A No, the soil strength is increased. The laboratory test it  
6 will give the same results.
- 7 Q Would you expect to find some kind of a scatter of results if  
8 you had non-homogeneous soil?
- 9 A Of course, you have difference, you have some different re-  
10 sults.
- 11 Q Tell me what else you learned from reading about that air  
12 field surcharge?
- 13 A I learn a good method to increase the weak soil to be used at  
14 a foundation in the structure and all these things, that's  
15 the only thing I learned from it.
- 16 Q What is some of the other literature that you read about sur-  
17 charge?
- 18 A The Stanley Johnson paper.
- 19 Q What did Stanley Johnson tell you about that?
- 20 A The Corps of Engineers, they used it at Morganza, that was in  
21 Louisiana, somewhere near Baton Rouge. It is a flood control  
22 project, and they are building a structure and they surcharge  
23 it.
- 24 Q What kind of structure?
- 25 A It was just like a water structure, it carries -- I don't know

SETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-2922

OAKLAND (415) 987-7631

SINGH

1

2

exactly the type of the structure, but they build on that just a kind of bridge pier and the soil was bad so they surcharge it and they get two feet of settlement.

3

4

Q What kind of material was that soil?

5

A I am not aware of that.

6

Q Do you know whether it was peat?

7

A No, it wasn't peat, I am sure of that.

8

Q What did you learn about surcharging from that reading?

9

A Well, I learned that you can improve the quality of the soil by surcharging.

10

11

Q Anything else?

12

A No.

13

Q Okay, so what you have just described to me is the extent of your experience and knowledge about surcharging?

14

15

A Uh-huh, that is.

16

Q Do you consider yourself to be an expert upon the subject of surcharging?

17

18

A I am a worker. I am not an expert, I am working and I have knowledge to recognize the problem and to do the design.

19

20

Q Based upon the experience that you have described to me that you have in surcharging you wouldn't consider yourself an expert in the area, would you?

21

22

23

A Not an expert, but I am a worker.

24

25

MR. ZAMARIN: Why don't we break for lunch.

1 SINGH

2 (Whereupon the deposition was held in  
3 recess until 1:30 o'clock, P.M.)

4 - - -

5 AFTERNOON SESSION

6 Q (By Mr. Zamarin, continuing): Is it your understanding, Mr.  
7 Singh --

8 MR. PATON: Are you going to proceed? He  
9 has got all these other little goodies you asked him about.  
10 Q First, is it your understanding that you are going to be a  
11 witness at the hearing with regard to the soils at Midland?

12 A I understand maybe.

13 Q Pardon me?

14 A Not sure, but they might ask, yes.

15 Q Okay, but right now you are of the impression that you are  
16 going to be a witness for the NRC?

17 A Yes.

18 Q And do you have some idea as you sit here now what your testi-  
19 mony would consist of in general?

20 A Well, they will ask me regarding my review. What I did.  
21 What I feel. What I am unable to review, this kind of thing  
22 they will ask, I believe, and I will answer what I think.

23 Q Okay. What is your understanding of what the unresolved  
24 safety issues are at the Midland site?

25 A I can tell regarding the soil. There are a lot of others I



1

SINGH

2 don't know.

3 Q Tell me about the soil?

4 A The soil ultimately leads to the safety of the structure in  
5 which the nuclear power plant or the generator will be housed  
6 so if the soil is not satisfying the state of the art then  
7 naturally there will be some failure.

8 Q What do you mean if the soil is not satisfying --

9 A (Interposing): If the soil is not satisfying the requirements  
10 of the state of the art as we have now, we are following,  
11 I will consider that it is not complete or satisfactory.

12 Q You said that the soil is not satisfying the requirements  
13 of the state of the art?

14 A Yes.

15 Q What do you mean by the soil is not satisfying the require-  
16 ments of the state of the art? What requirements?

17 A I am telling you you have to load -- the load has to be trans-  
18 mitted on the soil and you have some bearing, you know, you  
19 have some factors of safety involved in that, so if I know  
20 the certain parameters so I can determine the strength of  
21 soil, and then we relate with the load and find out the soil  
22 is able to carry that load without failure of the structure.

23 Q When you refer to a requirement of the state of the art.

24 What is the requirement?

25 A The requirement is that until NRC has a factor of safety,

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-2828

OAKLAND (313) 567-7821



SINGH

- 1
- 2 safety of the bearing capacity, and I do not accept 1.9, I
- 3 have to stick to 2.
- 4 Q What are any other unresolved safety issues of which you are
- 5 aware at the Midland Plant?
- 6 A Mostly the unresolved issue that give the soil parameter, j
- 7 like settlement criteria, bearing capacity, shear strength
- 8 criteria so that we can determine how the structure built on
- 9 this soil is going to interact, just like piping underneath
- 10 underground, that is invisible, and similarly the building,
- 11 these kind of things.
- 12 Q Have you begun any preparation of testimony?
- 13 A No.
- 14 Q What?
- 15 A No.
- 16 Q Have you thought about what you are going to put in your
- 17 testimony?
- 18 A No.
- 19 Q You might not even be there?
- 20 A I have to write NRC maybe some time in the future, maybe on
- 21 that. I am working on what I feel that I am not satisfied,
- 22 and I will write all these things.
- 23 Q Has work begun anywhere within the Corps on the draft of a
- 24 SER?
- 25 A No, no.

SINGH

1

2 Q Why not?

3 A Because I am the man who does all these things so I am b  
4 on this, so once my testimony is done then I will start.  
5 Maybe next week I will start.

6 Q Will you call me and let me know?

7 A Well, I have to ask my supervisor.

8 MR. PATON: Next time he does that a  
9 him to give you a dime.

10 Q (By Mr. Zamarin, continuing): Who is P. McCallister, is  
11 Chief of your division?

12 A Engineering Division, yes.

13 Q Do you know whether he has ever told anybody that work to  
14 pare a draft and final SER is already under way?

15 A No, I am not aware of that.

16 Q If he did tell somebody that would he be in error?

17 A I don't know, since somebody might be preparing some, b  
18 am not.

19 Q You are not aware of anybody who is?

20 A No, I am not.

21 Q Have you received any instructions as to when your draf  
22 has to be completed?

23 A Yes.

24 Q When?

25 A End of February.

1

SINGH

2 Q 1981?

3 A Yes.

4 Q Are you going to have it completed by then?

5 A I will try. I will try hard.

6 Q What instructions have you been given with regard to prepar  
7 ing and actually doing the SER?

8 A I have been asked to prepare the SER based on the informati  
9 I have got in my review, results of my review. On that bas  
10 I will prepare and provide to my supervisor and then that's  
11 the only requirement I have based on my results what I got.

12 Q Have you ever seen an SER?

13 A I have seen SER from different places. I have got three he  
14 with me but I don't recall where they are from. They are  
15 out of Michigan somewhere.

16 Q What occasioned you having those in your possession?

17 A What occasioned?

18 Q Yes, did you do any work on those or did you just get those  
19 so you would know what an SER was?

20 A No, no, I got from -- One I got from Neal Gerling (?), he is  
21 the Project Manager of this thing, so he got from somewhere,  
22 I don't know.

23 Q Did he get them just so you'd have some idea of what an SER  
24 was?

25 A Yes, what it look like and what you are supposed to write ar

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-3883

OAKLAND (510) 587-7831

SINGH

1  
2 what would fall in that and that gives me the idea.

3 Q I have here what you have just provided and it is a graph o  
4 piezometer elevations versus time for piezometer number 30,  
5 dated 4-80, revision six. It is indicated that is supple-  
6 mental figure 27-32, and I believe that this was one of the  
7 attachments to the response to question 27, is that correct?

8 A Yes.

9 Q And I'd like you to take a look at that and tell me on this  
10 curve what it is that demonstrates to you a lack of dissipa-  
11 tion of excess pore water pressure?

12 A Actually the drawing, all the data is unplotted here, there  
13 is a data point on top here is missing.

14 Q The data point is there, isn't it?

15 A I am beginning to doubt on this accuracy of the drawing. I  
16 am beginning to doubt that they have not plotted all the  
17 data that is received from the piezometer.

18 MR. PATON: Is that your mark (to Mr.  
19 Zamarin)?

20 A Somebody put it, I didn't put it.

21 MR. PATON: That is a circle around it.

22 A What is that?

23 MR. PATON: If that red circle has some  
24 meaning would you tell us what it means?

25 MR. ZAMARIN: The red circle is around

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-3633

OAKLAND (313) 957-7621



SINGH

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

a data point.

A Why not that data was plotted on the original drawing?

Q Well, how is it plotted on the original drawing, sir?

A I am talking about this (indicating).

Q Now look on this thing we are looking at. When you look at it from this data point does that tell you anything?

A What is that? That should be shown here.

Q What does it tell you when it has got a broken line like this?

A I don't know.

Q You don't know?

A No.

Q Is there any indication on here of what that means?

A No.

Q No it doesn't mean anything to you when there is a data point that is corrected by a broken line as opposed to a solid line as an engineer that doesn't mean anything to you?

A If that data has been taken by the piezometer reading that should have been shown on this piezometer drawing.

MR. ZAMARIN: I asked you a question. Would you read my question back, and listen to it very carefully and I'd like for you to answer it.

(Whereupon the Reporter read back the previous question.)

A (Continuing): Unless there is -- if there is no legend, I



SINGH

1  
2 don't understand what is that dotted line. Is there somet  
3 that defines that dotted line here? This must be written  
4 somewhere in the legend.

5 Q Okay, have you ever looked at this September 14th informat  
6 submitted by Consumers Power Company as part of your job as  
7 lead reviewer?

8 A Yes, I look at it before.

9 Q Did you? Did you notice this Figure one there, did you not  
10 that there was that dotted line?

11 A I look into that, yes, I look into that.

12 Q Did you notice there was that dotted line correcting that  
13 data point?

14 A Yes.

15 Q What did that mean to you?

16 A I just assumed that this piezometer rise temporarily to that  
17 height level of the piezometer.

18 Q Did the fact that that line was a broken line as opposed to  
19 solid line mean anything to you in your review?

20 A It mean something but is not known a lot of meaning for that.

21 Q What did you do to find out what it meant?

22 A Just I found -- I don't remember what data I saw because you  
23 have gone -- let me see again.

24 (Whereupon a document was handed to the  
25 Witness.)

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-3055

OAKLAND (510) 987-7431

1

SINGH

2 A I can't read this.

3 Q I didn't hear you.

4 A I can't read these things. They are so --

5 Q (Interposing): It is the same one you just looked at b

6 A I have a big magnifying glass in my offica, and I can't  
7 this thing, this fine print here (indicating).

8 Q Did you use a magnifying glass to read it the first tim

9 A Yes, I must have. I have a magnifying glass in my draw  
10 that I use for this purpose especially.

11 Q Okay, but that says, the note on 10-13-79 measures the  
12 ment at piezometer DG-3 was 2.502 inches. That is what  
13 note says. My question was what did you do to find out  
14 that broken line meant correcting that data point when  
15 read it as lead reviewer?

16 A I don't find on the drawing, and then --

17 Q (Interposing): Then you what?

18 A Then I pass this thing, I can't do anything on that if  
19 have anything.

20 Q You didn't ask anybody why that was a broken line?

21 A I asked Mr. Otto if I have problem and for this thing I  
22 didn't see anything special so I thought I had already  
23 the same information.

24 Q Did you say you asked Mr. Otto why it was a broken line  
25

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-3888

OAKLAND (510) 887-7831

SINGH

- 2 A No, not to this (indicating).
- 3 Q You didn't ask anyone why there was a broken line?
- 4 A I compared with this and this I considered correct (continuing).
- 5
- 6 Q Looking at that attachment to question 27, would you tell me
- 7 where on that plot you find any evidence that there was a
- 8 of dissipation of excess pore water pressure?
- 9 A Lack of dissipation?
- 10 Q Uh-huh.
- 11 A I don't understand what you mean by lack of dissipation.
- 12 Dissipation --
- 13 Q (Interposing): You told us this morning that the excess pore
- 14 water pressure had not dissipated in your opinion based upon
- 15 that piezometer graph.
- 16 A I said lack of dissipation doesn't mean that, lack of dissipation
- 17 means what you remove and then there is some problem in
- 18 dissipation then you say lack of dissipation. It is all built
- 19 up there, the pore water pressure is already built up.
- 20 Q Yes.
- 21 A (Continuing): And dissipation is done gradually but there is
- 22 still something left.
- 23 Q Show me on that graph where you see excess pore water pressure
- 24 A Here, once you remove this thing from the surcharge and the
- 25 pre-load I would say, and then the piezometer drops down and

SINGH

- 1
- 2 finally is stabilized at this stage (indicating), I would
- 3 say the ground water table is right here at whatever depth
- 4 is, the middle of September.
- 5 Q All right, you say at the beginning of September --
- 6 A (Interposing): Uh-huh.
- 7 Q (Continuing): According to the time plot on the top here
- 8 the beginning of September, 1979, this plot tells you that
- 9 there is excess pore water pressure, and tell me what that
- 10 that plot tells you that?
- 11 A It tells there is a drop in piezometer level and this indicates
- 12 the pressure before and now this is water pressure so that
- 13 immediately is a drop of few feet.
- 14 Q A drop of how much?
- 15 A A few feet, maybe one or two feet, 1.25. This is part
- 16 this point here is 1.25 feet.
- 17 Q Okay, so what you have is, you say, you have a drop of
- 18 feet from the level at which the piezometer read immediately
- 19 prior to removal of the surcharge, right?
- 20 A Yes.
- 21 Q And that drop of 1.25 feet the beginning of September, that
- 22 indicates to you the existence of excess pore water pressure?
- 23 A No, when it went down, so why it went down the remaining
- 24 1.25 excess pressure, why is it that it has gone down.
- 25 Q Okay, maybe I am misunderstanding you. Are you saying that



1

SINGH

- 2           that out there today --
- 3    A       (Interposing): No date.
- 4    Q       Hold it. Let me finish.
- 5    A       I'm sorry, I thought you were done so I am answering.
- 6    Q       Are you saying that out there today -- you are not saying
- 7           then that out there today you believe there still exists
- 8           excess pore pressure that hasn't been dissipated based on
- 9           these piezometer graphs?
- 10   A       Not today.
- 11   Q       Not today?
- 12   A       Not today.
- 13   Q       Okay, it existed at the time of the surcharge removal?
- 14   A       Yes.
- 15   Q       And then it was somehow dissipated after the surcharge was
- 16           removed?
- 17   A       Definitely, naturally it would dissipate, the surcharge was
- 18           gone.
- 19   Q       And you don't have the squeezing, as much squeezing of the
- 20           soil?
- 21   A       Right, yes.
- 22   Q       All right. Well, to your knowledge did the pore water pres-
- 23           sure come back up to a level higher than that which was 1.2
- 24           feet below what was the stabilized pressure under the sur-
- 25           charge load?

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-3929

OAKLAND (510) 997-7631

SINGH

1

2 A Yes, it can in certain condition.

3 Q Did it?

4 A Did it? No, pore water pressure didn't, but the water level  
5 rose because of the pond, continuous seepage from the pond  
6 still coming there.

7 Q How do you know that?

8 A Because the pond is high level, 627 or 620, whatever level  
9 there.

10 Q What was the level of the pond on the last plot shown on  
11 graph you are looking at?

12 A On this I can't find out.

13 Q What do you think it was? You just testified about it not  
14 tell me what you think it was?

15 A About 627.

16 Q 627?

17 A Yes. 627, maybe a half a foot up and down.

18 Q Okay, so your testimony is based upon your understanding  
19 as of the time of the last data point on here which was the  
20 end of November, 1979, that pond level was at 627?

21 A This is at 625, I don't know beyond that, but at this level  
22 I know (indicating).

23 Q I am talking about here on the last data point (indicating)

24 A The pond level must be higher than this (indicating), that  
25 elevation.

2 Q Sure, I understand it must be higher than that, but I a  
3 ing you --

4 A (Interposing): But what is that I don't remember.

5 Q Would it make any difference in your evaluation of this  
6 the pond level were at 6237

7 A Definitely it make some.

8 Q In what way would that make a difference?

9 A Somebody put some load there or something because I don  
10 know.

#6 11 Q Actually what I am getting at is you indicated that thi  
12 turn on the last data point to the level of the pore wa  
13 pressure during the surcharge load was a result, in you  
14 of continued seepage from the pond, that you would expe  
15 the pond at 6277

16 A Yes.

17 Q What I am saying is if the pond was at 623 --

18 A (Interposing): Uh-huh.

19 Q (Continuing): Would that still, in your mind, be a res  
20 seepage from the pond?

21 A No, no.

22 Q Would it be a return to stabilization of the ground wat  
23 level?

24 A Ground level wouldn't go there because it is fed by the

25 Q All right. What I asked you before is whether this ret

SINGH

- 1
- 2 to the last data point up to the level at which the pore w
- 3 pressure had been during the surcharge program indicated
- 4 after the rebound, a return to the stabilized level of the
- 5 ground water regime around the Diesel-Generator Building?
- 6 A Yes.
- 7 Q You said that it was not an indication of the return to th
- 8 pore water pressure because this came back up as a result
- 9 seepage from the pond being at 627?
- 10 A Yes.
- 11 Q What I am saying is if in fact the pond was at 623, for
- 12 example, would this indicate then to you that what you had
- 13 when you removed the surcharge, you had a rebound which na
- 14 ally would show a drop in the piezometer readings and then
- 15 as that rebound ended you had a return to the stabilized l
- 16 of pore pressure which did not contain excess pore water
- 17 pressure. I am assuming 623 on the pond?
- 18 A 623? I will not conclude on that if it is 623. That leve
- 19 will remain below 623 if there is no surcharge load or any
- 20 kind of excess loading there.
- 21 Q But does that change your testimony about whether this ret
- 22 up to the pore water pressure level that existed during th
- 23 surcharge was due to seepage from the pond as opposed to a
- 24 return to the stabilized pore water pressure level after t
- 25 rebound ended?



SINGH

- 1
- 2 A I don't know what you want to ask me. You say pore water  
3 stabilized. I am confused.
- 4 Q All right, I will go back and I will take you through it again.  
5 You testified that there was a drop in the pore water pressure  
6 level when the surcharge was removed?
- 7 A Uh-huh.
- 8 Q And that drop of about 1.25 feet --
- 9 A (Interposing): Uh-huh.
- 10 Q (Continuing): In your opinion was indicative of there having  
11 been excess pore water pressure of 1.25 feet during the sur-  
12 charge while it was in place.
- 13 A Can I tell something here?
- 14 Q Yes.
- 15 A This is still 1.25. I am not talking about this right here  
16 (indicating).
- 17 Q That's right, the last reading prior to --
- 18 A (Interposing): At that point it is 1.25, yes.
- 19 Q Prior to surcharge removal?
- 20 A Uh-huh.
- 21 Q And that, therefore, what we had immediately prior to sur-  
22 charge removal was still 1.25 feet of excess pore water  
23 pressure that had not been dissipated, right?
- 24 A I would like to have everybody sit instead of standing behind  
25 me, excuse me, but it would be better instead of everybody

SINGH

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

standing over my head if everybody sit down and then I can go ahead.

Q I don't think they can see the graph that we are pointing at

A But it is a little uncomfortable.

Q Okay, but while we are working with this there is not way to avoid it though.

Could you read back my last question, please (to Reporter)?

(Whereupon the Reporter read back the previous question and answer.)

Q (By Mr. Zamarin, continuing): Then I believe you testified that when the surcharge was removed there was a drop in pore water pressure?

A Uh-huh.

Q And that by it remaining at that lower level, which was more or less 1.25 feet lower that indicated to you that the level prior to surcharge removal was excess pore water pressure and that that lower level was the stabilized pore water pressure level?

A Yes, at that particular instance.

Q Okay, and that the return of the piezometer pore water pressure level up to the point B, the point at which it had been immediately prior to surcharge removal was due to seepage from the pond, right?

1

SINGH

2 A Yes.

3 Q And you base that upon your understanding that the pond was  
4 627?

5 A Something more than what it is here.

6 Q Okay, 627. I think it was 627 and two inches during the sur-  
7 charge?

8 A Whatever it may be.

9 Q What I am asking you is assume that the pond was at 623?

10 A Yes.

11 Q And in the months at the end of this graph --

12 A (Interposing): Uh-huh.

13 Q (Continuing): All right?

14 A Uh-huh.

15 Q Assuming the pond is at 623 during those last couple of mont  
16 I want you to tell me if that graph still indicates to you  
17 that there was excess pore water pressure which was not diss  
18 pated and I want you to then show me where on the graph that  
19 is indicated.

20 Do you understand what I am asking?

21 A I still don't understand what you are asking.

22 Q Let me try it again. Assume the pond is at 623 --

23 MR. PATON (Interposing): Give it to him  
24 a piece at a time.

25 MR. ZAMARIN: I can't, he needs all of

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT 313 961-3888

OAKLAND 313 987-7021

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

SINGH

the pieces of data.

MR. PATON: But you have also suppos  
tions in there.

MR. ZAMARIN: He is only assuming on  
thing.

Q (By Mr. Zamarin, continuing): Assume the pond during th  
last two months here is at 623, all right, and not 627, 1  
at 623.

A Uh-huh.

Q Would you still be of the opinion in looking at this plot  
there was approximately 1.25 feet of undissipated excess  
water pressure during the surcharge?

A At this location, this area (indicating)?

Q Yes, if this is excess pore water pressure you are assumi  
that the pond is at 623 (indicating).

MR. PATON: Let me get some clarifica  
He pointed to this area (indicating) which was immediately  
before the surcharge removal?

MR. ZAMARIN: That's right.

MR. PATON: Are you saying the pond le  
was at 623 in this area (indicating), I mean, you are aski  
him to assume that?

MR. ZAMARIN: No.

MR. PATON: No? You are asking him to



SINGH

- 1
- 2 assume that the pond was 623 over here at the end of --
- 3 MR. ZAMARIN (Interposing): Sure.
- 4 MR. PATON (Continuing): At the end of
- 5 November?
- 6 MR. ZAMARIN: November and October.
- 7 MR. PATON: Are you giving him any assumption
- 8 on what the pond level was here (indicating), or have
- 9 you asked him to draw his own conclusions?
- 10 MR. ZAMARIN: Here we know it was 627.
- 11 A It was 627 here (indicating).
- 12 MR. ZAMARIN: That's right, there is no
- 13 question about that.
- 14 A But here (indicating) it was 623.
- 15 Q That is what we are assuming that here (indicating) it is
- 16 623.
- 17 A At what level you want? By piezometer it is indicating here
- 18 627, and you are telling 625, that here it has reached 625,
- 19 I believe.
- 20 Q No, no. You see what happened is when you drew that line --
- 21 A (Interposing): I didn't draw, somebody must have.
- 22 Q 625 is up here (indicating).
- 23 A Oh, that is 625.
- 24 MR. PATON: Let me see that one minute.
- 25 Okay. All right.

1

SINGH

2 A Okay, now what is the question?

3 MR. PATON: I don't know Hari, ask him  
4 do it a piece at a time.

5 A I can't follow you.

6 MR. PATON: There are too many assumptions  
7 and suppositions. I would like if you could to take a piece  
8 at a time.

9 MR. ZAMARIN: Okay.

10 A I didn't understand your question. I still don't understand  
11 if you can explain to me.

12 Q All right, you looked at this plot before (indicating) a  
13 you said that in your opinion it indicated excess pore water  
14 pressure at the moment or immediately prior to surcharge  
15 removal of 1.25 feet and you were able to determine that  
16 looking at --

17 A (Interposing): The graph.

18 Q (Continuing): The graph, and then the fact that it came  
19 up, but that when it came back up if that was due to seepage  
20 from the pond at 6277

21 A Uh-huh.

22 Q What I am asking you to do is do the same interpretation  
23 this graph for me but assume that at the end here that is  
24 there is seepage at all it is from a pond at 623, not 627  
25 That's all. Does it make any difference to you that it is

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT 313: 961-3800

OAKLAND 313: 957-7631

1  
SINGH

2 623 at the end rather than 627, if you make that assumpt

3 A I don't know what assumption he wants to make. I really  
4 don't understand.

5 Q Holy Smokes, assume that the one was at 623 instead of 6.  
6 and that everything else is the same.

7 MR. PATON: Have you got anything that  
8 says that at that point it was in fact at 623 at the end  
9 November?

10 MR. ZAMARIN: I am asking him to make  
11 that assumption. I don't have that with me, no.

12 MR. PATON: I mean -- okay, you are saying  
13 the pond was at 627, immediately prior to surcharge it was  
14 and you are asking him to assume it was 623 at the end of  
15 November?

16 MR. ZAMARIN: Yes.

17 Q (By Mr. Zamarin, continuing): All right. Now, does that  
18 change his testimony at all about his interpretation or do  
19 that change his interpretation of the graph?

20 MR. PATON: Let me ask one more question.  
21 Did the level change at a very even pace from 627 to 623  
22 during that three and a half months or --

23 MR. ZAMARIN (Interposing): If that's  
24 important you can ask him that.

25 MR. PATON: I don't know, you are asking

1 SINGH

2 him to assume certain facts, and I don't know whether he has  
3 what he needs to know to do that.

4 A When I ask to break this down, and I want to ask you you say  
5 no, no and that is the whole problem.

6 MR. ZAMARIN: That's why you have to let  
7 me finish the whole question.

8 MR. PATON: But when he doesn't understand  
9 your question as you are going along you are not letting him  
10 interrupt you to ask you in the middle of the question.

11 Q (By Mr. Zamarin, continuing): What don't you understand  
12 about my question?

13 A What interpretation want to say here is 623 (indicating),  
14 you want my interpretation for this part or only here  
15 (indicating)?

16 Q You told me before from looking at that entire graph that you  
17 were of the opinion that there was excess pore pressure?

18 A Uh-huh.

19 Q The moment before the surcharge was removed.

20 A Okay, now can I interrupt here?

21 Q Yes.

22 A Suppose I have to look only this position (indicating). I  
23 don't have to look at that position to find out (indicating),  
24 only right from here is it stabilized and I conclude that  
25 this is the excess pore pressure. I don't need that



2 (indicating).

3 Q Oh, all right, so what you are telling me is that on the  
4 Figure 27-32 that you only have to look as far as about  
5 first week of September, 1979, and you can tell that the  
6 piezometer level immediately prior to surcharge removal  
7 cated excess pore pressure?

8 A Okay, let me see again. I will see when this thing is  
9 stabilized and once it starts going up I will cut right  
10 once it is stabilized because there may be one year here  
11 is not needed -- not one year, at least one month when it  
12 stabilize and it has no tendency -- you see, it completely  
13 stabilized in this place (indicating) so right there I do  
14 need all this thing to go up.

15 Q So in your opinion then that pore water pressure was sta-  
16 bilized from the period in the middle of August to the per-

17 A (Interposing): End of September.

18 Q The end of September that was stabilized?

19 A That means I see because of the water pressure in the pore  
20 what is existing there and from that I can conclude that  
21 excess pore water pressure, and if it raise the pond level  
22 it might go to 700, that doesn't make any difference for

23 Q How do you know that the pore water pressure was stabiliz-  
24 ed between the middle of August and the end of September?

25 A Because once it has become steady, kind of steady and then

SINGH

1  
2 could see that.

3 Q You say it becomes steady and from looking at this graph you  
4 say that it became steady and if it becomes steady over a  
5 six week period in your mind it is stabilized?

6 A I would consider it stabilized for a six week period is very  
7 good.

8 Q In your opinion then would any pore water pressure level that  
9 would remain steady for a period of at least six weeks be  
10 considered to be a stabilized pore water pressure level?

11 A No, but if the entire level remains the same, it is not going  
12 up.

13 Q What I see --

14 MR. PATON (Interposing): Wait a minute.  
15 Did you finish your answer?

16 MR. ZAMARIN: I think he did.  
17 He said it was not going up.

18 MR. PATON: Did you finish your answer?

19 A Yes.

20 MR. PATON: Okay.

21 Q (By Mr. Zamarin, continuing): What I see here is that at the  
22 end of this graph, in the last three and a half months I see  
23 pore water pressure level going up?

24 A Uh-huh.

25 Q I see it going up to the last point, to here (indicating)

- 1
- 2 being at the same point that it was immediately prior to  
3 charge removal?
- 4 A Uh-huh.
- 5 Q Now, what is it that causes you to believe that it stab:  
6 some time prior to this last data point?
- 7 A Because -- not in this case, I am generalizing. Here yo  
8 a pond, but in general case there is no pond.
- 9 Q I am talking about here, and in fact what you had said e  
10 was that the reason that you thought it had stabilized l  
11 was because you thought that it came up on this last dat  
12 point as a result of seepage from the pond at 627?
- 13 A It is stabilized but is still going up.
- 14 Q Okay. What I am saying is rather than assuming the pond  
15 627, let's assume a pond at 623?
- 16 A Uh-huh.
- 17 Q During this last --
- 18 A (Interposing): Only in the last month?
- 19 Q Only in the last month.
- 20 A Uh-huh.
- 21 Q Okay. Now, would it still be your opinion, assuming the  
22 at 623, that this rise to this last data point is a resul  
23 seepage from that pond at 623 as opposed to simply a retu  
24 to the stabilized pore water pressure level?
- 25 A Now, the 623, how does it immediately drop right here fro

SINGH

1  
2 (indicating)?

3 Q I will tell you in a minute. All right, assume that the lev  
4 of the pond on October 5, all right, at this point right her  
5 (indicating) was 627?

6 A Uh-huh.

7 Q And that it was dropped to 623 over a period of 15 days, so  
8 that's right here (indicating).

9 A Okay.

10 Q On October 20th it was 623, all right?

11 A Okay. Now, in this case, and you say "drop," but this water  
12 level went up.

13 Q Yes.

14 A (Continuing): I don't know how this water level went up be-  
15 cause there is some disturbance going on somewhere.

16 Q Well, maybe -- would that lead you to believe that what you  
17 see on this plot, would that lead you to believe that what you  
18 see on this plot is a point immediately prior to surcharge  
19 removal which represents excess pore water pressure and then  
20 a drop in the pore water pressure as a result of rebound and  
21 then when that things comes back up on this last data point  
22 to the same --

23 MR. PATON (Interposing): Look, I don't  
24 like to interrupt you and I am going along with you on this  
25 questioning of the graph, but he has told you that he is not

1  
SINGH

2 understanding your questions.

3 MR. ZAMARIN: If he doesn't understand th  
4 graph then we are all in trouble.5 MR. PATON: You asked him three questions  
6 in a row without giving him a chance to answer. Give him a  
7 chance to answer because that is the problem. If you ask him  
8 one question at a time I am sure he will be perfectly willing  
9 to answer your questions.10 MR. ZAMARIN: I was in the middle of a  
11 question when you interrupted. I hadn't finished it yet.12 MR. PATON: But you are in the middle of  
13 a third question.14 Q (By Mr. Zamarin, continuing): Let me start again and see if  
15 you can understand.16 MR. PATON: Why don't you give it to him  
17 one at a time?18 MR. ZAMARIN: He keeps claiming he  
19 doesn't understand and I find that difficult to believe. I  
20 would like to get through this by asking the whole thing.21 MR. PATON: If you would ask him one at a  
22 time he will understand and answer you.23 MR. ZAMARIN: My wife could understand  
24 this.

25 Q (By Mr. Zamarin, continuing): What I am asking is whether or



1 SINGH

2 not the situation that we have hypothesized here --

3 A (Interposing): I haven't hypothesized anything.

4 Q What the hell do you think we have been doing in going thru  
5 for the last half an hour?

6 MR. PATON: Wait a minute, Mr. Zamarin  
7 I really don't think that is necessary.

8 A No.

9 MR. PATON: And I would ask you not to  
10 to the Witness in that manner.

11 Q It is nonsense. In looking at this graph we have 627 on  
12 October 5th and 623 on October 20th, so for that period of  
13 15 days we have this graph here representing piezometer data  
14 We have a surcharge being removed at this point here in  
15 August (indicating), all right, and we have this level of  
16 piezometer data immediately prior to surcharge removal. We  
17 then have a decrease in pore water pressure indicated by the  
18 piezometer readings and then we have -- it comes back up to  
19 the last data point on this graph to a point which is just  
20 about the same as the point immediately prior to surcharge  
21 removal.

22 A Okay.

23 Q That's the data.

24 A Okay.

25 Q And you had indicated in response to my last complete question

SINGH

1  
2 A (Interposing): Uh-huh.

3 Q (Continuing): That you didn't know how it could come back up  
4 here unless there was some kind of disturbance. All right,  
5 and that's where we are. Now, my question is --

6 MR. PATON (Interposing): With the assump-  
7 tion that you gave him?

8 MR. ZAMARIN: Yes.

9 MR. PATON: Okay, fine.

10 Q Now, the question is as a geotechnical engineer looking at  
11 this wouldn't that indicate to you that immediately prior to  
12 surcharge removal there was no excess pore water pressure but  
13 that following surcharge removal there was a drop --

14 A (Interposing): You want my answer?

15 Q (Continuing): There was a drop in pore water pressure level  
16 that then by the end of November had come back and stabilized?

17 A That's all?

18 Q Yes?

19 A I wouldn't comment anything on that until I do it complete  
20 and have complete information before I recommend anything at  
21 all.

22 Q Why didn't you have that problem when you said before that  
23 there was 1.23 feet of excess pore water pressure immediately  
24 prior to surcharge removal? How come you could do it then  
25 and not now?

- 1
- 2 A It is because the same seepage is coming, the water level  
3 remains the same and is the same soil it is coming through
- 4 Q All right, but I am telling you the water level is different  
5 and therefore what you are saying is that you can't make  
6 interpretation with that information from what is shown  
7 that graph?
- 8 A Yes, there is a lot of difference when the water level goes  
9 down.
- 10 Q So what you are saying is when the water level goes down  
11 you cannot make that kind of a statement?
- 12 A No, no, in comparison to that which I talk on, if you give  
13 the soil details and all these things and lower water level  
14 then I can make my prediction.
- 15 Q I am not sure whether I understand your answering my question  
16 Really all I am saying is then that if in fact the water  
17 had gone down in October, okay, if in fact that is what  
18 happened then you couldn't -- you couldn't say that there was  
19 pore pressure?
- 20 A No, I can't say anything on such questions, I can predict  
21 existing situation, and with a hypothetical it is very difficult  
22 cult, it is very complicated.
- 23 Q So when you answered my question with regard to this 1.2  
24 over here (indicating) were you certain that the pond level  
25 hadn't changed during all the time shown on this graph?

SINGH

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

A Pond level here (indicating), I assume -- I saw in the drawing it was 627 and then this disappears here (indicating), and I think it was the same.

Q Okay, so you think it is the same all the way through this whole period from the time of surcharge removal through the end of the data on this graph?

A Pond level has a slight variation, maybe six inches or something like that. That I saw on the drawings.

Q I show you what has been marked as Consumers Exhibit Number 2 for identification as of today's date. It is a November 28th 1980 letter to Mr. Lear from Mr. McAllister, and the subject is "Interagency Agreement, Number NRC-03-79-167, Bi-Monthly Letter."

Is that a copy of something that came out

of your files and that you gave us today?

A It didn't come from my files, from the branch file.

Q From the branch file?

A It is not my file.

Q Have you ever seen this letter before?

A Let me read this.

Q Pardon me?

A Let me read this.

Q Okay.

A Yes, I have seen this. Yes, I have seen this.



SINGH

1

2 Q It says in here that the Midland Project SER is to be completed  
3 by the end of February, 1981. It says that with a document to  
4 be completed in February, 1981, the draft will be considered  
5 to be complete on a significant portion of the final SER.

6

7 Do you believe that in fact the Corps is  
8 going to live up to that commitment to have by the end of  
9 February, 1981, that draft complete?

9

A Yes, I do.

10

47 Q It also states in here that the content of testimony for the  
11 ALLB hearing is primarily the same as the content of the SER.  
12 Do you agree with that?

13

A Can you repeat it again?

14

Q Yes. It says in here -- well, I will quote it directly.

15

16

17

"The district will be preparing part of  
18 the testimony for the ALLB hearing. The content of the testi-  
19 mony is primarily the same as the content of the SER."

18

Would you agree with that?

19

A The testimony?

20

Q I think you stated something a little earlier that was pretty  
21 much the same. In other words, that the SER is going to be  
22 pretty much the same as the testimony you are going to be  
23 preparing.

24

25

A You are asking me if I am now satisfied, if I don't get new  
working it will be the same as what I am telling, or my

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-2665

OAKLAND (510) 967-7851



1

SINGH

2 testimony, that I will write the same thing, but it might  
3 change, but from the Corps I don't think so, I am talking  
4 the entire agency.

5 Q You do agree?

6 A Yes.

7 Q That the SER and the testimony will be the same?

8 A Part of the testimony will be the same.

9 Q A part of what will be the same?

10 A You are taking two days' deposition testimony, so there is  
11 lot of things.

12 Q No, no, you misunderstood. I am not talking about the de  
13 position testimony, I am talking about the testimony that is  
14 to be prepared for the hearing?

15 A Yes.

16 Q That will be the same as the SER, right?

17 A Yes.

18 Q Okay. Returning again to Supplemental Figure 27-32, which  
19 the piezometer elevations versus time plot for piezometer  
20 30, if as you have stated this last data point on this plot  
21 represents the stabilized ground water and pore pressure  
22 or at least the lower bounds of that, why then wasn't the  
23 stabilized level, plus the excess pore water pressure that  
24 say was existing during the surcharge higher than that level?

25 A That's a good question.

SINGH

- 1
- 2 Q Okay, yes, it is.
- 3 A Very good question. This is not stabilized. This level is not  
4 stabilized. I don't know beyond that.
- 5 Q You think it is still going up?
- 6 A It might be.
- 7 Q Okay, fine.
- 8 A (Continuing): Then here (indicating) --
- 9 Q (Interposing): Why isn't it during the surcharge, if it is  
10 not all stabilized but it has got some excess pressure in  
11 there why isn't it greater than that?
- 12 A I am telling you this because it is early age. That pond had  
13 been raising hardly two or three months ago and it takes some  
14 time for water level to raise here, it might take four months,  
15 six months, one year, I don't know the permeability of that.  
16 Here the time lapse is almost eight months for raising the  
17 pond (indicating). Here it has been three months or four  
18 months (indicating), so entire seepage from the pond at this  
19 point is still in the process of coming.
- 20 Q You don't know that?
- 21 A No, but that is why I am thinking, because it is not coming  
22 in one day or two days, it is continuous until equilibrium is  
23 reached so that's the reason there it is low (indicating).
- 24 Q The pond equilibrium was reached in three months?
- 25 A Well, that also -- no, not if the soil is very poor, just like

SINGH

1  
2 in sand it can reach if it is one mile away, but it depends  
3 on the distance and type of the soil inside.

4 Q If equilibrium had been reached during the time that the s  
5 charge was on would you then agree that based upon that pl  
6 that you have in front of you that there was no excess por  
7 pressure immediately prior to surcharge removal?

8 A Equilibrium, no, there was equilibrium with this. The wat  
9 wouldn't go down.

10 Q I am talking about equilibrium from the pond. In other wor  
11 if there had been this level indicated during the existence  
12 of the surcharge load, if during that time equilibrium had  
13 been reached between the ground water table by the Diesel-  
14 Generator Building and the pond seepage, okay, so that you  
15 would not have any further increase at that pond level than  
16 the ground water table by the Diesel-Generator Building?

17 A It will be increase because when you have surcharge on the  
18 of that it is just like a sponge pressing. This water is no  
19 penetrating that. Once it is removed then it will rise exac  
20 like it is supposed to.

21 MR. ZAMARIN: Could you read back his  
22 answer, please (to Reporter)?

23 (Whereupon the Reporter read back the  
24 previous answer.)

25 MR. ZAMARIN: I will start again. Are yo

SINGH

1

2 ready?

3 A Yes.

4 Q Okay, if you assumed that the point in time in which equili-  
5 brium between the pond and the seepage from the pond and the  
6 ground water table after the Diesel-Generator Building had  
7 been achieved was as indicated by that arrow on here (indicat  
8 ing) that I have marked E for the purpose of equilibrium, and  
9 that being at the end of April, would you then agree that thi  
10 plot indicates no excess pore pressure at the point in time  
11 immediately prior to surcharge removal but rather indicates a  
12 equilibrium situation at the time of the pre-load, a drop in  
13 pore pressure during rebound and then a return to equilibrium  
14 A When equilibrium is reached there that doesn't mean that it w  
15 water table, that means water table plus excess pore pressure  
16 When you remove that will drop.

17 Q Then how do you explain it coming back up?

18 A You see, not here (indicating), this is not equilibrium.  
19 That is where equilibrium is reached (indicating).

20 Q What I am saying --

21 A (Continuing): In here equilibrium is the same (indicating).

22 MR. PATON: You are indicating no further  
23 changes in equilibrium remains through the end of this chart,  
24 is that what you are saying?

25 A The pond stays at 627.

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-2833

OAKLAND (510) 567-7431



SINGH

1  
2 Q When I am talking about equilibrium, what I am talking about  
3 is the maximum charge from the pond --

4 A (Interposing): Uh-huh.

5 Q (Continuing): -- at the beginning of May.

6 MR. PATON: And it stays that way?

7 MR. ZAMARIN: Well, sure.

8 MR. PATON: Okay, don't say "sure" because  
9 you asked him to assume something else over here (indicating).

10 MR. ZAMARIN: No, no, that was a previous  
11 question.

12 Q (By Mr. Zamarin, continuing): The pond level stays the same,  
13 okay?

14 A Uh-huh.

15 Q And the pond level is at 627 and you have maximum seepage from  
16 the pond by the beginning of May?

17 A Uh-huh.

18 Q All right, then I take it that you still are of the opinion  
19 that there is immediately prior to surcharge removal excess  
20 pore water pressure and if that's the case then how in the  
21 world do you explain this rise at the end of the graph here?

22 A In this case the pond, this has not reached equilibrium in  
23 this particular case and that is what I am questioning. When  
24 equilibrium is reached here it drops and it stays. You under-  
25 stand?



SINGH

1

2 Q I don't know what he just said.

3 A That's the truth.

4 Q What I am saying is assume on May 1st that equilibrium --

5 MR. PATON (Interposing): Can we go off  
6 the record for a second?

7 MR. ZAMARIN: Yes.

8 (Whereupon there was a discussion held  
9 off the record; after which the deposi-  
10 tion again continued.)

11 MR. ZAMARIN: I am going to mark this  
12 as an exhibit and maybe we can photocopy it.

13 MR. PATON: Yes, okay. Let me see it just  
14 a minute.

15 MR. ZAMARIN: I want to mark it as an  
16 exhibit.

17 (Whereupon there was a short discussion  
18 held off the record.)

19 MR. ZAMARIN: We can go back on the record.

20 MR. PATON: That's Exhibit 3, isn't it?

21 MR. ZAMARIN: Yes.

22 Q (By Mr. Zamarin, continuing): I have marked as Consumers  
23 Exhibit 3, for identification as of today's date, a marked up  
24 copy of Supplemental Figure 27-32, which was included with the  
25 response of Consumers Power Company to Question Number 27,

SINGH

1  
2 and this is the same graph about which I asked you some  
3 questions and to which you gave answers.

4 What I want you to assume now is that at  
5 the point in time marked E on Exhibit Number 3, that being  
6 May 1st, 1979, the ground water table at the Diesel-Generator  
7 Building had already reached a state of equilibrium, with the  
8 cooling pond. The maximum seepage had occurred, and would  
9 you then look at this entire Exhibit Number 3 and tell me if  
10 based upon that curve, with that assumption, that is to point  
11 E in time you are of the opinion that immediately prior to  
12 surcharge removal there was any excess pore water pressure?

13 A The reaching of the equilibrium of the water table with the  
14 seepage pond has nothing to do with the excessive pore water  
15 pressure. Excessive pore water pressure depends upon the load  
16 of the surcharge. If the excessive load, if the excessive  
17 pore pressure has not been dissipated completely it will be  
18 a drop in piezometer.

19 Q You indicated a little earlier that, you explained away what  
20 I have indicated here as data point E, that being the data  
21 point of the piezometer level at the end of November, 1979,  
22 as a result from seepage from the pond, and you explained  
23 that then along with this other information on here demon-  
24 strated to you that there was excess pore water pressure at  
25 point A in time and that is the point immediately prior to

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-2022

OAKLAND (313) 987-7031

SINGH

1  
2 surcharge removal because there had not been achieved a state  
3 of equilibrium between the ground water table and the cooling  
4 pond, but rather that seepage was continuing to occur and  
5 that, therefore, the plotted data between points A and points  
6 B on Exhibit 3 in times indicated a state of equilibrium of  
7 ground water table which was then increasing at point B as  
8 a result of recharge from the pond, right?

9 A Right.

10 Q Now, would you agree with me that if there was a state of  
11 equilibrium with the pond at point E in time that then these  
12 lower points between points A and B on the piezometer readings  
13 could not indicate a state of equilibrium of the ground water  
14 table?

15 A The state of equilibrium has nothing to do here with the drop  
16 of the piezometer here (indicating).

17 Q I didn't ask you that.

18 A That is the answer to the question. You are fixing things.

19 Q If in fact at point E in time there was a state of equilibri-  
20 um between the pond and the ground water table, would it have  
21 been possible for this period following surcharge removal to  
22 have indicated a state of equilibrium that would be lower  
23 than that --

24 MR. PATON (Interposing): Please indicate  
25 what period of time?

1

SINGH

2

MR. ZAMARIN: Let me finish the question.

3

MR. PATON: Okay, I am sorry, I thought

4

you were finished.

5

MR. ZAMARIN: Could you read back the

6

question (to Reporter)?

7

(Whereupon the Reporter read back the

8

previous question.)

9

Q (By Mr. Zamarin, continuing): Which existed during the time of the surcharge load and during the time of equilibrium with the ground water table and the pond?

10

11

12

A At the level at point E I don't know what is the elevation of the pond. This elevation doesn't indicate the level of the pond. That elevation is pond plus excessive pore pressure.

13

14

15

Q We know that the level of the pond is 627 and that's the assumption all the way through in looking at this graph. It is right here, 627 (indicating).

16

17

18

A What is the level of the Diesel Building at that time I don't know.

19

20

Q And you have to know the ground water level underneath the Diesel-Generator Building?

21

22

A Then I can say what will be here at this time (indicating) once I know what was under the Diesel-Generator Building.

23

24

Q Let's look at this plot, all right, and let's say you don't know what the ground water table is --

25



SINGH

1

2 A (Interposing): No, I don't know what it is.

3 Q Let's say that you don't know what the ground water table is  
4 and I am going to ask you some questions on your expertise as  
5 a geotechnical engineer and tell me if we have at point E in  
6 time, May 1st, equilibrium between the cooling pond and the  
7 ground water table under the Diesel-Generator Building that  
8 it then would be possible for the data point after surcharge  
9 removal, that is from about the second week of August through  
10 the beginning of November --

11 A (Interposing): Uh-huh.

12 Q (Continuing): Which show a level less than that after  
13 equilibrium is reached and while surcharge was in place to  
14 have represented the state of equilibrium in the ground water  
15 table?

16 A Okay. If the pore water pressure has not dissipated here it  
17 will be some less. You see it (indicating)? This elevation  
18 is shown and is total ground water plus excessive pore pressure.

19 Q Okay.

20 A If the total pore pressure has not been dissipated.

21 Q Then how do you explain the miraculous coming up to point B?

22 A Because it is rising.

23 Q What is rising?

24 A The pond, this water level there is rising.

25 Q How?

SINGH

- 1
- 2 A Because the pond is feeding that.
- 3 Q No, way back here at point E in time we have equilibrium.
- 4 A Okay.
- 5 Q All right, and you say now that something sucked out the  
6 ground water table over here (indicating), here after surcharge  
7 removal?
- 8 A Uh-huh.
- 9 Q Yes? What has sucked it out?
- 10 A What?
- 11 Q All right, we have a point E in time --
- 12 A (Interposing): Uh-huh.
- 13 Q Equilibrium with the pond.
- 14 A Uh-huh.
- 15 Q So there is maximum seepage from the pond at point E which is  
16 May 1st, 1979, to the end of this graph, right? We are agreed  
17 on that. That's an assumption.
- 18 A Uh-huh.
- 19 MR. PATON: That's an assumption?
- 20 A That is here (indicating), and we are assuming and this is not  
21 truth, you are assuming that and what I am telling you is that  
22 that is not there.
- 23 Q We are assuming that.
- 24 A If you are assuming that it will be different then you have  
25 to assume certain other things there, too.

SINGH

1  
2 MR. PATON: Wait, I'd like to put some-  
3 thing on the record. It is clear to me that the Witness is  
4 saying that you are asking him to assume something that he  
5 construes to be impossible, bearing in mind the facts that are  
6 on that chart, and what you are doing is the record is becoming  
7 very confused because we are mixing an assumption with fact  
8 and the Witness has indicated that he just cannot make that  
9 assumption that the chart indicates to him could not be true.

10 Q (By Mr. Zamarin, continuing): Do you agree with your  
11 counsel's statement that I am asking you to assume something  
12 that is impossible to you as a geotechnical engineer?

13 MR. PATON: Bearing in mind the facts on  
14 that chart.

15 Q Yes.

16 A This might go here like that (indicating)?

17 Q It might. Doesn't it?

18 A No, I don't say that because you are assuming something and  
19 that is not the assumption on that graph.

20 Q I am telling you to change only one thing and that is, taking  
21 everything else on this graph exactly as it appeared --

22 A (Interposing): That is not consistent.

23 Q Exactly as it appeared when it was included with the answer  
24 to Question 27 and add one thing and that is the assumption  
25 that on May 1st, 1979, the ground water table under the

SINGH

1  
2 Diesel-Generator Building was in a state of equilibrium with  
3 the cooling pond, that's the only assumption that I am asking  
4 you to add and we know that the cooling pond was at 627, and  
5 looking at all the rest of the data points, including the  
6 last one, how would you interpret that graph?

7 A Now, that assumption will not be consistent with this  
8 piezometer level. That's my answer.

9 MR. ZAMARIN: Read that back, please  
10 (to Reporter).

11 (Whereupon the Reporter read back the  
12 previous question and answer.)

13 A (Continuing): It might go up, it might go down, I presume it  
14 will support the water level which is here (indicating).  
15 Where is pore pressure?

16 Q Dissipated already, maybe?

17 A No, once --

18 Q (Interposing): How do you know?

19 A What?

20 Q How do you know that it is not?

21 A Once it is dissipated then it will be level, once it is dissi-  
22 pated completely, there may be a little disturbance there and  
23 it will stay there.

24 Q Are you saying once the pore pressure is completely dissipated,  
25 the excess pore pressure is completely dissipated that when



SINGH

1

2 you remove a surcharge load such as that that we had at the  
3 Diesel-Generator Building --

3

4 A (Interposing): Uh-huh.

4

5 Q (Continuing): That there would not be a drop resulting in  
6 that rebound such as we have indicated on Exhibit 3?

6

7 A There will be drop due to disturbance, maybe, just like they  
8 have a lot of other drops, temporary drops right here and  
9 finally it will remain on the same level. We have some of  
10 these (indicating).

10

11 Q What is the maximum period of time in your opinion that that  
12 drop could remain?

12

13 A No, I can't, I can't answer this thing.

13

14 Q Well, can you say that this is too long that is indicated on  
15 Exhibit Number 3?

15

16 A No, I can't say this thing. It depends on the type of soil  
17 in which it is imbedded.

17

18 Q Okay, so I take it what you are saying then is that this drop  
19 in piezometer reading between what I have marked as Point A  
20 and Point B on Exhibit 3 could perhaps be the drop resulting  
21 from rebound upon removal of the surcharge, right?

21

22 A Some of the drop is clearly shown, it is not shown on this  
23 drawing (indicating), but I have a lot of other drawings.

23

24 Q I am talking about on this drawing?

24

25 A Uh-huh.

25

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT 313 961-3833

OAKLAND 313 987-7431

SINGH

- 1
- 2 Q So that could be a drop?
- 3 A No, this couldn't be.
- 4 Q Why not?
- 5 A Maybe ten days, fifteen days, twenty days, thirty days.
- 6 Q Now we are getting closer. What is the maximum, what could the  
7 maximum be? Could the maximum be 120 days?
- 8 A No. I can get with a consistent drawing and show this thing  
9 with a drawing.
- 10 Q I think you told me that you couldn't tell what the maximum  
11 period of time would be before recovery of equilibrium after  
12 the drop in piezometer readings as a result of rebound upon  
13 surcharge removal?
- 14 A Uh-huh.
- 15 Q You couldn't tell me what the maximum would be, right?
- 16 A Okay, I can tell from your graph which I have seen, 20 days,  
17 15 days from all the drawings I have seen here.
- 18 Q All right. Looking just at this drawing could you state with  
19 a reasonable degree of engineering certainty that the period  
20 of time that it took for these piezometers to return up to  
21 data point B, which is the last point on this graph, is in  
22 excess of what would be possible for this to still be simply  
23 recovery after dissipation due to rebound?
- 24 A No, in this particular case you have this long distance, but  
25 80 percent of your drawings showed immediately after, within

SINGH

1

2 a month, but I don't depend on one drawing.

3

4 Q Well, you are now.

5

6 Would you read my question back, please

7

8 (to Reporter)?

9

10 (Whereupon the Reporter read back the  
11 previous question.)

12

13

14 A No, that is not due to rebound.

15

16 Q Will you very carefully listen to my question? Now, that  
17 wasn't it.

18

19 Could you read it back once again

20

21 (to Reporter)?

22

23 MR. PATON: He did listen very carefully  
24 to your question. He says he can't do it, that he doesn't do  
25 it on the basis of one shot.

26

27 MR. ZAMARIN: He said, no, that this isn't  
28 rebound, and that wasn't my question.

29

30 Read it back.

31

32 (Whereupon the Reporter read back the  
33 previous question and answer.)

34

35

36 A No.

37

38 Q I take it from your answer to that question then that based  
39 just upon the information contained here on Exhibit 3 that  
40 it is impossible that this drop in piezometer reading after  
41 point A is simply a drop due to rebound and that at point B

42

SINGH

2 it is returning to equilibrium with the ground water regime?  
3 That's not possible then, is it on that basis?

4 A On one drawing, sir, I am not going to give any comment.

5 Q I think you are, sir, and I am going to sit here all night  
6 until you do.

7 MR. PATON: Wait, I want to put something  
8 on the record. Mr. Zamarin is repeatedly asking the Witness  
9 to draw conclusions from a plot when the Witness has repeated-  
10 ly said in his professional judgement he does not draw con-  
11 clusions from a plot, he draws conclusions from many plots,  
12 and if the Witness says that in his professional judgement  
13 he does not or cannot draw a conclusion from just this one  
14 plot, I think you have exhausted all of the knowledge that  
15 can be gained from him if that is the way he feels in his pro-  
16 fessional judgement, and he simply can't be forced to make  
17 some judgement that he says in his professional judgement he  
18 can't make. He will answer questions all night, but you can't  
19 force him to answer in a certain way.

20 MR. ZAMARIN: Number one, I am not asking  
21 him for a judgement because I don't need that. He was very  
22 quick to state some opinions based upon this one plot before  
23 and he even calculated the 1.25 feet figure, and I don't know  
24 what has happened to strike him dumb suddenly so that now he  
25 can't do it when I make an assumption.



1 SINGH

2 MR. PATON: I'd like the record to show  
3 an objection to that statement. I don't think or see any need  
4 for that kind of a remark.

5 MR. ZAMARIN: Well, it is a fact. That's  
6 the first point that I have to make. The second is that I have  
7 asked him if he cannot state based upon the information on this  
8 graph that it is impossible for this to indicate simply a  
9 dissipation due to rebound and then a return to equilibrium,  
10 and that if in fact the correlative of that is true that it is  
11 possible that what is demonstrated on here is in fact a drop  
12 due to rebound and then simply a recovery over a period of  
13 time as indicated on the chart to equilibrium. That's all  
14 we are talking about, the time period.

15 My question is, and I will repeat it  
16 because it is too far back to have it reread, is then based  
17 upon your statement -- strike that.

18 You have stated that you cannot say that  
19 it is impossible to have taken as long as indicated on this  
20 graph, that is between point A and B for the drop in piezomet  
21 level due to rebound to then recover to a stabilized reading,  
22 then wouldn't you agree that it is possible that what is show  
23 on Exhibit 3 between data point A and data point B is a drop  
24 in piezometer readings due to rebound and then recovery back  
25 at point B to the stabilized ground water table regime?

SINGH

1

2 A No.

3 Q All right. Why is that not possible?

4 A It is not possible because I don't know the state of conditi  
5 of the seepage coming from behind and what the water table a  
6 the time, as you said here that equilibrium is reached.7 Q Then how in the world could you have stated an opinion with  
8 regard to this chart before I put that one assumption in and  
9 that is the state of equilibrium on there. You have to have  
10 the same --11 A (Interposing): You don't have water table, and you say the  
12 equilibrium is there and this is not consistent with that,  
13 and if --14 Q (Interposing): Doesn't, sir, doesn't, assuming at point E  
15 that we have a state of equilibrium between the ground water  
16 table and the pond, only really affect whether in fact we  
17 can say that B is a result of increased seepage from the pond  
18 isn't that the only difference that that would really make in  
19 interpretation of this graph?

20 A Yes, but if it is increased what is here, if there is no --

21 Q (Interposing): All I am asking you is by adding the assump-  
22 tion that on May 1st there was a state of equilibrium between  
23 the ground water table and the cooling pond, isn't the only  
24 difference that that would make in interpreting this graph  
25 B, that it would make it highly unlikely, if not impossible

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT 312 961-2828

OAKLAND 313 387-7821

SINGH

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

that the rise to point B was a result of increased seepage from the point we are talking about, isn't that the only reason that that would make?

A If there is equilibrium that is reached. I have to know ground water level there, and then it would rise.

Q Well, if in fact you have maximum seepage and equilibrium point E, then the ground water table has to be at least higher than point B, isn't that correct?

A Is not necessarily.

Q Or at least as high, but it couldn't be below point B, could it? Could it? Because if it was below point B you would be able to explain the rise to point B?

A Surely, because it depends upon the water table.

Q Right, okay, so all we have added to this is that we are adding this assumption that on May 1st we had a state of equilibrium between the ground water table and the pond, that we know that the ground water table was at least equal to or higher than point B.

A No pore pressure then at that location.

Q That's right, no excess pore pressure.

A You are saying but I am not agreeing to that.

Q I am not asking you to agree to that. You see, your problem is you are jumping ahead and trying to anticipate where I am going.

1

SINGH

2

All right, let's take it a step at a time

3

MR. PATON: Beautiful.

4 Q

Well, I will get you through. I will get you through so that you have to answer the way I want you to. All right, so would you agree then that if we have equilibrium at point E in time May 1st, between the ground water table and maximum pond seepage --

8

9 A

(Interposing): That's an assumption. It is not my assumption

10 Q

That's right.

11 A

I don't --

12 Q

(Interposing): I pulled that one out of the air as far as you are concerned?

13

14

MR. PATON: Could you ask him whether he

15

can assume that?

16

MR. ZAMARIN: I'm not sure.

17 A

Realistically how it is going to happen I can't say.

18 Q

Well, let's assume that it happened.

19 A

I am not assuming, you are assuming. I will not base my opinion on assumption.

21

MR. PATON: I hate to interject, but I

22

do interject because I want to put something on the record.

23

MR. ZAMARIN: Why don't you tell your

24

witness to answer. This is ridiculous.

25

MR. PATON: This is the only thing I want



1 SINGH

2 to put on the record --

3 MR. ZAMARIN (Interposing): He doesn't  
4 want to make an assumption, and he has to answer questions  
5 with these assumptions.

6 MR. PATON: The only thing I want to say  
7 on the record is that you asked him to make an assumption as  
8 to the state of equilibrium on May 1st and the Witness has  
9 said several times that that is your assumption and not his  
10 assumption, and it is not clear to me whether the Witness is  
11 able to make that assumption and I would like to ask the  
12 Witness are you able to make that assumption?

13 A I wouldn't like to make that assumption because there is a  
14 lot of things involved in that.

15 MR. ZAMARIN: As an engineer I could ask  
16 him to assume the sun isn't going to come up tomorrow.

17 MR. PATON: Well, I understand but I am  
18 asking him if he is able to make that assumption. I am not  
19 certain he can do it.

20 Q (By Mr. Zamarin, continuing): Is it beyond your ability to  
21 make that assumption?

22 A I can assume but that will not be consistent with the truth  
23 and that would be all wrong.

24 Q I am saying to assume this, that it is an assumption.

25 A I have to have fact, fact.

SINGH

2 Q Okay. I am a lawyer, I make assumptions.

3 A But I don't.

4 Q Here we are, we are at a deposition, and I am asking you to  
5 assume that on May 1st there was this equilibrium between the  
6 ground water table and the --

7 A (Interposing): You are asking me then, and I am not assuming  
8 this, I am not going to predict on that unless I do experiment  
9 and I get the data and then I will tell you.

10 Q You are going to assume it --

11 A (Interposing): No.

12 Q Is it beyond your ability to make an assumption?

13 A It would not be beyond my ability. I can assume anything I  
14 like but it would be incorrect. What I am going to tell it  
15 would be incorrect. I can assume anything.

16 Q I don't care whether it is incorrect, I am testing your  
17 ability or inability --

18 A (Interposing): I know I am not going to predict something  
19 which I don't know exactly what is going on inside.

20 Q Assume --

21 A (Interposing): No.

22 MR. PATON: Let me ask the Witness --

23 MR. ZAMARIN (Interposing): I think maybe  
24 you ought to talk to your Witness or let's get on the phone.  
25 Boy, this is nonsense.

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

SINGH

MR. PATON: I am going to put on the record --

MR. ZAMARIN (Interposing): He refuses to make an assumption because he doesn't want to. I mean, baloney. Talk to him, if that doesn't help, you better call somebody.

MR. ZAMARIN: I am putting this on the record. You can stay or depart.

(Whereupon there was a short recess after which the deposition again continued.)

MR. ZAMARIN: All right, you were going to put something on the record?

MR. PATON: Yes. We have had some difficulty with respect to assuming some facts. I have talked to Mr. Singh and I have advised him that it is practice at a deposition, it is acceptable practice, in fact I didn't advise him exactly at this point, I advised him of the rest of it but I am now advising him that it is acceptable practice in the deposition to ask a witness to assume certain facts. Mr. Singh has explained to me something and I agree with him, from his explanation I agree that he is being placed in the position that is impossible for him to deal with and the reason is this, and I want him to listen very carefully to what I say and if I don't state it exactly correct I want

1 SINGH

2 him to please correct what I say.

3 MR. ZAMARIN: You want me to make the  
4 statement?

5 MR. PATON: No. I want to state it  
6 if he takes any exception to it I want him to say so. I  
7 believe that he has indicated to me that the rise in pie  
8 elevation reflected by Consumers Exhibit Number 3, between  
9 middle of October and the end of November is, to him, in-  
10 sistent with the fact that he is being asked to assume  
11 is that on May 1, 1979, a state of equilibrium with respect  
12 the seepage from the pond has been reached, and then you  
13 proceeding to interrogate him with respect to a plot, he  
14 asked him to assume a fact that the plot itself to him  
15 cannot be true and that places him in an impossible position.

16 Now, Mr. Singh, if I have not stated  
17 your thinking please say so.

18 A Well, it is not consistent, I agree with that.

19 Q (By Mr. Zamarin, continuing): Is it impossible?

20 A I can assume something but it will not be correct, the  
21 I can assume something.

22 Q A little earlier you indicated that it would not, that  
23 assumption on this plot was not an impossible situation  
24 that right?

25 A That again is what?



SINGH

- 1
- 2 Q This assumption of equilibrium at E?
- 3 A I can assume, but with this elevation that is given, the  
4 elevation you have given, the piezometer elevation.
- 5 Q Yes. What about the elevation is it that is inconsistent?
- 6 A You are asking me to assume equilibrium somewhere here at th  
7 elevation (indicating)?
- 8 Q At May 1st.
- 9 A May 1st.
- 10 Q Whatever the elevation is.
- 11 A There may be some pore pressure there at that time.
- 12 Q There may be? So if you have at the same time the water tab  
13 plus pore pressure wouldn't that then lead you to say, if yo  
14 assume equilibrium at point E, that in fact accepting that  
15 assumption that that would mean that there was no excess por  
16 pressure at point E in time, is that right? That's not im-  
17 possible? You don't agree with that, you don't believe that  
18 but that is not impossible, is it?
- 19 A That is not impossible?
- 20 Q Right?
- 21 A Yes, uh-huh.
- 22 Q Okay, so it wouldn't be inconsistent?
- 23 A No, it wouldn't be inconsistent, but it is not --
- 24 Q (Interposing): It is inconsistent with what you believe hap  
25 pened but it is not inconsistent with the other data there?

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-3023

OAKLAND (510) 867-7631

SINGH

2 A I don't believe there is pore pressure there.

3 MR. PATON: Is that now another assu  
4 That is the second assumption that there is no pore pres  
5 at E, because I believe he was telling you that there is  
6 pressure at E and now you are asking him to assume that.

7 MR. ZAMARIN: Hold it, he can't tell  
8 based on the information on this graph alone that there  
9 excess pore pressure at point E in time.

10 MR. PATON: Okay, but he knows --

11 MR. ZAMARIN (Interposing): He can't  
12 me that based on this (indicating).

13 MR. PATON: He knows independent of t  
14 based on the studies he has made that it is his opinion t  
15 there is excess pore pressure at this point and now you a  
16 asking him to assume that is not true.

17 MR. ZAMARIN: That's right, just like  
18 could ask him to assume that the sun isn't going to come u  
19 tomorrow, and he as an engineer can be asked to assume som  
20 thing like that and I can do that.

21 MR. PATON: All we are trying to do is  
22 find out what kind of assumptions you are asking him to ma  
23 and that is now two.

24 MR. ZAMARIN: No, the only assumption i  
25 am asking him to make is that at point E in time equilibriu

1 SINGH

2 between ground water table and pond, and then to restrict his  
3 answer to the information contained on this exhibit, that's  
4 all.

5 MR. PATON: That's all? Can we clarify  
6 whether he can make his own assumption on your assumption  
7 that says whether or not there is any excess pore pressure at  
8 point E?

9 MR. ZAMARIN: Once assuming point E it  
10 necessarily follows, I would think, that then there is no  
11 pore pressure at that point unless he can explain to me how  
12 that could be so.

13 MR. PATON: Well, that is contrary to his  
14 opinion. His opinion is that --

15 MR. ZAMARIN (Interposing): Yes, I know,  
16 but this is based on the logic that if you make this assump-  
17 tion shouldn't these other assumptions follow from that  
18 assumption and those other stated facts.

19 MR. PATON: Well, ask the Witness.  
20 A You assume that elevation. There is two assumptions.

21 MR. ZAMARIN: All right, we will see. I  
22 will go through it and ask you again to use my assumption  
23 and the information on Exhibit 3, and if you have that under-  
24 standing, if that is what you understand this to mean than  
25 fine, I will be happy to have that.

1

2 A Sure.

3 Q (By Mr. Zamarin, continuing): If we assume that on May  
4 1979, the ground water table at the Diesel-Generator Bu  
5 was in a state of equilibrium with regard to the coolin  
6 that is we had a situation of maximum seepage, then bas  
7 solely on the data contained on Exhibit Number 3, and w  
8 assumption with regard to equilibrium, how would you in  
9 the data contained on Exhibit 3 with regard to whether  
10 based on that data there was excess pore pressure that  
11 been dissipated immediately prior to data point A?

12 A By assuming that pore pressure -- excuse me, by assumin  
13 state of equilibrium at the pond and ground water table  
14 alone I can predict this, but if I have to assume anoth  
15 thing, that elevation where it is at point E, that is t  
16 ground water is different or somebody says to me pore p  
17 is dissipated, that is two things which are known there  
18 then I can interpret here (indicating).

19 Q I see, so that based upon all of your knowledge and all  
20 your expertise as a geotechnical engineer or civil engi  
21 then it is beyond your ability --

22 A (Interposing): Uh-huh.

23 Q (Continuing): Based upon the assumption that at point  
24 there is equilibrium between the ground water table and  
25 cooling pond, and without knowing what the ground water



SINGH

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

and the cooling pond, and without knowing what the ground table is, and without being provided as an assumption that there is no excess pore pressure at point E in time, that beyond your ability to predict whether there was excess pore pressure existing at the point in time immediately prior to data point A, is that correct?

A No, I said -- I didn't say that. I need first an assumption that water level has reached equilibrium with the pond level. In other words, I should know the ground water level and all excess pore pressure, either of the two.

Q Let's say you don't know either the ground water table level or whether in fact there is any excess pore water pressure at point E in time. Nobody has told you that. Nobody has given you that as an assumption. Taking my assumption with regard to equilibrium between ground water table and the pond, taking that assumption and that assumption alone is it beyond your ability to interpret anything on this Exhibit Number 3 with regard to whether there might have been excess pore pressure at a point in time immediately prior to data point A?

A Nobody has told me whether it is pore pressure, okay, excess dissipated ground water level, but once I see this thing I see water table has, piezometer level has dropped.

Q Right.

A (Continuing): So I assume, not assume, but I just see that

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-3833

OAKLAND (510) 567-7831

SINGH

2 the piezometer will drop.

3 Q All right.

4 A The only thing is that I can see the excess pore pressure in  
5 this piezometer elevation which is given right from here to  
6 here (indicating) and counting water table plus excessive  
7 pore pressure, two things, so once the surcharge is removed  
8 the water table is not immediately dropping unless somebody  
9 has -- I am sorry, water table in the pond is not immediatel  
10 dropping, it remains almost at this time, the same. I am  
11 assuming that from the previous drawings given to me, so  
12 water table remaining the same and the only thing is droppin  
13 is the excess pore pressure. I can see only that is happeni

14 Q So in your opinion then when you remove a surcharge from a  
15 building where all excess pore pressure has been dissipated  
16 and you remove that surcharge and there is a rebound you  
17 wouldn't expect to see any drop in the piezometer level, is  
18 that right?

19 A Yes, it will be drop.

20 Q Okay. I believe you said before that what is shown here for  
21 the period immediately following surcharge removal, this  
22 drop (indicating), that it's possible that that is a drop or  
23 account of the rebound, and then --

24 A (Interposing): No.

25 Q And at the end of the graph it is coming back up to a level

SINGH

- 1
- 2 equilibrium with the ground water regime. You said that wa
- 3 possible before?
- 4 A No, that is possible to reach the equilibrium, particularly
- 5 if there is a disturbance and then it will be gradually a
- 6 change if the pond level is still high, and if I see a sudd
- 7 rise then I draw conclusions from that, if that is a steady
- 8 rise, then that is from the pond, it is coming, but still i
- 9 is fluctuating and I say it is local disturbance due to cer
- 10 tain dynamics, the water is flowing fast.
- 11 Q But the period here between data point A and B, I believe y'
- 12 testified before that it is possible that that represents
- 13 a period of rebound and a lowering of the piezometer levels
- 14 due to that rebound and then a recovery up to point B of
- 15 equilibrium with the ground water regime.
- 16 A I didn't tell that. This is something missing. You haven'
- 17 given the complete data on this, the other complete data fr
- 18 this point to this point (indicating).
- 19 Q I am talking about --
- 20 A (Interposing): That is not drawn correctly.
- 21 Q I am talking about what we have here on Exhibit Number 3.
- 22 A Uh-huh.
- 23 Q And I believe you stated that it was possible that what is
- 24 represented between what I have marked points A and B as a
- 25 result of reduction in piezometer levels due to rebound and

1

SINGH

2

then a recovery up to equilibrium with a ground water re

3

are you saying that is now impossible?

4

A For this drawing (indicating)?

5

Q For this drawing.

6

A You think it is correct drawing?

7

Q I am just asking you as far as Exhibit Number 3, that for

8

drawing, for what is shown here, the curve that is depict

9

here, it is not impossible for that to represent a loweri

10

of piezometer readings due to rebound and then a recovery

11

back up to equilibrium with the ground water regime, is i

12

A You verify the information. Supposing you give the wrong

13

data and ask me to predict on that?

14

Q I am not asking you to verify the data, I am just asking :

15

to take this as simply a type of a curve, all right, as a

16

typical representation perhaps of something that might ha

17

happened somewhere and I am asking you if in fact this ty

18

a curve that is shown between what has been marked as data

19

point A and data point B could possibly be depicting a dro

20

piezometer levels due to rebound and then a recovery back

21

equilibrium with a ground water regime?

22

MR. PATON: I object to the question

23

because it is so totally open ended it is impossible, with

24

absolutely no assumptions given. For example, are you ask

25

him to say is it possible in a condition where secondary



1 SINGH

2 consolidation has been reached? In other words, I assume  
3 there are hundreds of facts that he could assume. Are you  
4 asking him to assume is that possible? Do you want to give  
5 him any set of facts? Are you asking him is it possible under  
6 any conceived set of facts, is that the question?

7 MR. ZAMARIN: Okay, the question contains  
8 all the information.

9 MR. PATON: I'm sorry?

10 MR. ZAMARIN: The question contains all  
11 of the information that is intended.

12 MR. PATON: Okay. I object to the  
13 question, the form of the question in that it is so entirely  
14 open ended that I don't see how the Witness could possibly  
15 answer, but if the Witness can answer the question, go ahead  
16 and answer.

17 A No, with this given data, information, I will not speculate  
18 anything. It is just speculation, it is just guessing and  
19 you want me to guess something which I don't know. I don't  
20 have information so I won't guess.

21 Q You call yourself a geotechnical engineer, right?

22 A Yes.

23 MR. PATON: Wait a minute.

24 MR. ZAMARIN: Would you cut it out and  
25 let me finish?

1 SINGH

2 MR. PATON: I want to place an objectio  
3 on the record.

4 MR. ZAMARIN: If you would wait until I  
5 have finished my question you would see where I am going, a  
6 I am sick and tired of you --

7 MR. PATON (Interposing): When you ask  
8 "You call yourself a geotechnical engineer," I don't think  
9 that insulting the Witness in this manner is appropriate. I  
10 don't see any need for it.

11 MR. ZAMARIN: Now, Bill, if you would si  
12 and if you would put a little bit of distance between your e  
13 and your mouth you would have seen what I was doing with re-  
14 gard to that question and that's why in the normal exchange  
15 and in the normal practice you wait until the question is  
16 finished and then you make an objection. You wait until the  
17 answer is finished and then you jump in to ask for clarifica  
18 tion, you don't do it in the middle, make comments in the  
19 middle of the question and in the middle of an answer, no  
20 matter how inappropriate or objectionable you happen to think  
21 that statement is, because sometimes you might very well be  
22 surprised when you hear the whole thing and learn that it  
23 isn't offensive or that it isn't objectionable, and I think  
24 that you would have found out that that was the case with  
25 regard to that question.

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-3823

OAKLAND (510) 997-7831

1 SINGH

2 MR. PATON: Mr. Zamarin, my statement is  
3 that you are the one that has repeatedly introduced into the  
4 hearings statements such as you have just made to this Witness  
5 "You call yourself a geotechnical engineer," and I simply do  
6 see any need for that. I don't think it benefits anyone and  
7 I would request that you not continue with that type of remark.

8 MR. ZAMARIN: That wasn't the statement  
9 It happened to be part of a statement I was making before I  
10 was rudely and unprofessionally interrupted. All I am saying  
11 is that if you would wait until the statements or the ques-  
12 tions are complete that we won't have this kind of a situation.  
13 Just wait until the question or statement is complete and see  
14 what it is before you jump into it. I think that is the ap-  
15 propriate practice and a more expeditious way to proceed.

16 We are going to have to go back to the  
17 question and answer prior to that exchange. I don't know what  
18 it was.

19 (Whereupon the Reporter read back the  
20 previous question and answer.)

21 Q (By Mr. Zamarin, continuing): You call yourself a geotechnical  
22 engineer and that is intended to communicate to people some  
23 thing about the job that you do and the expertise that you  
24 have, right?

25 A Uh-huh.

1

SINGH

2 Q You have to answer orally?

3 A Yes.

4 Q Okay. And in your experience and expertise as a geotechnic  
5 engineer that you intend to communicate not only to the pub  
6 generally but to the NRC and to the licensing board in this  
7 situation --

8 A (Interposing): Uh-huh, uh-huh.

9 Q (Continuing): Is it your testimony that you are without  
10 ability to respond to my question about data on this table  
11 without having first this data verified as being an accurate  
12 depiction of some circumstance and fact, is that your testi-  
13 mony? If it is, fine, then we can move on.

14 Q No, this data which you have given is not accurate.

15 Q That's not my question.

16 A No, sure.

17 Q Do you know what my question is?

18 A You want me -- let me hear it again.

19

20 MR. ZAMARIN: Would you read it back,  
21 please?

21

22 (Whereupon the Reporter read back the  
23 previous question.)

24 A I didn't understand that last part.

24

25 MR. PATON: I think you can ask to have it  
read again and if you don't understand the question you can



SINGH

- 1
- 2 ask Mr. Zamarin what he means by it.
- 3 Q (By Mr. Zamarin, continuing): Are you testifying that it
- 4 beyond your ability to interpret that graph without knowing
- 5 that those are actual data points with regard to a certain
- 6 situation?
- 7 A With this graph, yes, with this data given to me I cannot i
- 8 terpret what you want me to tell.
- 9 Q I see. It is beyond your ability then to answer the questi
- 10 that I asked you about Exhibit Number 3?
- 11 A Right.
- 12 Q With that assumption on data point E?
- 13 A Right.
- 14 Q Okay, super. Do you consider the opinion that you made with
- 15 regard to Exhibit Number 3 a little bit earlier that there
- 16 was 1.25 feet of excess pore water pressure that hadn't been
- 17 dissipated to have been untrue?
- 18 A Based on my, on other drawings like that I have drawn my con
- 19 clusions based on all.
- 20 Q Okay. Tell me what the distance is between the data point
- 21 immediately prior to surcharge and the lowest point to which
- 22 the piezometer level fell on each of those that you refer to
- 23 A I don't remember.
- 24 Q You don't know?
- 25 A No.

SINGH

- 2 Q Tell me something about each of those?
- 3 A In some cases it was three feet, two feet, one foot.
- 4 Q Was the maximum three feet?
- 5 A Around about three or three and a half.
- 6 Q Three and a half feet would be the maximum?
- 7 A I am not exactly sure how it was.
- 8 Q Well, tell me with as much assurance as you need to have in  
9 order to form the conclusion that you testified to a little  
10 earlier, in reliance not only on Exhibit 3 but on those also,  
11 and be as precise as you need to be for this conclusion that  
12 it is three and a half feet plus or minus what, two inches?
- 13 A Yes, yes.
- 14 Q What was the minimum?
- 15 A I don't remember minimum. Maximum I can say three and a half  
16 plus or minus.
- 17 Q And what about the minimum?
- 18 A No, minimum I don't remember exactly.
- 19 Q Do you remember whether it was less than three feet?
- 20 A Yes, sure.
- 21 Q Less than a foot?
- 22 A No, I can't say that, you know, exactly. Definitely less than  
23 three.
- 24 Q Less than two feet?
- 25 A Yes, less than two feet.

SINGH

- 1
- 2 Q So somewhere between 23 inches and a fraction of an inch?
- 3 A No fraction. What do you mean, the minimum?
- 4 Q Yes?
- 5 A Twenty-three and what?
- 6 Q Twenty-three inches and a fraction of an inch. I don't want
- 7 to say zero.
- 8 A Between you are saying?
- 9 Q Yes?
- 10 A I told you that I don't remember less than one foot, if it is
- 11 less than one foot. You asked me that if it was less than one
- 12 foot.
- 13 Q You said you didn't know whether it was less than one foot but
- 14 that you knew that it was less than two feet?
- 15 A Yes, I know it was less than two feet.
- 16 Q Some place between less than 23 inches and something that
- 17 approaches zero?
- 18 A Twelve inches.
- 19 Q Oh, I see. You know that it was greater than 12?
- 20 A No, I say between or below two, I don't remember.
- 21 Q So it could be?
- 22 A It could be, yes, sure.
- 23 Q So at some place between 12 inches and something that approach
- 24 es zero?
- 25 A Yes, but I don't remember.

SETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 261-3625

OAKLAND (510) 327-7831

SINGH

- 2 Q That is as precise as you can get, 23 to zero, in that range
- 3 A Yes, but in this range I don't know (indicating).
- 4 Q That is precise enough for you to draw the conclusion that
- 5 you stated with regard to, there being 1.23 feet of excess
- 6 pressure that wasn't dissipated prior to surcharge removal,
- 7 that correct?
- 8 A 1.25.
- 9 Q Right, that's what you said?
- 10 A In which case?
- 11 Q In Midland at the Diesel-Generator Building?
- 12 A 1.23, did I tell any time?
- 13 Q That's what I thought you said, 1.23 feet of undissipated
- 14 water pressure.
- 15 A In response to a question right now?
- 16 MR. PATON: I can't tell you.
- 17 Q That was earlier when I asked you to look at this (indicating)
- 18 A Oh, on this particular graph here, this I read from there
- 19 (indicating). I measured -- I didn't have a scale, nothing
- 20 just with my eye I estimate. That is an estimation.
- 21 Q You estimated it to be 1.25 feet and you said that you were
- 22 able to make that kind of a conclusion based upon this graph
- 23 (indicating)?
- 24 A This graph here.
- 25 Q Plus all of the others.



SINGH

1

2 A From the graph that I see and the only possible thing I can  
3 think is that this is pore water pressure.

4 Q And the reason I am referring to those is that you say that  
5 you can make that kind of a conclusion based upon Exhibit 3  
6 but you can't answer my question which I think was a little  
7 short of that, the reason for that is because you also know  
8 about all of these other graphs, these other piezometer re-  
9 actions?

10 A Yes.

11 Q And I'd like to find out how precise your recollection of  
12 those have to be to give you confidence in that conclusion  
13 that you stated about 1.25 feet, so I am asking you if having  
14 the minimum drop on all of those as being in a range of two  
15 inches is precise enough for you to state your opinion based  
16 upon Exhibit 3 and your knowledge of what you say was in those  
17 others, that it is 1.23 feet of pore water pressure that  
18 wasn't dissipated?

19 A I didn't get your question correctly. You say 23 inches, 1.25  
20 inches, 1.23 inches.

21 Q You told me that the reason that you had the ability as a  
22 geotechnical engineer to draw conclusions based upon Exhibit  
23 Number 3 with regard to there having been 1.25 feet of un-  
24 dissipated excess pore water pressure prior to surcharge re-  
25 moval was because you also knew about information that was

SETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-3833

OAKLAND (510) 887-7831

SINGH

- 2 contained on other graphs?
- 3 A For this particular one, I read this graph (indicating) as  
4 told you, but I have idea for the other graphs you gave me  
5 read.
- 6 Q That's right, I gave you this one. I gave you Exhibit 3  
7 you stated conclusions based upon Exhibit 3.
- 8 A I read this thing from there and said there was a drop  
9 (indicating).
- 10 Q Right, and you stated a conclusion based on just the infor  
11 tion contained on this?
- 12 A You show me the graph and I estimated it.
- 13 Q And was that conclusion based just on the information con-  
14 tained in Exhibit 3?
- 15 A This here 1.25 we have measured some others, from other d  
16 ings, too, and you say that is piezometer pressure and th  
17 after pressure, so I found both of them on there, both fo  
18 which you have given me and I measure the thing and found
- 19 Q You have both or all of the information given here. Could  
20 have reached the conclusion that 1.25 was excess pore pre  
21 that hadn't been dissipated based on Exhibit 3 alone?
- 22 A Based on that, yes. I did have some information on that  
23 certain particular things but certain particular things n
- 24 Q Define excess pore pressure?
- 25 A Excess pore pressure, suppose in a saturated soil you put

SINGH

- 1  
2 load on that and then the water try to squeeze out and try  
3 to get out of this due to the pressure and it can't get out  
4 because the pores are so small, and if it is sand it could  
5 quickly get out, but in case of clay it takes some time, so  
6 that excess pore pressure, that excess force try to push the  
7 water out of that and that is above the hydrostatic pressure.
- 8 Q All right. Can you define excess pore pressure without refer-  
9 ence to a saturated soil?
- 10 A Well, in case of unsaturated soil it will be reached -- even  
11 partially saturated soil, you can take excess pore pressure,  
12 it can be created --
- 13 Q (Interposing): You can create it, is that what you said?
- 14 A Yes, because -- I am sorry, go on.
- 15 Q Okay, my question was you defined excess pore pressure by  
16 referring to saturated soils and I want you to define excess  
17 pore pressure without referring to saturated soils. Give me  
18 a definition of it that doesn't use the term saturated soils  
19 if you can.
- 20 A Take a sponge, put in water and then watch you put your hand  
21 on that and the pressure is created in this water at that  
22 point and squeezing out so that pressure on the sponge at that  
23 time is excess pore water pressure. The water inside the  
24 sponge is experiencng some kind of pressure.
- 25 Q And is that the pressure that would be analogous to excess

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 261-3833

OAKLAND (510) 887-7831

2 pore pressure?

3 A It is excess pore pressure.

4 (Whereupon there was a short recess  
5 which the deposition again continue

6 Q (By Mr. Zamarin, continuing): Okay, what kind of piezom  
7 were used in connection with the Diesel-Generator Buildi  
8 surcharge?

9 A Well, you have a stand pipe piezometer, it is known as a  
10 pressure piezometer.

11 Q Stand pipe, is that what is commonly referred to as an op  
12 system piezometer as opposed to a closed system?

13 A It is open system, yes.

14 Q All right, and is that what would be commonly styled a re  
15 reacting piezometer?

16 A Yes, I heard this thing written in your report that is do  
17 rapidly. There is no time lag between the pressure and t  
18 reading, very few minutes or seconds maybe.

19 Q I am sorry, you dropped your voice. What was the end of

20 A There is no time lag in the pressure reporting.

21 Q Okay, and is it important for you to know as you review t  
22 piezometer data and these graphs the type of piezometers  
23 that you know whether it is the slow reacting which has a  
24 or the fast reacting which doesn't?

25 A It is the fast reacting so I assume what they have written:



SINGH

1

2 correct.

3 Q Well, my question is is it important for you to know in inter-  
4 preting the data what type of piezometers they are using?

5 A It is important that there isn't any time lag, yes.

6 Q It is important what?

7 A There shouldn't be any time lag in the report of this and how  
8 much pressure it is indicating.9 Q Okay. Really what I am getting at though is if you were to  
10 look at this data and, for example, you say it is a quick  
11 reacting --

12 A (Interposing): Uh-huh, piezometer, yes.

13 Q (Continuing): If you look at this data and you think that it  
14 is a slow reacting one, would that affect your conclusions  
15 perhaps?16 A I am not assume. I not say that is slow reacting when I am  
17 given it correctly.

18 Q Really what my question is is would you -- strike that.

19 Would an engineer who is looking at piezome-  
20 ter data or piezometer graphs with respect to a surcharge be  
21 likely to come to wrong conclusions if he made a mistake in  
22 what kind of piezometer had been used?23 A Yes, if there is a time lag piezometer the excess pressure you  
24 are reading is not at the time it is taking place. Suppose it  
25 takes one year to reach the water level on the top of

SINGH

- 1
- 2 piezometer, definitely it is not correct.
- 3 Q Okay, and also if it were a slow reacting piezometer you might
- 4 not see all of the dissipation of pore pressure because it
- 5 might have been dissipated more quickly than the piezometer
- 6 could record it, right?
- 7 A It depends. Suppose you are in a clay then dissipation depend
- 8 on the coarseness and the permeability of the clay. If you ar
- 9 in sand it will go fast.
- 10 Q When you were assigned to this Midland Plant did anybody give
- 11 you any guidance as to what was meant by the term "reasonable
- 12 assurance"?
- 13 A Reasonable assurance?
- 14 Q Uh-huh, have you ever heard of that expression before?
- 15 A I have heard of it.
- 16 Q Did anybody ever tell you what it meant?
- 17 A No, didn't tell, but as a professional engineer I understand
- 18 and am able to tell reasonable assurance means that it satis-
- 19 fies at least minimum requirements.
- 20 Q Okay.
- 21 A (Continuing): Of the code.
- 22 Q Of the what?
- 23 A Code, code of practice.
- 24 Q Oh, the code, all right.
- 25 A You know, the different codes of practices.

SINGH

1

2 Q Have you read the December 6th, 1979 order?

3 A I have read, sure, I have read once or twice that December 6,  
4 1979.

5 Q What is your understanding of what the expression "reasonable  
6 assurance" means as it is used in that order?

7 A If you give it to me I can read again. I don't remember the  
8 exact wording and all this thing, and in what context they  
9 have used that. I have to refresh my memory.

10 Q So as you sit here now you don't have any recollection of what  
11 the term "reasonable assurance" means to you in relation to  
12 that December 6 order?

13 A Where it was used I don't know. It must have been. I mean,  
14 I am not telling you it was not. It must be.

15 Q What standard are you using in your review of the soils issues  
16 for Midland?

17 A Standards? I will use the state of the art which has been  
18 followed by the Corps of Engineers, for the Navy, Navy BuDock's,  
19 and now it is Navy BuDock's, but before it was NAV FAC,  
20 DM-7, something like that. It used to be Navy BuDock's.

21 Q Do you apply any notion of reasonable assurance to your re-  
22 view?

23 A Reasonable assurance? I tell myself if in my definition that  
24 it is reasonable once it has satisfied the minimum requirements.  
25 Suppose you have factors that go up to 1.5 and somebody give

SINGH

1  
2 me something, but at least you satisfy the 1:5. That's  
3 reasonable.

4 Q Have you been working full time on Midland since May, 1980?

5 A Yes.

6 Q Are there ever any instances where you have to make a judge-  
7 ment as to reasonable assurance where there is no code that  
8 applies to that particular situation?

9 A No, I have never run into that. I have always worked with a  
10 code.

11 Q You never have had to deal with a situation for which there  
12 was no code?

13 A No.

14 Q Have you had any experience with undisturbed sampling?

15 A Undisturbed sampling? I have done on testing. I have done  
16 some testing.

17 Q Okay, have you actually done the extracting of samples from  
18 the earth when you say you have done some testing?

19 A Yes, I have done that. Actually, let's see, I didn't do that.  
20 I watched it being done because there were people that were  
21 doing it and I was supervisor of the crew, so I used to go and  
22 supervise it and see this thing done. The testing I have done  
23 is where you have four people doing it together, one doing the  
24 reading as to the testing and one was taking the samples, yes,  
25 so I have done that.

BETZ AND SUMMERS, INC.  
REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-3883

JACKSON (313) 967-7831



1 SINGH

2 Q Okay. With regard to watching a crew actually extracting  
3 samples, was that out in Arizona?

4 A That was in Arizona.

5 Q And what is the extent of the experience that you have had  
6 with regard to the running of the tests that you just men-  
7 tioned?

8 A Running the tests? Well, the test -- the testing means taking  
9 some samples. It was at Wayne State in the City.

10 Q Wayne State?

11 A Yes, so the disturbance is there so it will effect the actual  
12 strength, but there is some way to correct this thing.

13 Q How?

14 A Well, I did this, I read this. There is this the article by  
15 Shmartmann --

16 Q (Interposing): Who?

17 A Shmartmann, I don't know how to spell, but it is Shartmann,  
18 and once you --

19 Q (Interposing): Oh, that's a guy's name?

20 A The name of the guy, and --

21 Q (Interposing): Oh, I see.

22 A (Continuing): He has developed this correction, and I don't  
23 know how you spell the name.

24 Q Okay, you are right, it is Shmartmann, S-h-m-a-r-t-m-a-n-n?

25 A Yes, Shmartmann, he has some kind of correction so we apply

SINGH

2 this thing and it has been accepted by the geotechnical  
3 engineer so I consider that definitely there were some dis-  
4 turbance.

5 Q Have you made settlement predictions on the basis of consoli-  
6 dation tests?

7 A Yes, I have done this, too. Very minor, it was not a big  
8 structure, a small structure.

9 Q Bigger than a doghouse?

10 A Yes, it was bigger than a doghouse.

11 Q What was it?

12 A It was done in -- I was in bridge design. It was with a  
13 foundation.

14 Q Was it a bridge?

15 A Yes, a small bridge.

16 Q How long ago was that?

17 A It was long ago. It was in India.

18 Q Okay, is the bridge still standing?

19 A I think it is there. I have not seen it.

20 Q How long a bridge was it?

21 A Oh, it was 30 feet span.

22 Q What was the bridge used for? I mean, was it an automobile  
23 bridge or a foot bridge?

24 A Automobile bridge.

25 Q Were the settlement predictions that you made with respect to

SINGH

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

that bridge project accurate?

A I didn't go to see this thing.

Q You don't know, it might be a tunnel now, right?

Does the Shmartmann procedure for correct-  
ing --

A (Interposing): No -- I am sorry, go ahead.

Q Does the Shmartmann procedure for correction of sampling dis-  
turbance apply on compacted soil?

A Compacted soil, I don't have idea. I use for pre-consolidated  
that is where I use this thing, but in fill material I might  
use it. I don't have experience in that, I don't use.

Q You don't know whether in fact it would apply for a compacted  
soil?

A No, for this kind of thing if I have problem I ask my super-  
visor.

Q What do you think he would say to you or don't you know?

A Oh, I don't know, but he has 30 years experience, 35, so he  
must have done it before.

Q That's Bill Otto?

A Yes, so this kind of thing I come across and immediately I  
consult.

#9 Q Have you ever consulted with him to see if in fact with re-  
gard to the type of fill that has at least had some compaction  
effort applied to it under the Diesel-Generator Building, it

SINGH

- 1
- 2 would be possible to perform that Shmartmann correction?
- 3 A Yes, that happened, I don't know, many times and he said that
- 4 is okay.
- 5 Q He said it would be okay to apply that Shmartmann correction
- 6 to the soil that had been at least partially compacted?
- 7 A Oh, he didn't mention the name of Shmartmann, but whatever
- 8 is reasonable, we follow the state of the art, follow that one
- 9 and that would be all right.
- 10 Q Do you accept Mr. Otto's guidance without question?
- 11 A I discuss with him. I question him. He shows me the guid-
- 12 ance, the Corps of Engineers manuals and all of these things.
- 13 Q For example, if he tells you to use that Shmartmann correction
- 14 with regard to sampling disturbance for borings that would be
- 15 taken at the Diesel-Generator Building, you would say that
- 16 that is okay, is that right?
- 17 A No, I myself, I have read about him and so it is not him. I
- 18 take from him the Corps of Engineers manuals, he has similar
- 19 correction.
- 20 Q Well, I am asking about applying that Shmartmann correction
- 21 to compacted soil as opposed to notably consolidated soil?
- 22 A Yes, I will use it.
- 23 Q And would you use it simply because Bill Otto told you it would
- 24 be okay?
- 25 A No, I have never done this thing myself on compacted soil.



SINGH

1

2 Bill Otto showed me and said I could use it.

3 Q All right.

4 A That is why I asked him. I have reasonable assurance bec  
5 he has 35 years experience and I have to take guidance fr  
6 him.

7 Q But there is no code that you can refer to?

8 A No, there is no code mentioned on this thing.

9 Q Other than for the bridge, that about 30 foot bridge that  
10 worked on in India, have you ever had occasion to make an  
11 other settlement predictions based on laboratory test res

12 A I have done, not actual practice, but I have done in scho  
13 and some problems, but I never test for it actually.

14 Q Have you ever had any experience with taking borings in d  
15 that had the reservoir behind them filled?

16 A No.

17 Q Do you have any knowledge with regard to whether taking s  
18 borings carries a risk of inducing failure in a dike?

19 A This kind of matter I take advice from Mr. Jim Simpson.

20 Q Okay, so youre expert on doing damage to dikes is Jim Sin

21 A Jim Simpson, yes, I consult with him three or four times  
22 telephone and in person.

23 Q What did he say about that?

24 A He said that the Corps of Engineers drill holes almost ev  
25 day somewhere.

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-3833

JARLAND 313-187-7831

SINGH

- 2 Q Did he tell you about all of the floods that have resulted?
- 3 A No, no, he said that he had experience and he knows it is okay.
- 4 Q Have you had any experience in evaluation of underpinning  
5 design?
- 6 A No.
- 7 Q Have you had any experience in evaluation of driven piles  
8 design?
- 9 A Yes, driven piles, yes, that was my job.
- 10 Q Okay, why don't you tell me about your experience with driving  
11 piles?
- 12 A It wasn't in construction, I designed it and it was construc-  
13 ted.
- 14 Q Tell me the extent of the experience you have in designing  
15 driven piles?
- 16 A Well, I design 30, 40 bridges with those.
- 17 Q Thirty or 40 bridges?
- 18 A Yes.
- 19 Q And those bridges were supported on driven piles?
- 20 A Yes. Not in all cases.
- 21 Q Is that right, and were these bridges built here in the United  
22 States?
- 23 A Yes, Pennsylvania.
- 24 Q Are they all standing?
- 25 A Interstate 90 -- no, Interstate 80 is there, too, Interstate 80.

SINGH

1  
2 There is one bridge which has pile design and there are some  
3 on other U.S. routes, but they are not interstate, but  
4 Interstate 90 there was only one bridge I was involved with.

5 Q Tell me how you go about designing a pile?

6 A You have there, they give bearing capacity, they go and test  
7 the soil and find out the skip friction and the end bearing,  
8 so based on that, and I don't remember the formula, but there  
9 is some formula in books that you use and find out on all these  
10 things.

11 Q You find out the depth to which you have to drive the piles?

12 A How you drive the piles and on which you have a given loads  
13 such as hundred tons, 50 tons, based on that according to the  
14 length of the pile.

15 Q What do you test the soil for?

16 A Oh, shear strength.

17 Q What else?

18 A Shear strength, the way you measure parameters used to test  
19 the distance.

20 Q And you actually test it in the same hole in which the pile  
21 is going to be driven or do you go out there and take a boring  
22 and drive the pile right down that hole?

23 A No, at the site the borings are taken and you test it and  
24 record the results and use it.

25 Q You just take the borings in the general area of where the

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 261-3933

OAKLAND (313) 957-7831

SINGH

- 2 pile is going to be driven?
- 3 A Sure. It depends on the extent of the bridge where you do it.
- 4 Q Is there any kind of a load test that you can or that you  
5 generally do when you are installing piles?
- 6 A Yes, they do load test, too.
- 7 Q And describe what that load test would be like?
- 8 A They put -- they drive the piles -- I don't know it exactly.  
9 I have manuals and they load test it and measure the deflection  
10 and then base that -- generally in Pennsylvania they do three  
11 times the actual load it is going to carry.
- 12 Q For the bridges?
- 13 A For the bridges, they have 2.5 to three times, you know, they  
14 apply that force but I was not in testing.
- 15 Q And in your opinion would driving a pile to refusal and then  
16 testing it to failure give adequate information with regard to  
17 the design parameters?
- 18 A Drive it to refusal?
- 19 Q In other words drive it down until it won't go any more --
- 20 A (Interposing): You mean drive the pile to refusal and then --
- 21 Q (Interposing): And then test the pile to failure?
- 22 A No.
- 23 Q I am not talking about the piles you are going to use, I am  
24 talking about doing this perhaps in a situation that is near  
25 where the piles are going to ultimately be driven. Would that



SINGH

1

2 provide you --

3 A (Interposing): We never test piles like that, driving to  
4 refusal.5 Q Would that in your opinion, however, provide adequate para-  
6 meters for design?7 A There are a lot of things, it depends on what kind of soil you  
8 are driving, such as within fill material --9 Q (Interposing): Let's assume that we have -- I am sorry, what  
10 did you say?

11 A Fill material.

12 Q Fill, f-i-l-l, fill?

13 A Yes.

14 Q All right, there would be a problem then, wouldn't there?

15 A Yes, because of the settlement of the fill. In the future we  
16 have kind of pressure develop on the side of the piles.

17 Q Negative skin friction?

18 A Skin friction, then it try to pull the pile down and in excess  
19 of that at which the pile had been tested.20 Q Is it possible to conservatively predict the skin friction and  
21 then test the piling taking that into account?

22 A Sure you can do it.

23 Q And in your opinion would then that along with driving the  
24 pile to refusal and testing or loading to failure provide  
25 adequate parameters for the design of the piles?

SINGH

- 1
- 2 A Driving the pile to refusal?
- 3 Q Loading it to failure?
- 4 A No, that wouldn't give the skin friction.
- 5 Q No, no, I am not saying that. I am saying that you would
- 6 drive it to refusal, load it to failure, make a conservative
- 7 prediction with regard to negative skin friction --
- 8 A (Interposing): And you are going to ask me how you would
- 9 make the prediction of negative skin friction.
- 10 Q (Continuing): Is it possible to predict negative skin fric-
- 11 tion by doing a pullout test?
- 12 A On that there are a lot of discrepancies, in that there are a
- 13 lot of discrepancies.
- 14 Q Okay, tell me all of the discrepancies about which you know
- 15 in a pullout test for predicting skin friction?
- 16 A First when you pull out the pile you stretch the pile, the
- 17 pile is stretched and then there is a Poisson's ratio so that
- 18 when you pull the pile in this direction (indicating) the
- 19 pile will contract laterally.
- 20 Q That's right.
- 21 A (Continuing): And then it will lose the contact with the
- 22 soil.
- 23 Q I see. In other words -- all right, and, therefore --
- 24 A (Interposing): That's one reason.
- 25 Q What is another?

SINGH

- 1
- 2 A The other reason is that when the pile is in position the
- 3 weight of the soil creates lateral pressure to the pile, the
- 4 weight of the soils around the piles and that weight of soil
- 5 creates or increases the skin friction, the friction on the
- 6 pile and if that decreases due to certain reasons then that
- 7 weight is reduced because of the above action.
- 8 Q Does Poisson's ratio depend upon the direction of loading?
- 9 A Yes.
- 10 Q Tell me about it.
- 11 A If you pull this way (indicating), longitudinally, then it
- 12 will contract laterally, but from what I understand you are
- 13 trying to ask me, if you pull it this way (indicating), you
- 14 know, it depends upon the -- I don't know what you are asking,
- 15 explain to me. I was trying to explain something but I don't
- 16 know if I am answering your questions.
- 17 Q Okay, I will tell you in a minute. Are piles supporting those
- 18 bridges in Pennsylvania subject to negative skin friction?
- 19 A Very few. Some are in four or five feet of fill and the rest
- 20 of them are in the natural soil so I don't think there would
- 21 be skin friction.
- 22 Q So you made allowance then for skin friction?
- 23 A No, I didn't.
- 24 Q Is that a mistake?
- 25 A No, it wasn't a mistake.

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT (313) 961-2622

OAKLAND (510) 887-7831

SINGH

- 2 Q Are you aware of any bridges that are supported on piles which  
3 are subject to negative skin friction?
- 4 A I knew one in Arizona and the whole thing went down, the whole  
5 bridge went down because of the skin friction. I knew. I am  
6 not involved in that one.
- 7 Q That wasn't one you designed?
- 8 A When I joined the Department, my chief engineer, because I had  
9 been involved in similar things, he gave me the information.
- 10 Q When you say you had been involved in similar things, are you  
11 suggesting you were involved in bridges falling down?
- 12 A No, similar repair. I come across because he gave me example  
13 that it happened.
- 14 Q Okay. How does one account for negative skin friction in  
15 designing a pile?
- 16 A If he has a chance his pile will not be subjected to that  
17 negative skin friction, and I don't think he will consider it.
- 18 Q Let's say you have got a situation where you are going to  
19 drive a pile and fill. How do you account for negative skin  
20 friction, is there any way to do it?
- 21 A I would test the sample.
- 22 Q You do what?
- 23 A Sample, sure, test it for the settlement and find out how  
24 much it will settle in the next 40 years, 50 years and based  
25 on that I will make this testing.



SINGH

1

2 Q Based on that you can predict or calculate negative skin fric-  
3 tion?

4 A Yes.

5 Q To what extent does this Poisson's Ratio affect the results of  
6 a pull test?

7 A I can't say that. I have to test it and then find out. I  
8 can't tell you right now how much it will affect this.

9 Q Is there some kind of --

10 A (Interposing): There is a certain percentage that it will be  
11 reduced.

12 Q Do you know whether that percentage reduction exceeds 50  
13 percent?

14 A I don't think it would go in that range, no.

15 Q Do you think it would be closer to about five percent?

16 A I think it would be more than that. I mean, I am guessing. I  
17 am not going to guess any more.

18 Q Give me your best --

19 A (Interposing): No, not 50 percent, I know that.

20 Q It is not 50 so then I suppose if you did a pull test and then  
21 factored the results of that by one and a half you would take  
22 care of the stuff that Poisson was worried about?

23 A There are other factors there because there is an uplift be-  
24 cause you are reducing the lateral load on the pile because of  
25 the pull and that would be another factor in reducing it. I

BETZ AND SUMMERS, INC.

REGISTERED PROFESSIONAL REPORTERS

DETROIT 3121 461-3883

OAKLAND 3121 467-7621

SINGH

1

2 told you when the soil is around it it creates, it grips the  
3 pile --

4 Q (Interposing): Yes.

5 A (Continuing): And once you pull the pile out the weight is  
6 lifted because of the friction of the pile so all of the flow  
7 is not acting laterally in the pile so that will affect it,  
8 too.

9 Q Wait a minute. That doesn't seem to make sense to me. When  
10 you have the pile, I can understand that there is a lateral  
11 load from the soil --

12 A (Interposing): Okay, on the side.

13 Q (Continuing): But when you start lifting that pile and fric-  
14 tion is causing some uplift on the soil that doesn't change  
15 the load?

16 A In location, at any location lateral load is dependent on the  
17 vertical load.

18 Q The vertical load of the pile or of the soil?

19 A The soil around it.

20 Q Of the soil or of --

21 A (Interposing): It is depending.

22 Q When you are pulling a pile out wouldn't you in effect be  
23 increasing the effective vertical load of the soil?

24 A No, no, you are decreasing it.

25 Q Decreasing it?

1 SINGH

2 A (Nods affirmatively.)

3 Q All right, it just seems to me because of this negative skin d out  
4 friction what you are doing, it seems to me that you would be  
5 increasing the relative vertical load of the soil with respect re-  
6 to the pile?

7 A I suppose at 30 feet you can decrease it, but let me say that some-  
8 I am not making this thing, somebody has already established  
9 this thing. I am not doing this, you know, I know you have a  
10 right to question this, but somebody has done this. ht?

11 Q In your opinion is it possible without predicting settlement to you  
12 make a reliable allowance in pile design for negative skin  
13 friction?

14 A Repeat the question for me, please.

15 MR. ZAMARIN: Sure, read it back. and

16 (Whereupon the Reporter read back the  
17 previous question.)

18 A No, in my opinion it is not.

19 Q In your opinion there is nothing else in the universe that can you  
20 be done other than predicting settlement to reliably predict  
21 negative skin friction, right?

22 A At the same time you have to satisfy the requirements of the  
23 state of the art, what is practiced now days.

24 Q What is the state of the arts?

25 A Find out the frictional force.